

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

IN THE CITY COURT OF TUCSON  
COUNTY OF PIMA, STATE OF ARIZONA

STATE OF ARIZONA, )  
 )  
Plaintiff, )  
 ) CASE NO. TR0703880  
vs. )  
 )  
MICHAEL MARRAMA, )  
 )  
Defendant. )  
\_\_\_\_\_ )

Tucson, Arizona  
June 23, 2009  
2:09 p.m.

BEFORE THE HONORABLE JUDGE BERNING

TRANSCRIPT: MOTIONS HEARING

Transcript prepared by:  
VERBATIM REPORTING & TRANSCRIPTION, LLC

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

A P P E A R A N C E S

On Behalf of the State:

Ms. MaryLou Natividad  
PO Box 27210  
Tucson, Arizona 85701

On Behalf of the Defendant:

Mr. Joseph St. Louis  
216 North Main Avenue  
Tucson, Arizona 85701

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

TABLE OF CONTENTS

MOTION HEARING						4
<u>WITNESSES:</u>	<u>VOL</u>	<u>DIRECT</u>	<u>CROSS</u>	<u>REDIRECT</u>	<u>RECROSS</u>	
<u>FOR THE DEFENDANT:</u>						
Jane Arvizu	I	6	71	86	--	
<u>FOR THE PLAINTIFF:</u>						
Claire Conley	I	91	--	--	--	

1 P R O C E E D I N G S

2 2:09:53

3 THE COURT: .....present counsel as well as state.  
4 Time set for argument, evidentiary hearing on Defendant's  
5 motion. I'm not sure what the type of the motion it is.

6 MS. NATIVIDAD: Motion to suppress the blood test  
7 results, Your Honor.

8 THE COURT: Oh, okay.

9 MS. NATIVIDAD: Is that correct, Mr. St. Louis?

10 MR. ST. LOUIS: It is correct, Ms. Natividad.

11 THE COURT: I'm thinking you probably will be  
12 proceeding first, Mr. St. Louis. Is that okay with you?

13 MR. ST. LOUIS: I will if you give me one minute.

14 THE COURT: We will give you one minute. And I'll  
15 give you two minutes.

16 MR. ST. LOUIS: I'll steal one of these books.

17 THE COURT: You can move things if you want. Take  
18 things. You can steal them, move them, take them. You'll be  
19 required to return them though.

20 MR. ST. LOUIS: You're so picky.

21 (Pause - whispered conversation)

22 MR. ST. LOUIS: I think we're in business, Your  
23 Honor.

24 THE COURT: We are? Hold on for one second. I'm  
25 trying to find your motion here.

1 (Pause - whispered conversation)

2 THE COURT: Care to make an opening statement?

3 MS. NATIVIDAD: The state waives, Your Honor.

4 THE COURT: Mr. St. Louis.

5 MR. ST. LOUIS: Judge, you're going to hear from  
6 Janine Arvizu today. Ms. Arvizu is someone who made us run a  
7 laboratory that pretty much exclusively worked for the federal  
8 government. After she did that, she went on and got a  
9 certification to be a lead laboratory inspector from an  
10 international organization and she's been hired by the federal  
11 government to go around and do lab inspections. She's going  
12 to talk to you about her evaluation of the procedures used in  
13 this case, the specific problems with the blood run in Mr.  
14 Marrama's case, and she's going to explain to you why the  
15 results are unreliable and -- and therefore inadmissible in  
16 this case. And that's what we're going to do today.

17 THE COURT: All right. You're ready to proceed  
18 then?

19 MR. ST. LOUIS: I am.

20 THE COURT: Why don't you call your witness?

21 MR. ST. LOUIS: I'd like to call Janine Arvizu.

22 (Witness summoned)

23 THE COURT: There we are. Okay. Before you sit  
24 down, would you please raise your right hand? Do you swear or  
25 affirm that any testimony you give will be the whole truth and

1 nothing but the truth?

2 MS. ARVIZU: I do.

3 THE COURT: Thank you very much. You may be seated.

4 And you may proceed, Mr. St. Louis.

5 MR. ST. LOUIS: Thank you.

6 JANE ARVIZU

7 having first been duly sworn in, testified as follows on:

8 DIRECT EXAMINATION

9 BY MR. ST. LOUIS:

10 Q Would you state your name for the record, please?

11 A Janine Arvizu. J-A-N-I-N-E A-R-V-I-Z-U.

12 Q Okay. And Ms. Arvizu, are you employed?

13 A I work as an independent contractor.

14 Q In what area?

15 A As a laboratory quality assurance consultant.

16 Q Would you -- what is a laboratory quality assurance  
17 consultant?

18 A I'm an auditor who specializes in the assessment of  
19 laboratories and their work product. And I work for people  
20 who use laboratory results to make very important decisions.  
21 And they want to understand how valid the methods are that the  
22 labs use and how reliable the results are that the  
23 laboratories generate. The way that they do that is by  
24 bringing onboard an independent auditor to assess the quality  
25 of the lab's work in a specific case and more generally as to

1 the efficacy of their quality assurance program.

2 Q Would you give us a little bit of your education  
3 background that allows you to be employed in that position?

4 A I have bachelor of science degree in biochemistry  
5 from California Polytechnics State University in San Luis  
6 Obispo, California and AVD in chemistry from the University of  
7 New Mexico. What that means is essentially that I was  
8 advanced to candidacy for a PHD degree, but did not defend my  
9 dissertation. I have been certified as a quality auditor by  
10 the American Society for Quality for a number of years, which  
11 entails passing a certification examination. And I have been  
12 involved in the generation and insuring the quality of  
13 analytical results for many years, in excess of 25. I manage  
14 an analytical laboratory for the Department of Energy. It was  
15 a full service analytical lab that handled very complex sample  
16 matrices for the full suite of analytical techniques. And I  
17 -- after I left the Department of Energy, I have been  
18 conducting assessments of analytical programs and data quality  
19 assessments for federal agencies including the Navy in which  
20 capacity as which their quality program manager. I assessed  
21 commercial and government laboratories doing analytical work  
22 for the Navy and actually wrote and authored their quality  
23 standard that they used for those assessments.

24 Q Okay. So at -- at some point you must have started  
25 off working in a lab somewhere.

1 A Yes, sir.

2 Q Where was that?

3 A That was at Department of Energy laboratory, Idaho  
4 National Engineering Laboratory.

5 Q And eventually you came to run that laboratory; is  
6 that correct?

7 A Yes. At the time I came onboard, they did not have  
8 a functioning analytical service laboratory. And I  
9 established that and grew it in a very short period of time to  
10 about a 45 person laboratory.

11 Q Okay. How long were you in the -- the labora- --  
12 and -- and this is, it's a private laboratory, but its  
13 customer is the federal government; is that how it works?

14 A The national labs in this country are actually  
15 operated by operating and maintenance contractors, management  
16 contractors. They -- they're not federal employees, but it's  
17 like a captive contract where your only customer is the  
18 Department of Energy.

19 Q And -- and how long did you work in that laboratory?

20 A About 10 years.

21 Q And did you need any sort of governmental clearance  
22 to do that type of work?

23 A Yes. I held a Department of Energy Q level  
24 clearance, which is a nuclear security clearance.

25 Q Okay. I'm not sure what that is, but it sounds



1 important.

2 A I'm not sure if there's a direct analogy, but I  
3 think it's like top secret. There's a lower grade clearance,  
4 that's L clearance, but with a Q, you're eligible for  
5 sensitive nuclear security secrets.

6 Q Okay. So you worked in this laboratory for 10  
7 years. You -- you got them up and running. And -- and did  
8 you say that you had written actually the quality assurance  
9 procedures for the laboratory?

10 A I authored many quality assurance procedures in that  
11 laboratory. I also authored the standard, the quality  
12 standard that's used -- was used by the Navy to evaluate its  
13 laboratories both commercial and government.

14 Q Okay. In Mr. Marrama's case, we are dealing with  
15 something called headspace-gas chromatography. Are you  
16 familiar with that?

17 A Yes.

18 Q Can you tell us how you are familiar with that?

19 A I've bought instruments to do that kind of testing  
20 and directed their validation. I've performed testing using  
21 headspace GC methods. And for many years have reviewed -- and  
22 done data quality assessments on the results of headspace GC  
23 testing.

24 Q Okay. So you've got 10 years in this laboratory  
25 that -- that works for the Navy. And then where do you go

1 after that?

2 A The 10 years was DOE that I -- the Department of  
3 Energy, I'm sorry.

4 Q Oh, okay. I'm sorry. I apologize.

5 A Then I went out and started a consulting firm. And  
6 that's in -- in that capacity is when I worked for the Navy.  
7 I also chaired an advisory panel looking at characterizing  
8 high level waste tanks at Hanford. I did a variety of -- of  
9 analytical support functions, but in general, they were --  
10 they were focused on ensuring and assessing the quality of  
11 analytical results.

12 Q Okay. And then you told us that you -- you've  
13 gotten some certification or in some way had become qualified  
14 to perform laboratory inspections?

15 A Yes. I first did lab inspections for the Department  
16 of Energy under their standards. After I left the Department  
17 of Energy, I obtained certification as a quality auditor from  
18 the American Society for Quality.

19 Q The American Society for Quality?

20 A It's a professional organization for Quality  
21 practitioners.

22 Q Okay. And is this something where you pay \$15 and  
23 they give you the -- the certificate to be able to inspect  
24 laboratories?

25 A No. Actually, there's a -- there's an employment

1 requirement, there's an educational component and there's an  
2 examination that you sit for. And it has an appreciable  
3 failure rate. At the time I took it, my recollection is the  
4 failure rate was about 30 percent.

5 Q Okay. At any rate, you were not one of the failees?

6 A No, I was not.

7 Q Okay. So once you -- and what is -- I'm just  
8 struggling with this. I'm sorry. What -- what is the name --  
9 is there a -- a name for what you -- there must be -- for what  
10 you achieved when you passed the examination?

11 A You're certified as a quality auditor. It's CQA is  
12 the designation.

13 Q Thank you. Since receiving your -- your CQA, have  
14 you in fact performed audits on different laboratories?

15 A Many.

16 Q Can you tell us generally how does one perform an  
17 audit on a laboratory?

18 A As -- as an independent auditor, I am required to be  
19 truly independent of all analytical laboratories of -- if --  
20 for -- as an example, for -- in my work for the Navy, I had to  
21 sign a statement attesting to the fact that I had no personal  
22 or professional relationship with any analytical testing  
23 laboratory or people who worked in analytical testing  
24 laboratories both during the term of my employment and for --  
25 as -- in that contract and for three years thereafter. So

1 you're really serving as an independent party coming in and  
2 doing in an assessment of the laboratory, assessing how  
3 technically rigorous and how effective their quality assurance  
4 is, and in the case of a data audit, actually reconstructing  
5 everything that happened to a particular sample or a set of  
6 samples so they understand how reliable the testing performed  
7 by the laboratory was. Under what -- under what quality  
8 system was it -- was it tested and how effective was that  
9 system at the time the testing was performed.

10 Q So if you're going to do an onsite audit -- I mean,  
11 that is what it sounds like, you actually go physically into  
12 the lab?

13 A That certainly is the most effective means of -- of  
14 doing such an assessment. Onsite audits, you will always be  
15 able to have access to more information than you can get  
16 simply by doing a paperwork assessment.

17 Q And the paperwork assessment, that's what a data  
18 audit is?

19 A Yes.

20 Q And what sort of documents would you get if you were  
21 going to do a data audit of a particular lab?

22 A You get copies of the procedures, you get of their  
23 quality manual, you get copies of -- if it's in relation to a  
24 specific case or a specific set of samples, you get all of the  
25 data relating to that particular analytical scheme. Just all

1 the background information attesting to the qualifications and  
2 -- of the analysts that are involved and an un- -- an  
3 understanding of the components of their quality program.

4 Q And you've been doing that for how long now? Now we  
5 all know you started working in a lab when you were five.  
6 So.....

7 A Doing formal audits, probably in excess of 15 years,  
8 more -- closer to 20 probably.

9 Q All right. I'm showing you what's been marked as  
10 Defense Exhibit A. Can you tell the court what that is?

11 A It appears to be my resume.

12 Q Does it give a little more detail about the -- the  
13 different type of work you've done and the information you've  
14 just gone over?

15 A It does.

16 MR. ST. LOUIS: I would move for the admission of  
17 Exhibit A for the purposes of this hearing.

18 THE COURT: Ms. Natividad.

19 MS. NATIVIDAD: I don't have any objection.

20 THE COURT: Without objection, Exhibit A will be  
21 admitted.

22 MR. ST. LOUIS: Don't know if you wanted to see it  
23 or not.

24 Q Okay, Ms. Arvizu. So who has paid you to go in and  
25 do laboratory inspections?

1           A     Who -- I'm not sure I understand the question.  In  
2 this case or -- or more generally?

3           Q     More generally.

4           A     Okay.  More generally, for the most part when I'm  
5 actually going into a laboratory, the vast majority of those  
6 cases have been on behalf of the federal government, on behalf  
7 of either the Department of Energy or the U.S. Navy.

8           Q     Okay.  And what is it that you're looking for when  
9 you go in there and do an inspection?

10          A     You know, you're just looking for everything.  When  
11 you've been in so many laboratories over -- over the course of  
12 a career and have managed your own laboratory, you get a real  
13 good understanding of sort of hallmark indicators of good labs  
14 and labs that are going to have trouble.  And there are things  
15 you can see on an onsite lab that you -- that will never come  
16 through on -- on the written record.  You can see evidence of  
17 good practices.  You can see evidence of poor practices.  You  
18 can see evidence of -- of a strong and robust contamination  
19 control program and you can see real clear evidence of a  
20 laboratory that's likely to have problems with contamination.  
21 There are things you can see in person that just don't always  
22 come through in the paperwork.

23          Q     And are you able to make those same sorts of  
24 assessments whether you have a laboratory that is likely to  
25 have some problems from a data audit as well?

1           A     Oh, certainly.  It's just not as -- as  
2 all-encompassing as it would be in the case of an onsite  
3 audit.

4           Q     Okay.

5           A     Because I -- I think everybody who's been through a  
6 science class knows that if you didn't write it down, you  
7 didn't do it.  The -- the premise of science is you're  
8 supposed to document very completely your work product so that  
9 another independent scientist can assess and judge the quality  
10 of your work.

11          Q     Okay.  And in fact there was just a report  
12 authorized by Congress by a national group.

13          A     National Academy of Sciences.

14          Q     National Academy of Sciences.  And one of the things  
15 that they criticized the laboratories for was not having work  
16 that was reproducible by someone reviewing the records.

17          A     That's correct.

18          Q     Have you ever -- you told us that you perform data  
19 audits and -- and onsite inspections.  Have you done that in  
20 laboratories that perform forensic work, work for court?

21          A     Yes.

22          Q     Tell us about that, if you would.

23          A     Been doing it for a little more than 10 years now.  
24 And I have done a large number of data audits where I  
25 essentially go in and attempt to assess the data quality of

1 reported results simply on the basis of the written work  
2 product. Some of them are contemporaneous cases; some of them  
3 are very, very old cases where I'm doing data quality  
4 assessments. But trying to understand how valid was a method  
5 that they used and how reliable were -- was it implemented,  
6 how reliably was it implemented in a laboratory. So I've done  
7 those from states all over the country, overseas from a  
8 variety of jurisdictions and in a variety of disciplines,  
9 because the principles of quality assurance are independent of  
10 the area in which the technique is being applied. The  
11 principles of accuracy and precision, sensitivity,  
12 reproducibility, completeness, comparability, those kinds of  
13 principles are independent of whether you're talking about  
14 headspace GC testing or DNA testing or gunshot residue or  
15 toxicology fingerprints. These are all techniques that I've  
16 done data quality assessments on.

17 Q So good science likely to lead to reliable results  
18 is good science across the board; and bad science.....

19 A Good science leads to good valid methods. And when  
20 good valid methods are implemented in labs with very robust  
21 and effective QA programs, then you get reliable results.

22 Q Okay. Thank you. Ever been ordered into a crime  
23 laboratory to be allowed to witness how they perform the  
24 testing?

25 A Yes.



1 Q Where?

2 A Here in Tucson.

3 Q Okay. Would that be in the -- the Tucson Police  
4 Department Crime Laboratory?

5 A No. It's the state one. And I'll -- I'll think of  
6 the acronym.

7 Q Department of --

8 A DPS.

9 Q -- Public --

10 A Thank you.

11 Q -- yeah. Department of Public Safety --

12 A Yes.

13 Q -- Crime Laboratory?

14 A Yes.

15 Q Okay. Any other states as well?

16 A I've been under court order, a witness, DNA testing  
17 in a variety of -- of cases and distri- -- and jurisdictions.  
18 I think that's about it.

19 Q Well --

20 A Mostly DNA cases.

21 Q Okay. At my request, did you review some  
22 information in Mr. Marrama's case?

23 A Yes.

24 Q Can you tell the court what you looked at, please?

25 A The kinds of materials that I described earlier. I

1 reviewed the laboratory's standard operating procedure. I'm  
2 not sure that's exactly what it was called, but that's the  
3 conventional term. But their procedure for doing blood  
4 alcohol measurements, I reviewed it. The case file or the  
5 contents of the -- the case specific information in this case.

6 Q Well, let me slow you down. Let me show you what's  
7 --

8 A Okay.

9 Q -- been marked as Defendant's B and ask you if you  
10 recognize that.

11 A I do. This is the procedure that it's my  
12 understanding would have been in effect at the time the  
13 subject case was performed. Its effective date is May of 2007  
14 and the work in this case was performed just a few months  
15 later.

16 Q Okay. And -- and what is the name of this document  
17 that is Exhibit B?

18 A It's -- it's a Tucson Police Department Crime  
19 Laboratory document and it's titled blood alcohol procedure  
20 manual.

21 Q Okay. And it --

22 A Version two.

23 Q Version two?

24 A Version two.

25 Q Okay. Then you said that you looked at some

1 information specific to this particular case?

2 A Yes.

3 Q Okay. And what did you look at then?

4 A It's commonly referred to as case file. It's the  
5 raw data generated during the instrumental analysis and a  
6 record of notes and observations made by the analyst in the  
7 case.

8 Q Let me show you what's been marked as Defense  
9 Exhibit C and I'll ask you if you recognize what that is.

10 A Yes, I do.

11 Q What is it?

12 A It's a 20 page document that represents the case  
13 specific information generated in this case.

14 Q What case specific information are we talking about?

15 A The results of the blood alcohol testing and all of  
16 the associated laboratory analyst and notes.

17 Q Okay. And is that from Mr. Marrama's blood sample  
18 or is that from other people's blood samples or is it  
19 something else?

20 A It includes Mr. Marram- -- I'm not sure I'm  
21 pronouncing it right -- Marrama's blood sample results as well  
22 as quality control results from the associated batch run.  
23 These things are typically run in batches. So there's quality  
24 control samples that are more broadly applicable than just to  
25 his.

1 Q Would you double check and see if Mr. Marrama's is  
2 actually in there?

3 A Oh, well, let me look. These -- the sample ID's  
4 here are not something I'm accustomed to seeing because they  
5 don't have unique sample identification numbers associated  
6 with the unknown samples. They identify them by vial numbers,  
7 except for QC. No, these are just QC. I apologize. This is  
8 just the QC samples.

9 Q Let me hand you what's been marked as Defense  
10 Exhibit D.

11 A Okay. This -- this looks like -- two, three --  
12 okay. These are -- are the actual case samples, unknown  
13 samples in this case --

14 Q Okay.

15 A -- that were run in the same batch with those  
16 others.

17 Q So Exhibit C is --

18 A The quality control information.

19 Q Okay. For this case.

20 MR. ST. LOUIS: I like to move for the admission of  
21 Defendant's Exhibits C and D.

22 THE COURT: Ms. Natividad.

23 MS. NATIVIDAD: No -- no objection, Your Honor.

24 THE COURT: Without objection, Defendant's C and D  
25 will be admitted.

1 (Defendant's Exhibits C and D admitted)

2 Q And ma'am, let's I guess take a look at the -- the  
3 overall picture first. Did you see some issues with the way  
4 the Tucson Police Department Crime Laboratory is conducting  
5 gas -- headspace-gas chromatography on blood samples for  
6 alcohol in general?

7 A Yes.

8 Q Can you tell the court about that?

9 A Sure. The basis for -- for my assessment of their  
10 method in general is what we refer to as their standard  
11 operating procedure. Their procedure for blood alcohol  
12 testing. And that for me as an auditor, the SOP is a good  
13 introduction to just how technically rigorous the laboratory's  
14 operation is and what kinds of -- of controls have they set  
15 for themselves, how -- how high did they set the bar for  
16 themselves. I've reviewed --

17 Q You know what, let -- let me just take a break.

18 MR. ST. LOUIS: I guess I want to move for the  
19 admission Exhibit B which was identified as --

20 THE COURT: Is that what she's now referring to?

21 MR. ST. LOUIS: May 2007 blood alcohol procedure.

22 THE COURT: Can I have one?

23 MR. ST. LOUIS: Yeah.

24 THE COURT: Do you have one?

25 MR. ST. LOUIS: We've got some extras, yes.

1 THE COURT: All right. Help me more if I know what  
2 I'm looking at.

3 MR. ST. LOUIS: Do you need a copy, MaryLou?

4 MS. NATIVIDAD: I do have a copy.

5 MR. ST. LOUIS: Okay. And with everyone's  
6 permission, Judge, I'll give you a copy of this which has now  
7 been admitted as Exhibit B.

8 THE COURT: Okay. Thank you very much.

9 (Defendant's Exhibit B admitted)

10 MR. ST. LOUIS: You're welcome.

11 Q And I interrupted you, ma'am. You were saying what  
12 it is that -- that this document means to you when you look at  
13 it.

14 A Yeah. This is -- this is like a scientific recipe.  
15 And it should provide sufficient detail so that another  
16 qualified gas chromatographer could come along and execute or  
17 perform this method precisely the same way that they do it in  
18 Tucson. Doesn't mean I'm going to be able to give this  
19 procedure to you and you're going to be able to perform the  
20 method acceptably. Not -- no offense, but --

21 Q None taken, ma'am.

22 A -- but --

23 Q If I -- if I could do math, I wouldn't be a lawyer.

24 A But this should provide a level of detail so that  
25 scientists don't have to wonder, huh, I wonder if I should do

1 this or not, I wonder if I should do that or not. This --  
2 that kind of information is typically provided here in the --  
3 in the standard operating procedure.

4 Q So it should be like -- like a -- like a cookbook,  
5 like the recipe that you follow you do A, B, C and D and then  
6 you get --

7 A And not like my grandma's cookbook, because she  
8 measured anything. This one is a lot more detailed. This is  
9 more like some fine pastry chef getting nice reproducible good  
10 desserts out of the oven.

11 Q Okay.

12 A Because in order to be reproducible, you need to  
13 control all of the variables. So that's really what you're  
14 looking at. Have they defined, established and controlled all  
15 of the things that can introduce variation to the analysis.

16 Q And -- and how is this cookbook? Have they in fact  
17 done that?

18 A I'll say I've seen worse, but I've also seen a lot  
19 better. This -- this procedure does -- I'll -- it's -- it's  
20 low average I'll say, because it tends to accept a lot of  
21 things simply on faith and/or -- or understanding or  
22 assumptions perhaps. For example, when it talks about the --  
23 it calls them reagents on page 3 of 21. It goes through a  
24 little listing -- and this is entirely appropriate. It goes  
25 through a listing of what equipment they use and what supplies

1 they use. That's entirely appropriate. Then it gets down and  
2 it says reagents. More typically, these are -- these are  
3 called the standards and controls. What we're talking about  
4 here is the heart. Technically, scientifically, the heart of  
5 the analytical method. These are the basis for drawing  
6 conclusions. And it simple says that I have a series of  
7 working standard solutions, .05, .10, .15. There's no units  
8 on those numbers. It's sort of just assumed that you know  
9 what units they're talking about. That scientifically is  
10 clearly not an acceptable practice, because -- and the analogy  
11 in -- in a recipe would be saying take three baking sodas.  
12 Well, three teaspoons, three tablespoons, three cups, three  
13 railroad cars, you know, how much. In addition, it doesn't  
14 specify anything as to the source and quality of these  
15 materials. These are the calibration standards. These are  
16 the reference materials that form the basis for the scientific  
17 conclusion. And there's no constraints or criteria associated  
18 with these acquisitional preparation of these materials.

19 Q Why is that important?

20 A Because that's foundational to the reliability of  
21 the ultimate results. Labs that don't effectively control  
22 their acquisition of these materials, sometimes you get a bad  
23 lot from a bad supplier or some low bid supplier more  
24 typically that doesn't meet your specifications. How -- and  
25 just as an example in number .05. Well, is that .05 plus or



1 minus one percent, 10 percent, 50 percent? How close do you  
2 need to be to that in order to be effective? And how close do  
3 you need to know the results in order to be able to use it  
4 scientifically? These simply don't provide sufficient detail  
5 for a scientist to be able to consistently, reliably,  
6 reproducibly get the same results as another party. It just  
7 needs more detail. In addition, throughout this document  
8 there are about -- there is several places where it addresses  
9 the use of blanks. Sometimes these are called negative  
10 control samples.

11 Q And what is a blank?

12 A What is a blank. A blank analysis is required by  
13 every quality standard. And the reason for that is that you  
14 need to know how well the instrument works on known samples.  
15 And a known sample can be positive. It can have ethanol in  
16 it, but it's equally important that you run a known negative  
17 samples so you have a sample that you know is completely free  
18 of ethanol. And that sample should be introduced to the  
19 analytical process and processed just the same way that you're  
20 handling all the rest of the samples contemporaneously the  
21 same time and the same place and the same location using the  
22 same equipment, because what you're trying to do with a blank  
23 sample is to assess whether there's any place in that  
24 measurement process where there's the potential for the  
25 introduction of a contaminate to that process. That is, in

1 the case of ethanol analysis, is there any way that ethanol  
2 could ever been into a sample and me not know about it?  
3 Because the dirty little secret about contamination is if you  
4 get an expected result and it's due to contamination, you'll  
5 never ever know it. So you have to be absolutely rigorous  
6 about preventing any opportunity for contamination and for  
7 measuring it during the analytical process keeping -- keeping  
8 red flags up to see -- out there to see if there are any  
9 problems. In this particular procedure, the laboratory only  
10 requires a single negative control samples with -- with a  
11 batch of samples. Now when you run a batch, they're  
12 constrained by the size of their auto-sampler. In point of  
13 fact, from reading an interview that you did with the analyst,  
14 they don't do a large batch typically on the order. Maybe 20  
15 samples is a typical batch size. But they still only do one  
16 negative control or blank sample with their analytical batch.  
17 And in their -- in their procedure on page 3 of 4 under  
18 preparation of samples, it says remove --

19 THE COURT: Is that -- is that Exhibit B?

20 A I'm sorry. Yes, it is. This is their procedure,  
21 Exhibit B.

22 THE COURT: Now I've got -- I've got a page 3 of 21.  
23 Is there.....

24 A Well, look at that. 3 of 20 -- yes, sir.

25 THE COURT: Okay.

1           A     That's 3 of 21.

2           THE COURT: Thank you. I'm sorry.

3           A     Remove blood samples and solutions from the BA  
4 storage refrigerator. Well, that's when the little flashing  
5 blue light goes off on the auditor's head and you say they put  
6 their samples and their control solutions in the same  
7 refrigerator? From an analytical best practice perspective,  
8 no. You never want to have unknown samples share confined  
9 space with known prepared solutions of the things you're going  
10 to be testing for, because that introduces a potential for  
11 cross contamination. If you're going to do that and I would  
12 never recommend that you do, but if you're going to do it, you  
13 got to put blanks in the refrigerator just to monitor for --  
14 for refrigerator contamination. So it's just --

15          Q     Does -- does that happen? I mean, can you get --

16          A     Oh, yeah.

17          Q     I mean, is that theoretical or does that happen?

18          A     It is not theoretical. I have seen it. Yes.

19 That's what they're there for.

20          Q     You said that they use one blank in this particular  
21 way of what -- what's a high -- and I -- I guess -- I mean,  
22 you -- you can't get much lower than that unless you get to  
23 zero. What's a high number of blanks? What -- what have you  
24 seen in practice?

25          A     The best practice I've ever seen where they're

1 absolutely really rigorous about worrying about contamination  
2 control is a blank between every set of unknown samples.

3 Q So if you're dealing --

4 A So they'd run an unknown and then they'd run a  
5 blank.

6 Q If you're -- you're testing 20 people, you would  
7 have 20 blanks.

8 A Actually, you have more than that, because you're  
9 running them in replicates. But yeah, it's the basic idea.

10 Q You would have 40 blanks; right?

11 A Yes.

12 Q Okay. I can do a little math. Not much, but a  
13 little bit. Okay. How did they define that -- what does the  
14 Tucson Police Department Crime Laboratory say is the purpose  
15 of their blank?

16 A Yeah, that's -- that was one of the most troubling  
17 things. I think that's on page -- it's back a ways. Yeah,  
18 page 12. Page 12 of 21, the last entry under the section  
19 entitled controls. It states -- and this is the only place  
20 that it talks about blanks. A blank shall be analyzed each  
21 run for quality control of the internal standard.

22 Q What does that mean?

23 A I haven't got any idea really. I -- I sat and  
24 thought about it and tried to -- I've never -- I -- I hate --  
25 I've probably read hundreds and hundreds and hundreds of

1 procedures for -- for gas chroma -- chromatography work and  
2 other kinds of analytical work and I've never heard a blank  
3 interpreted as being applicable to quality control of the  
4 internal standard. The -- the most essential function of a  
5 method blank, of a blank, is to assess whether or not the in  
6 -- the method is working in the negative sense for -- for a  
7 blank and the reason you use positive is in the positive  
8 sense. And it's not -- I really -- it appears to be that  
9 they're trying to serve some function for the internal  
10 standard, because as in -- is the case for the rest of the  
11 samples, all of their samples are diluted with an internal  
12 standard. So the blank is also in a similar manner diluted  
13 with the internal standard. But that's -- that's really a new  
14 one on me, that particular interpretation of the function of a  
15 blank.

16 Q Okay. So we've talked about the lack of specificity  
17 of -- of the quality assurance samples being used. We've  
18 talked about the blank and its issues. And any other issues  
19 that you had with the general way in which they perform blood  
20 testing in laboratory?

21 A Yeah. From the laboratory's procedure, it wasn't  
22 apparent to me that the -- the internal standard -- in this  
23 case, the laboratories using an acido nitrile solution -- is  
24 ever quantitatively verified prior to its use in the  
25 laboratory.

1 Q What is acido nitrile?

2 A It's a methal group with a cyanide group stuck  
3 together. And it's a solvent. It's an organic solvent. In  
4 the case of this particular method, they use acido nitrile as  
5 an internal standard. And what they're trying to do with an  
6 internal standard is use a compound that behaves similarly in  
7 a GC, which it does, to ethanol and to use a compound that's  
8 absolutely not going to be found an unknown samples. So  
9 you're not going to find acido nitrile in anybody's blood  
10 naturally. And what they do is to quantify and to understand  
11 how much ethanol is present in a sample. They do a comparison  
12 of the response of the ethanol to the response of acido  
13 nitrile. They introduce a known quantity of acido nitrile to  
14 every sample. So every peak, every chromatogram that you see  
15 will have an extra peak for acido nitrile in it. And that's  
16 comparison of the size of those two peaks that's used to  
17 quantify an tell you how much ethanol is present. So knowing  
18 the absolute actual quantity of acido nitrile is fundamental  
19 to the quality of the -- of the measurements. Absolutely  
20 essential. It is in fact in this particular case more  
21 important than the quality of the calibration standards. The  
22 calibration standards are not actually used to quantify in the  
23 method they use. They use an internal standard method to  
24 quantitate and tell you how much ethanol is there. So they  
25 address, you know, we're going to buy the calibration

1 standards. We don't dilute them. We purchase them from a  
2 supplier and we use them as is. But then they prepare their  
3 own acido nitrile. And in the procedure, there is no  
4 requirement for it's independent verification prior to its use  
5 in the method.

6 Q Is there anything in either B or C or D that would  
7 tell us what the -- the actual concentration or -- or  
8 measurement of the acido nitrile that was used in this case  
9 was?

10 A There's a reference to the -- the theoretical or the  
11 -- the recipe method. Your -- this is how much you want to --  
12 what concentration you want to prepare it at. I don't recall  
13 -- I think -- I'd have to look it up and -- here it is, .01  
14 percent. That's not a measured value. That's just a  
15 reference value.

16 Q That's what it's supposed to be?

17 A That's what it's supposed to be. That's what  
18 they're assuming it is for purposes of this analysis.

19 Q And where is that, please?

20 A That's on the first page of Exhibit C.

21 MR. ST. LOUIS: Do you need a copy of that, MaryLou?

22 MS. NATIVIDAD: Sure. Terra (ph) and I are sharing  
23 one.

24 Q And then --

25 A It's also in their procedure.

1 MR. ST. LOUIS: I'm just going to go ahead and hand  
2 you --

3 A In the laboratory's procedure page 4 of 21, the  
4 third bullet down says acido nitrile is the internal standard  
5 .010 percent volume -- volume. This solution is made by  
6 pipetting .100 mls of acido nitrile into a total volume of  
7 one liter of deionized water.

8 Q Is that okay? Is it --

9 A Well, that can be a start, but then you got to  
10 actually quantitatively verify that amount.

11 Q How do we do that?

12 A You actually run a separate test in which you take  
13 this prepared one liter solution and you take a aliquot, mix  
14 it up, get it nice and homogenous. Take out a little sub  
15 sample and test it through the instrument and quantify it and  
16 see how much acido nitrile is present in that sample.

17 Q Okay. All right. Any other issues with -- with  
18 generally how they're doing the blood testing?

19 A You know, I've looked at blood test results from  
20 over the country. And I confess to be quite surprised at the  
21 apparently vary weak quality control requirements here in this  
22 laboratory; specifically things like only one blank sample,  
23 only one whole blood control sample. And I guess the reason  
24 -- I need to explain why that's so important. When the  
25 laboratory is -- is measuring their method and seeing if it's



1 working and so forth, for the most part they're using known  
2 solutions that are just ethanol and water. Water is a pretty  
3 easy matrix, you know. As an analyst, there's not a lot of  
4 challenge associated with getting a compound measured out of  
5 water very accurately. Blood's a lot more difficult. It's  
6 just messier. It's got more stuff in it and so it's a -- a  
7 more challenging matrix if you will. Typically, the  
8 performance of an analytical method is -- gets worse as the  
9 matrix gets worse. And if you look at validation data for  
10 blood alcohol methods, that's very typical. If you look at  
11 the accuracy on alcohol and water, it's pretty darn good. And  
12 then when you get to alcohol and blood, it gets a little  
13 worse. So it's really important that you do blood control  
14 samples. They only do one -- one concentration of blood  
15 sample run one time. The last blood alcohol methods I looked  
16 at in another state just -- just a couple of days ago, they  
17 require two different blood alcohol samples be run  
18 intermittently throughout the batch.

19 Q So that was just one sample, one time --

20 A Exactly.

21 Q -- two different sources and aliquots of that run a  
22 number of times.

23 A Correct.

24 Q Okay.

25 A And that, in effect what that is, it's a higher

1 standard. It's a higher quality bar to get over than what  
2 they have here in -- in this laboratory. And then we get to  
3 the -- the mixed sample.

4 Q Okay.

5 A This technique, gas chromatography, is a -- is --  
6 has its power and its -- its application in separation of  
7 mixtures.

8 Q Can --

9 A That's really what it's effective for it.

10 Q Can you kind of illustrate on the board with -- with  
11 the chart?

12 A Well, I can try, but you'll see I'm not much of an  
13 artist. Okay.

14 Q We all have our limits.

15 A And -- and this is one of mine. Okay. One, two,  
16 three -- well, I only got five here. Six. Okay. In the case  
17 of -- of this particular procedure, the laboratory indicates  
18 that -- well, let -- actually, before I get to the procedure,  
19 let me just explain in 25 words or less how gas chromatography  
20 works. When you inject a sample that has a mixture of  
21 compounds into a gas chromatography instrument, that  
22 instrument's power is, in separating that mixture into its  
23 component pieces and telling you how many different things are  
24 in there, it doesn't tell you anything at all really about  
25 what those things are that are present in the sample. It just

1 separates them all out. That's its power. So in this case,  
2 this would have been a sample that I injected into the  
3 instrument. This is an indication of intensity, just how much  
4 of it is there. And is this access right here is time,  
5 typically, minutes. So I inject the sample. I'm doing that  
6 for a reason. There's a little blip in there. I inject the  
7 sample and then I start the clock and I wait. And -- and the  
8 sample goes into the instrument. It's basically a very fancy  
9 heated oven. And inside that oven is a capillary column that  
10 is a very specially prepared small diameter tube that's been  
11 chemically prepared. And when the sample goes into that tube  
12 in this oven, there's a carrier gas that pushes that sample  
13 through. And some of the compounds that are not retarded for  
14 any reason tend by -- by chemical affinity or size or  
15 whatever, tend to blow through very quickly. And so the  
16 things that blow through the column very, very quickly will go  
17 through that column and they'll come out the other side. And  
18 we have what's called a flame ionization detector. It's a  
19 very non-specific detector. It'll detect virtually any  
20 hydrocarbon that comes rolling through the -- the instrument.  
21 And it just gives you a peak. And the size of that peak is  
22 directly proportional, how much of this stuff is present in  
23 the sample. So I did my injection and I wait and I wait and,  
24 oh, and here, this is an indication that there's a compound  
25 coming through. And it came through pretty darn quickly. So

1 there's a little bit of that. And you can see that there was  
2 a total in this particular sample of six compounds that were  
3 separated by the GC instrument.

4 Q So it's all going through this -- this tube, this --

5 A Uh-huh. (Affirmative)

6 Q -- this column. And then it goes across a flame.

7 And -- and when it across the flame, it burns and that's --

8 A Yeah. Yeah.

9 Q -- what causes the peak?

10 A I just gives you an -- an absolute intensity. It  
11 doesn't say anything about what it is it's burning. It's just  
12 some unknown hydrocarbon in the case of a flame ionization  
13 detector.

14 Q Okay.

15 A Okay. So the ethanol responds very effectively as  
16 do a lot of the other things that are present in -- in  
17 headspace. Now you'll note that what I tried -- what I've  
18 tried to do here is do peaks that have decent what we call  
19 chromatographic shape. Okay. And any -- any errors are in my  
20 authorship, not and necessarily in the chromatography. What  
21 you want is peaks where both shoulders or both -- both of  
22 these little edges here are -- are like mirror images. You  
23 don't have things going on like that where it's tailing out on  
24 one side. You also want to avoid things like this. Now what  
25 do you suppose that means? It means that the two things are

1 coming through the column. I got two -- two different  
2 compounds that are -- have been going through the column. And  
3 they're coming out, but they're coming out so close together  
4 that when my FID detector is giving a -- a response, this one  
5 doesn't get time to go all the way back down to the baseline  
6 before it detects more of the next one. That's an example of  
7 something that's called two peaks that are not resolved. This  
8 is what's called poor resolution. And what's that's giving  
9 you is a clue that GC isn't going to solve your problems if  
10 those two compounds happen to be present in your sample.  
11 That's an indication that they're just -- they're retention  
12 times and that's what this is called. You're going to  
13 probably hear about that. This is called retention time.  
14 Their retention times are just too close together for this to  
15 be an acceptable technique for that kind of a measurement.

16 Q What --

17 A Now it may look like this. Sometimes it will look  
18 like that. It gets pretty subtle depending on how much  
19 overlap and how much relative size of the different  
20 constituents are present. Anyway, this is sort of my excuse  
21 for representation of one of the quality control samples that  
22 this laboratory does as part of its regular -- with every time  
23 I run a batch, I run what they call a mix. It's a mixed  
24 standard. And it includes -- this is -- that's acido nitrile.  
25 This is their -- their internal standard peak that's in every

1 sample. It's a diluent that they put in to every sample. And  
2 these other five peaks are five mixed volatiles that might be  
3 present in a blood alcohol sample that they want to be able to  
4 be demonstrate and to assess whether or not the technique is  
5 working effectively, whether or not this GC is working  
6 probably, whether or not you get separation between every one  
7 of these peaks or whether or not you have stuff like this  
8 going on.

9 Q Okay.

10 A Okay.

11 Q And were there -- was there some sort of issue with  
12 the -- the resolution in this case?

13 A Well, yeah. If you look in their -- in their  
14 procedure, their standard operating procedure -- let me find  
15 the page. I think -- there it is. Okay. Page 18 of 21.  
16 Okay. I mentioned that the GC never tells you what it is, it  
17 just tells you how many things you have. The way you  
18 determine and confirm what something is, is by running known  
19 samples of in this case ethanol, and we run a known sample  
20 through this column and we figure out what its retention time  
21 is. Then we run ethanol through a different column. And it's  
22 a different column with different chemical characteristics,  
23 different performance characteristics.

24 Q Tell me physically how we do that. So we're  
25 shooting -- we've heated up the -- the blood sample, we've

1 turned it into a gas and we've injected it into this machine.  
2 Does it go through one column and -- and then a second column  
3 or what happens?

4 A Well, you're putting my artistic ability to task  
5 here. This is in the oven. And we actually have one column,  
6 but I got two colors.

7 Q Okay.

8 A And another column. And I only have one injection  
9 point. And -- oops, injection. There's a actually a splitter  
10 that actually take -- I only inject one sample out of my one  
11 vial, but it takes the sample and it splits it. So half of it  
12 goes down the blue column and half of it goes down the red  
13 column. So I'm essentially doing simultaneous analysis on two  
14 different columns. The idea being that you'll get one example  
15 here where you've got one, two, three, four, six different  
16 things coming off. And that when I run them through a  
17 different column and I see how they come off, maybe this time  
18 -- maybe this time it's one, two, three, four, five, six. So  
19 the things come off in a completely different order.  
20 Different order with very, very different retention times.  
21 Now under quality standards, if you were to just run alcohol  
22 with a GC instrument and with one blue column and get a  
23 result, scientifically that would be invalid. That would be  
24 an invalid conclusion to say that that was ethanol. Even if  
25 you've ran a known standard of ethanol and said but I know is

1 ethanol, because that's where ethanol comes out, that's  
2 insufficient because there's hundreds of thousands of things  
3 out there and bet your bottom dollar there's something else  
4 that's going to come out of that same retention time. So the  
5 way you get around that scientifically is you either use a  
6 different analytical technique, completely different that  
7 relies on different scientific principles, or you can use a  
8 different column. Now under quality standards like Society of  
9 Forensic Toxicology is a -- is a national organization for  
10 forensic toxicology that deals with this kind of testing, they  
11 say it's acceptable if -- you can use a second GC technique if  
12 the columns give significantly different retention times and  
13 significantly different elution orders, or the order that  
14 these things come out. So this -- you can see like this case  
15 and it's really just scrambled them up. So don't run into the  
16 risk of misidentifying something.

17 Q Okay.

18 A Okay.

19 THE COURT: Mr. St. Louis, can you hold on for one  
20 minute. I have a 3:00 o'clock scheduled, which clearly I'm  
21 not going to be done. Mr. Shrieve (phonetic)?

22 MR. SHRIVE: Yes.

23 (TO OTHER MATTERS)

24 MR. ST. LOUIS: Do you want to take a break for a  
25 few minutes?



1 THE COURT: Can we? Why -- yeah, why don't we break  
2 for about two to three minutes. We'll see if we can deal with  
3 it.

4 MR. ST. LOUIS: Sure.

5 THE CLERK: All rise.

6 (Pause - off record discussion)

7 THE COURT: Let's give it a few more minutes on  
8 that. We're on the record in this one still? Yeah, go back  
9 on.

10 THE CLERK: Yes, we are back on.

11 THE COURT: Where did Pashion (phonetic) go. Did  
12 she --

13 MR. ST. LOUIS: She may have stepped outside, Your  
14 Honor.

15 THE COURT: Okay. Well, let's get her in here. We  
16 were in the middle of a question or almost the middle of a  
17 question. Go ahead. We're back on the record in the State  
18 versus Marrama. Let the record reflect the presence of this  
19 tape, the -- the presence of the Defendant and counsel and the  
20 State. Why don't you continue, Mr. St. Louis.

21 MR. ST. LOUIS: Thank you.

22 DIRECT EXAMINATION CONTINUED

23 BY MR. ST. LOUIS:

24 Q And Ms. Arvizu, you had just explained to us, I  
25 guess, the -- the -- we were talking about the mixed standards

1 and I guess what they should look like?

2 A Yes.

3 Q Okay. And I think it might -- let me ask a followup  
4 question. I asked you about how we get the two columns. Is  
5 it sort of like a Y splitter, like when you inject the -- the  
6 gas into the tube and then half goes through one column and  
7 half goes through the other column?

8 A Yes.

9 Q Okay. All right. Now you had I guess started, when  
10 -- when I asked you illustrate this, you had expressed some  
11 concerns about the actual method used at the Tucson Police  
12 Department and Crime Laboratory.

13 A Yeah. On page 18 of 21 in the blood alcohol  
14 procedure manual, this is their documentation of the retention  
15 times for their mixed standard. And you can see they have two  
16 columns called front and middle. Under the second column  
17 there, the one that's called BAC1. If you'll look, you can  
18 see the acetone and acido nitrile essentially have co-eluting,  
19 and that is an indication that those two things are -- are  
20 coming out at exactly the same time. Well, not exactly.  
21 1.802 and 1.801. Frankly, by running the mixed standard, you  
22 cannot resolve them. You wouldn't know that one was 1.802 and  
23 one was 1.801, because they're essentially on top of each  
24 other. You don't have that degree of discrimination using  
25 this technique on the mixed standard. That is very objective

1 evidence that their method is not working to resolve the  
2 compounds as desired. It's particularly important because of  
3 acido nitrile being the internal standard.

4 Q Okay. Did you review the mixed standard that was  
5 actually used in Mr. Marrama's run?

6 A Yes.

7 Q And is the -- I think that it's in Exhibit C, is it  
8 not?

9 A C, yes. It's in C.

10 Q Okay. And I'm going to display on the wall --

11 MR. ST. LOUIS: I don't know if I'm going to need you to  
12 move certain -- yeah, I guess I am. I apologize. Thank you  
13 very much. Okay.

14 Q So I am displaying what's been written as page 5 of  
15 20. Is that 5 of 20 on Exhibit C?

16 A Yes.

17 Q Okay. And we can see that the sample I've used is  
18 labeled as six mix.

19 A Yeah. That -- that -- using their terminology, that  
20 means it was the sixth vial or the sixth injection of this  
21 particular batch and the identify of that is mixed.

22 Q Would you -- would you show us where it is we're  
23 looking at?

24 THE COURT: Which one is it?

25 A Yeah, it's the -- it's the long, thicker one, the 20

1 page one. I'm sorry.

2 THE COURT: You're trying to take my courtroom. And  
3 which page?

4 MR. ST. LOUIS: Page 5.

5 A Page 5.

6 THE COURT: Do you know where she's looking at, Ms.  
7 Natividad?

8 MS. NATIVIDAD: She's looking at -- they're looking  
9 at this.

10 THE COURT: This?

11 A Yeah. Right down there.

12 THE COURT: Oh, okay. And is there some desire,  
13 hope that I'm actually going to see that or --

14 MR. ST. LOUIS: Yes.

15 THE COURT: Oh, that's an interesting concept on  
16 your part.

17 MR. ST. LOUIS: We tried to get a screen.

18 THE COURT: I have this in front of me.

19 MR. ST. LOUIS: Okay.

20 THE COURT: My eyesight is not --

21 MR. ST. LOUIS: Can you see the large view, the  
22 enlarged portion of the sample I've used?

23 THE COURT: I can sort of -- I can see this -- yeah,  
24 people underline things and put red blocks around those  
25 things. I can see that.

1 MR. ST. LOUIS: That's all we need you to do.

2 THE COURT: Okay. Those I can see.

3 MR. ST. LOUIS: Okay. All right.

4 Q So and -- and are there in fact six different  
5 substances that are being tested for in this sample?

6 A Yes.

7 Q Okay. And -- and we have a list of those I guess at  
8 the bottom; correct?

9 A Yes.

10 Q The first is acid aldehyde?

11 A Yes.

12 Q What is that?

13 A When I tell you what these things are, you take  
14 offense, so I'm just going to say these are all a series of  
15 what are called volatile organic carbon compounds, VOC's, that  
16 tend to go from the liquid into the headspace and be sampled  
17 by this technique.

18 Q Okay. For -- for those of us that -- that may not  
19 have gone quite as far in science as you would, would acid  
20 aldehyde be a breakdown product of ethyl alcohol?

21 A Yes, it is.

22 Q And would -- would methol -- methanol be wood  
23 alcohol?

24 A Yes.

25 Q Is ethanol drinking alcohol?

1 A Yes, that's the targeted analytve (phonetic)  
2 interest here.

3 Q Okay. Acetone is -- is --

4 A It can be present biologically as a result of a  
5 variety of medical conditions. It's again another volatile  
6 organic. It just has a ketone group instead of an alcohol  
7 group.

8 Q Okay. And isopropanol is rubbing alcohol?

9 A Yes.

10 Q And acido nitrile -- or I'm not saying it correctly.

11 A Pretty close. Acido nitrile.

12 Q Acido nitile is the -- the standard that's in  
13 everything throughout the whole run; correct?

14 A Correct.

15 Q And then when we look, we see that there is a graph  
16 that has all of this -- has six peaks; right? One for each --

17 A Yes.

18 Q We have --

19 A Each component.

20 Q We have the acid aldehyde peak to the most left,  
21 then our methanol peak, then our ethanol peak, and then our I  
22 guess acetone peak, our isopropanol peak and our acido nitrile  
23 peak; correct?

24 A Yes.

25 Q One of the things that you talked about was whether

1 or not the peaks resolved down to the baseline; correct?

2 A Yes. Yes.

3 Q Any issues with that here?

4 A Yeah, well, there is a minor issue with -- it looks  
5 like between acetone and isopropanol. Although quite frankly,  
6 this chromatogram challenges my eyes too. And as a data  
7 reviewer, I would never have accepted this. I would have  
8 always expected them to blow it up enough so I can actually  
9 see and interpret baselines.

10 Q Okay. All right. So this is one of the two  
11 columns. This would be like, I don't know, either the blue  
12 column or the red column as you've drawn on the board;  
13 correct?

14 A Yes.

15 Q And then we have the printout of a second column;  
16 correct?

17 A Two pages -- two pages later.

18 Q So that would be page 7 of 20.

19 A Yes.

20 Q And against it's the six mix.

21 A Uh-huh. (Affirmative)

22 Q Right?

23 A Yes. Same sample injected at the same time. It's  
24 just going down a different column.

25 Q And in this case, we do not have six mixtures

1 detected by the gas chromatogram.

2 A They're only five peak on this spectrum.

3 Q Okay. So we have methanol, acid aldehyde, ethanol,  
4 isopropanol and acido nitrile.

5 A Right.

6 Q We have lost the acetone.

7 A Yeah. Like I said, the instruments are stupid.  
8 They don't know what compound is what. They just know that  
9 you've told it that if it comes out of this retention time,  
10 label it with this name. And it appears that what is  
11 happening here is that the acetone is co-eluting with the  
12 acido nitrile. That is -- it's not -- it's not just like  
13 this. It doesn't even have a little shoulder. They're so  
14 close that it can't even tell. It just makes this peak a lot  
15 bigger.

16 Q Okay. So as part of our quality assurance to make  
17 sure that the gas chromatograph works properly, we are testing  
18 six different substances.

19 A You're actually testing five. The -- the sixth  
20 component is simply there as part of the analytical method.

21 Q Which is what?

22 A Acido nitrile.

23 Q Okay. And you say we're really testing five  
24 different things?

25 A Yes.



1 Q Okay. And --

2 A But we're using the sixth to do that testing.

3 Q Okay. And the gas chromatograph, when it was used  
4 the day that Mr. Marrama's blood was run, in the same run, was  
5 unable to identify those five substances in the two columns.

6 A That's correct.

7 Q That seems like a problem.

8 A We call it a quality control failure. Although  
9 ironically in this case the lab apparently doesn't recognize  
10 that, they've -- they sort of institutionalize this failure in  
11 their method by actually writing it into their method.

12 Q Okay.

13 A The fact that they had co-eluting peaks is actually  
14 written into their method.

15 Q You talked about gas chromatography. The strength  
16 of it is you can separate out one thing from another.

17 A It has the potential to do that.

18 Q Okay. What does it mean when our quality assurance  
19 sample can't even detect all of the substances that are  
20 supposed to be in the vial that we're testing?

21 A It means the method isn't working in the way it was  
22 intended.

23 Q You -- you used a -- a phrase when -- when we were  
24 discussing this case I think, fatal error?

25 A Yes.

1 Q And what is that?

2 A When you're -- when you're processing samples, there  
3 are some kinds of things that can happen where you can recover  
4 and continue going on. Those are essentially reconcilable  
5 kinds of errors. But then there are fatal errors that mean  
6 that batch can't be run and reported because it didn't meet  
7 all the essential criteria, all the quality control criteria  
8 that you put in place to ensure the reliability of the run.  
9 The failure to effectively resolve target compound from an  
10 internal standard would be considered a fatal error that the  
11 laboratory should investigate and -- and resolve. You know,  
12 it's -- it's really very interesting. They -- they run for  
13 three and a half minutes, yet all the compounds come out  
14 within one minute of that three and half minute cycle. So  
15 they're trying to get six things separated from each other,  
16 yet all coming out within one minute.

17 Q Well, they don't have -- they don't have --

18 A No, they're not -- they're not using their whole --  
19 they're not using their whole available space here. They got  
20 three and a half minutes. But the way their -- their  
21 operating conditions are, they're getting all six of their  
22 compounds all coming out within one minute. That makes it a  
23 lot harder to effectively resolve them.

24 THE COURT: Okay. We'll take a very short quick  
25 break.

1 (TO OTHER MATTERS)

2 THE COURT: I apologize to you, Mr. St. Louis and  
3 Ms. Natividad for the.....

4 MR. ST. LOUIS: Yeah, we understand life intrudes.

5 THE COURT: And actually --

6 MR. ST. LOUIS: That's the point of this.

7 (TO OTHER MATTERS)

8 THE COURT: Do you want to go again for another  
9 three minutes before I break you up again, Mr. St. Louis, or  
10 do you just want hold till we find out?

11 MR. ST. LOUIS: At your pleasure.

12 THE COURT: Why don't you go ahead?

13 MR. ST. LOUIS: Okay.

14 THE COURT: I'm retaining so far.

15 DIRECT EXAMINATION CONTINUED

16 BY MR. ST. LOUIS:

17 Q Ms. Arvizu, you -- you were making statements as if  
18 the -- the laboratory could control where these come out in  
19 the three and a half minutes. I mean, they have no control  
20 over that; do they?

21 A Well, in a sense, yes, analysts do. And it's by  
22 varying the operating conditions of -- of the instrument. And  
23 that's -- that's part of the method development that goes on  
24 before you start --

25 THE COURT: I'm going to stop you again a second.

1 (TO OTHER MATTERS)

2 THE COURT: Go ahead. We're back on the record  
3 starting the case with the Defendant and counsel present.

4 DIRECT EXAMINATION CONTINUED

5 BY MR. ST. LOUIS:

6 Q Ms. Arvizu, I had asked you if it was possible for  
7 the analyst to change where the peaks come out when -- on the  
8 column when you are -- well, not on the column, but on -- on  
9 the chromatograph, I guess, when you are testing the mixed  
10 standard.

11 A Not when you're testing it as a sample. Then you're  
12 just running it under the -- under the procedure. And it's  
13 going to come out where it's going to come out for those  
14 conditions. But by varying conditions, by varying the  
15 temperature, by varying the operating conditions, flow rate,  
16 things in -- in the instrument, the actual operating  
17 parameters, yeah, you can change how things come out. So I'm  
18 not sure I under -- understand your question. You don't --

19 Q Well, I mean I -- I guess I'm saying -- and you're  
20 saying like maybe the answer to this is no, but I was assuming  
21 that when you -- you put the gas into the -- the column, I  
22 mean, it's always going to come up in this one spot and you  
23 don't really have a choice about that. So if things overlap,  
24 they overlap and you're kind of stuck with it, but it sounds  
25 like you're saying that's not the way it is.

1           A     Oh, no. That's what scientists do to figure out why  
2 that's happening and do the investigation to figure out --  
3 it's a balancing act sometimes and a tradeoff depending on the  
4 columns that you use and the conditions and the instruments.  
5 And I mean, I see these columns used a lot. I've not seen  
6 this as a problem. Part of it's their choice of internal  
7 standard. Acido nitrile is a relatively uncommon internal  
8 standard. So if -- there are -- there are things that could  
9 be done to mitigate or -- or analytically to approach this  
10 differently, but once they set their method, they have to  
11 follow it.

12           Q     Okay. And -- and you're saying that five out of six  
13 is -- is not good enough?

14           A     No.

15           Q     Okay. Were there any other issues with the specific  
16 blood run in -- in Mr. Marrama's case?

17           A     Yes.

18           Q     Tell us about that if you would.

19           A     If you could move to the next spectrum.

20           Q     Are we going to talk about the blanks next?

21           A     Yes. Please.

22           Q     Okay.

23           A     After --

24           Q     I -- I think we're back on 5 of 20.

25           A     Okay.

1 THE COURT: Could you hear that Ms. Natividad?  
2 MS. NATIVIDAD: No, Your Honor. I'm sorry.  
3 THE COURT: They're back to page 5 --  
4 MS. NATIVIDAD: Oh, okay.  
5 THE COURT: -- on this document; right?  
6 MR. ST. LOUIS: Yes, Your Honor.  
7 THE COURT: Well, your numbering on here is --  
8 MR. ST. LOUIS: I didn't do it.  
9 THE COURT: All right. It looks like I did it,  
10 which is the problem.  
11 MR. ST. LOUIS: It looks like I did it.  
12 THE COURT: All right.  
13 MR. ST. LOUIS: There's a four that's crossed out  
14 and then five has been. Presumably Ms. Connelly (phonetic)  
15 has initialed it.  
16 THE COURT: Oh, yes.  
17 A Good practice. Good quality control practice.  
18 Q Initialing and --  
19 A Yes.  
20 Q So -- okay.  
21 A So that you know it wasn't just somebody going in  
22 and editing it.  
23 Q Okay. All right. We are looking at this -- I guess  
24 we have two chromatograms on this page.  
25 A From two different samples, yes.

1 Q Okay. But presumably in the same column.

2 A Well, that would be hard to tell; wouldn't it? This  
3 is a really interesting data presentation. I've not -- I've  
4 not seen this before, because in looking through these data, I  
5 can't tell what column was used when they elect to present the  
6 data with two -- two samples on a page. Now when there's one  
7 sample on a page as on the very next page, page 6, then it  
8 tells me which channel I was -- that's an indication channel A  
9 or B, channel -- in this case, front, middle -- which column I  
10 was using. But for page 5, that -- this is, I believe that  
11 the data exist in the instrument. It's an artifact of their  
12 program for actually extracting and reporting the data, but  
13 who knows which column this is from. I can't tell.

14 Q Okay. Anyway, we have I guess one of the columns on  
15 page 5 where we're looking at the blank; correct?

16 A Yes.

17 Q And we can see the sample ID. It's says five blank.

18 A Yes. Fifth sample injected after the four  
19 calibration standards.

20 Q And it's actually labeled as a blank?

21 A Yes.

22 Q Okay. And our -- our blank is the acid -- I'm not  
23 going to get it. Ac -- say it for me.

24 A Acido nitrile.

25 Q Acido nitrile. I always want to put an R in there

1 or something. Acido nitrile. That is what we're testing in  
2 the blank; correct?

3 A You're not testing for acido nitrile. Acido nitrile  
4 is simply the -- the solution that was used to dilute the  
5 blank sample which was actually purportedly an ethanol-free  
6 water sample. That's actually the sample that's being tested.  
7 Acido nitrile was added to it as the -- as the internal  
8 standard for purposes of the analysis, but what I'm testing is  
9 -- is supposed to be an alcohol-free water sample.

10 Q Okay. We have the acido nitrile peak and then prior  
11 to it, we have a second smaller peak.

12 A Yes.

13 Q What is it?

14 A Well, it appears to be alcohol, because it's coming  
15 out of the alcohol retention time on both -- both columns,  
16 this one and the next one that we'll see in which the blank  
17 was run.

18 Q All right. Let's take a look at the next column.  
19 We're looking at column two. Now that's on page 6 of 20?

20 A Yes.

21 Q Okay. And that's -- it's the one that's the --

22 A It's the very next page.

23 Q -- one -- one chromatogram per page.

24 A Yeah. Here, I can tell that it's middle column, the  
25 single one.



1 Q Okay. And again the sample ID is five blank.

2 A Yes.

3 Q And it's the acido nitrile?

4 A Yes.

5 Q Did I get it right?

6 A Got it right.

7 Q All right. Now in addition to the acido nitrile  
8 peak, we have what appears to be two peaks prior to it.

9 A Yeah, the first one that's just inside one minute,  
10 if you raise -- if you'll look closely enough at the baselines  
11 of virtually any of the injected samples, you'll see that as  
12 just an air peak. It's not indicative of -- of a contaminate  
13 or anything else in the sample. It is simply an artifice of  
14 the analytical process.

15 Q What -- what about this second peak?

16 A Yeah, it looks like ethanol.

17 Q How do you figure that out?

18 A Because it's present at about the proper retention  
19 time for ethanol on both columns.

20 Q Okay. If -- if we -- okay. Is it a problem if we  
21 have ethanol in a blank?

22 A Yes.

23 Q Why?

24 A That is an indication that at some point in the  
25 analytical process, the laboratory's practices are such that

1 it has enabled alcohol from an external source to get into an  
2 unknown sample. And -- and in this case, it's not an unknown  
3 sample, it's a blank. But what it's telling you is that's  
4 objective evidence of the fact that this laboratory, for  
5 whatever reason -- and not having been there I can't tell you  
6 what that might be -- but small amounts of ethanol are finding  
7 their way into a blank sample and that shouldn't happen. Now  
8 it's particularly important in -- in my review of these data,  
9 because of the lab's practices for handling the blank. It  
10 doesn't appear that the blank is processed contemporaneously  
11 with the analytical sample. If the blank was pro- -- if they  
12 processed half of the batch of analytical samples and then the  
13 blank and then the other half of the analytical samples, that  
14 kind of processing would afford the opportunity for the sample  
15 to ex- -- for the blank to experience the same conditions and  
16 environment that the analytical -- that the unknown samples  
17 experience. The way they do it is they prep the quality  
18 control stuff up front, and that includes the blank. And then  
19 they start prepping the unknown samples. That's  
20 contraindicated by good lab practice, because the purpose of  
21 the blank is to serve as a protector for the analytical  
22 samples. And so that if the -- if the analytical samples have  
23 the potential to be exposed to unacceptable conditions, that's  
24 why you want the blank there to be able to measure it. So  
25 they actually ran this -- prepared and ran this blank way

1 before the analytical samples.

2 Q Tell me if this is just ridiculous, but it appears  
3 to me that if you take pages 6 and 7 and you hold them  
4 together and you sort of line them up that you can see that  
5 that second peak comes out where the ethanol peak is if you  
6 hold it up to the light. Does that work or is that just dumb?

7 A Your homemade light box here?

8 Q Yeah.

9 A If the scales are the same, you should be able to  
10 tell the relative position that way, yes. Of course, the  
11 instrument is not going to pick it up as alcohol. You know,  
12 the instrument only identified acido nitrile. It's too small  
13 and you can set the -- the -- that's an analyst control  
14 variable. You can set that and decide how low you're going to  
15 go, what you're going to try to pick up. They're not going to  
16 pick it up, so it's not going to report it in the little -- in  
17 the little table of what's present in the sample. So yeah,  
18 you have to use something like the -- the very low tech light  
19 box to be able to identify its location.

20 Q Okay. The purpose of the blank is to make sure that  
21 we're not contaminating samples that are supposed to be  
22 alcohol-free with alcohol.

23 A One of the most important -- and in the case --  
24 certainly in the case of forensics -- an absolute essential  
25 data quality criteria is what's called representativeness.

1 And what that means is, quite frankly, I can have a great deal  
2 of confidence in these kinds of analytical techniques, in the  
3 accuracy of these kinds of analytical techniques. The actual  
4 instrumental measurement, it -- it's easy to have a lot of  
5 confidence in that. What's much more difficult is to be able  
6 to assure yourself by control of all of this process that that  
7 result is representative of the concentration in the subject's  
8 blood at the time the sample was drawn. That's what's really  
9 germane to a forensic case. The extent to which you can have  
10 a good deal of confidence, that everything's in control and  
11 that analytical result represents that point and space in  
12 time. And that's what's a problem when you don't control  
13 blanks effectively enough. You don't control contamination  
14 enough. Is there a -- was there the opportunity for the  
15 introduction of alcohol from other sources?

16 Q I guess what I'm getting at is once you have -- once  
17 there's ethanol popping up in your blank, isn't it a do over  
18 at that point?

19 A Not under their procedure. They have no  
20 requirements that -- no criteria that have to be met. There  
21 are no -- this is like -- let's see, what's an analogy. It's  
22 like having -- saying that you have a curfew, but no time. So  
23 they say you have to run a blank, but there's no pass/fail  
24 criteria. There's nothing -- you have -- and not even  
25 pass/fail. There's nothing which you have to go back and

1 investigate and look further and see if there's, you know, how  
2 pervasive is this? Is it another -- how do they know? The  
3 only other samples that they're ever running in this batch are  
4 known samples that have ethanol in them or subject samples.  
5 So if small amounts of ethanol are creeping into everything,  
6 they just won't know it. Blank is their only chance to detect  
7 that.

8 Q Okay.

9 A Now this a very small amount admittedly in this  
10 blank. This is a very small amount of ethanol. But based on  
11 my experience -- and I've been involved in troubleshooting a  
12 lot of volatile organic contamination incidents in the  
13 laboratory. And it's -- it's -- it tends not to be a real  
14 homogenous. It tends not to be a situation where every sample  
15 gets the same amount of volatile organic contaminant in it.  
16 Depending on the source and the origin, some samples show a  
17 lot, some samples don't show any, you know. So any time you  
18 see it, that's when the little scientific inquiry button  
19 should be triggered and you should be looking into it. But  
20 their procedure, when they have their -- checking the quality  
21 control of your run on page 6 of 21 in their --

22 Q That's exhibit B again; right?

23 A Exhibit B.

24 Q Okay.

25 A 6 of 21. It says post-run analysis. It has -- and

1 he checked the linearity of calibration and the -- the  
2 controls -- check the controls for accuracy. Those are all  
3 positive controls. Checking the duplicate precision. And  
4 there's nothing in there about a blank. It -- it's a little  
5 absurd to think it, but the blank could show huge amounts of  
6 contamination and they could still be completely compliant  
7 with their own procedure by reporting that result out. I  
8 don't -- I don't suggest that that would be the case, because,  
9 you know, we appear to have competent chemists here. And that  
10 doesn't -- that would be inconsistent. What I'm more  
11 concerned about is that they're not -- they're not a little  
12 concerned about gosh, we've got something showing up at low  
13 levels, why aren't we looking into this?

14 Q Okay. From a scientific point of view, if we have  
15 ethyl alcohol, the -- the substance that we're testing for in  
16 the blank, should we go ahead and do the run anyway and see  
17 what the results are? Can we use the results?

18 A Different labs have different criteria for that  
19 decision. That is a decision that's generally documented in  
20 their procedure so that the users are aware of that. That's  
21 essentially where you set the bar. You set the bar low if you  
22 want to get over it pretty easy; you set the bar high if the  
23 decision that you're going to make based on the data is  
24 important, that's when you set the bar high. If it's a pretty  
25 low value decision, you can probably set the bar pretty low.

1 Q So it depends on how important the result is that  
2 we're looking for?

3 A Exactly. How important the result is to the  
4 decision making process. That's a fundamental precept of  
5 analytical quality assurance that if you're using the result  
6 to make an important decision, you should set the bar higher.  
7 The quality control requirements should be higher. The  
8 expectations should be higher. If the decisions are not  
9 severe and the, you know, if it's not something of important  
10 fiscal or environmental or whatever consequence, if it's not a  
11 big dollar decision, you can get by with quick and dirty kinds  
12 of analysis when you lower the bar a lot. That's a decision  
13 that has to be made by each laboratory depending on the  
14 intended use of their data.

15 Q Okay. What about specifically the blood samples  
16 that are supposed to be Mr. Marrama's? 29 and -- and 30. I  
17 think that's Exhibit C.

18 A Yes.

19 Q Do you see any issues with those?

20 THE COURT: Well, let -- is something on.....

21 A This is the small one.

22 THE COURT: The small one.

23 A C -- D. Exhibit D.

24 THE COURT: Okay.

25 A Five pages.

1 Q What is the exhibit? What is --

2 A D. Exhibit D.

3 MR. ST. LOUIS: D as in dog.

4 THE COURT: Do you have it, Ms. Natividad?

5 MS. NATIVIDAD: Yes, Your Honor.

6 THE COURT: And what's the page?

7 A Well, we can just start with page 1. I've -- I  
8 reviewed an awful lot of data in my day and I've never seen a  
9 sample ID for an unknown sample identified with a vial number.

10 Q Well, what's the problem?

11 A It's not the unique sample ID number.

12 Q Well -- well what --

13 A Okay.

14 Q -- is an example of a -- a -- a unique sample ID  
15 number?

16 A In this case, 0708-26-0123. And --

17 Q Any particular reason you chose those numbers?

18 A Here's the deal. If you're analyzing unknown  
19 samples in a -- in an analytical testing laboratory, your most  
20 fundamental requirement is to assure unambiguous correlation  
21 of a result with the sample that came in the door. Okay. So  
22 that you never have to make assumptions. You never have to --  
23 oh, it must have been this sample or it must have been that  
24 sample. If you're -- if you're treating me for my blood sugar  
25 level -- sugar level, I want to make sure it's my blood sample



1 that you're responding to the results of and not the lady  
2 that's sitting in the bed next to me in the hospital. You  
3 know, it's -- you've got to have absolute -- a clean unbroken  
4 chain of -- of correlating those. Now the reason they put an  
5 -- a field on analytical instruments that say sample ID is so  
6 you can uniquely identify your sample. Now I've seen dozen --  
7 hundreds of different ways of identifying samples. And half  
8 the battle labs is often figuring out how they do that, what  
9 their convention is for ensuring that every single sample gets  
10 a unique identifier. Now I understand from other documents  
11 that the number I gave you previously is the unique number for  
12 Mr. Marrama's sample.

13 Q What we call the case number of report.

14 A Yeah. But you can't -- you can't tell that from  
15 this, because as this is prepared -- and you can see at the  
16 top of this page there's this little header -- header here --

17 Q Right.

18 A -- that has his -- the sample ID and his name and  
19 parenthetically it says A. In -- in my experience, I would  
20 interpret that as being obtained during the report writing  
21 software part of the process, the post analytical processing,  
22 somewhere where you go into the system and you say pull this  
23 data from here and that data from there and create me this  
24 kind of a report. This is not raw data. The raw data is the  
25 data you see down at the bottom where it says data file is

1 starred/data/CLC August '07 and then a date and then comma 29.  
2 Again, there's that vial 29. And under sample ID, 29 is the  
3 sole identifier. That is the raw data that resides in the  
4 instrument associated with the chromatogram. Is it possible  
5 for me as an independent assessor to unambiguously correlate  
6 that with this individual's unique sample ID? It is not,  
7 because that's -- that's something that's put together after  
8 the fact. There should be some kind of a sample lookup table,  
9 if you will. A sample file, a -- a -- something that will --  
10 a lookup chart, a secret master list that tells you for these  
11 vials, these are the corresponding unique identifiers of the  
12 samples. Because, frankly, sample 29 just tells me nothing.

13 Q Okay. And -- and you're saying that where it says  
14 on there the '07, '08, et cetera, Marrama Michael, that's not  
15 something that's on the -- that's not the name of the sample,  
16 that's something someone added after the fact?

17 A Well, just based on my experience with these -- with  
18 these systems, and I don't know which particular version of  
19 which data system they're using here, but Varian is not a real  
20 commonly -- not as common as some of the other instruments.  
21 It appears to be that the -- the raw data reside in one  
22 location and the analyst probably goes into the system and  
23 says pull this data from this file and generate this type of a  
24 report. So there -- there should be some kind of lookup  
25 table, but I'll just tell you that as an independent person, I

1 can't draw that conclusion.

2 Q Now, in this case, we have a result for ethanol and  
3 acido nitrile.

4 A Yes.

5 Q And we have our two peaks. But in addition to that,  
6 we have a number of small peaks along the baseline.

7 A Yes.

8 Q What are those substances?

9 A I don't know. They are volatile organic compounds  
10 detected by the instrument during the analysis of the sample  
11 in vial 29.

12 Q And we know that this isn't just noise from the  
13 machine somehow?

14 A Nothing appeared to be, because of comparing it to  
15 other samples with approximately this kind of scale. It  
16 doesn't appear to be that. It's also not continuous  
17 throughout the run. It's only in that general area. It  
18 doesn't continue out to the long retention times.

19 Q Okay. So there's something in this blood sample and  
20 we don't know what it is?

21 A Yes.

22 Q Do we see the same thing in column two on the next  
23 page?

24 A Yes.

25 Q Do we see it in vial 30 in the first column on page

1 3?

2 A Yeah. Purportedly, this is a duplicate sample of  
3 sample 29.

4 Q Let me go back. Page 2; correct? Oh, I'm sorry,  
5 page 3.

6 A Page 3.

7 Q That's right. I misspoke. So pages 1 and 3 --

8 A That's --

9 Q -- are supposed to be the same sample. They're  
10 supposed to be the -- the first and second columns of --

11 A Correct.

12 Q -- vial 29.

13 A Yeah.

14 Q I misspoke. I'm sorry. And page 2 is the first  
15 column for vial 30.

16 A Yes.

17 Q Be the same thing in there?

18 A Yes.

19 Q And then page 4 is the second column for vial 30?

20 A Yes.

21 Q How about in there? Do we see the same things in  
22 there?

23 A Yes.

24 Q And do we know what these are?

25 A No.

1 Q All right. You said that in addition to Mr.  
2 Marrama's sample, there was a -- a whole blood control that  
3 was run; correct?

4 A That was the seventh injection on --

5 Q In Exhibit C?

6 A -- that's in Exhibit C.

7 Q Do we have the same type of extra peaks appearing  
8 that?

9 THE COURT: Which one -- what are you looking at?

10 A This is an eye exam; right? This is -- these little  
11 bitty chromatograms are rough.

12 THE COURT: On page --

13 A This is page 8 of 20.

14 THE COURT: All right. 21? On page 21?

15 MR. ST. LOUIS: 8 of 21.

16 A 21?

17 Q Or is it 20?

18 A This one's 20. The procedure is 21.

19 THE COURT: Oh yeah. Page 8. And what are we looking at  
20 here?

21 A Yeah, right here. This is a whole blood sample.

22 Q The top column; correct? The top chromatogram?

23 A Yes.

24 Q Okay. Do we see the same type of extra peaks in  
25 there?

1           A     The scales are different and I really rather have  
2 the scales to be the same to draw that kind of conclusion.  
3 The no -- the baseline looks a little nosier, but this is  
4 really an eye exam.

5           Q     How about the larger samples in the second column?

6           A     Oh.

7           Q     In whole blood control.

8           A     Oh, yeah. There I can actually see it.

9           Q     But what page is it, please?

10          A     This is page 9 of 20. Yeah, that's not quite as  
11 exciting as your actual subject sample; is it?

12          Q     There are more peaks in the subject sample --

13          A     Yeah.

14          Q     -- than there are in the whole blood.

15          A     Yeah.

16          Q     Do we know what they are?

17          A     No.

18          Q     Ma'am, based on all of the issues that -- that we  
19 have discussed today, can we rely on the results obtained in  
20 this case?

21          A     I would say that there are two -- two qualifying  
22 issues. One is the validity of the method used by the  
23 laboratory and its demonstrated inability to -- to resolve the  
24 volatiles of interest. And the second is the comparatively  
25 weak quality control implemented and applied through --

1 throughout both by virtue of the QC samples and blank and such  
2 incidences. So the first compromise is the validity of the  
3 method. Validity means is it appropriate for its intended  
4 use, the reference method that they reference emphasizes how  
5 important it is to successfully resolve this compounds. And  
6 the second is the reliability of can I -- once I get past the  
7 validity of the method, how reliable is the method. The big  
8 issue there is with regard to the representativeness.

9 Q So based on all that, is it your opinion that we can  
10 rely on the results obtained in this case?

11 A No.

12 Q Thank you. That's all I have.

13 THE COURT: Ms. Natividad, do you want to take a  
14 break for a moment?

15 MS. NATIVIDAD: No, Your Honor.

16 THE COURT: All right. Go ahead.

17 MS. NATIVIDAD: We want to go home on time.

18 Brutally honest.

19 JANINE ARVIZU

20 testified as follows on:

21 CROSS EXAMINATION

22 BY MS. NATIVIDAD:

23 Q Good afternoon, Ms. Arvizu.

24 A Good afternoon.

25 Q You can all the way from New Mexico?

1 A Yes, ma'am.

2 Q Wow. How was the trip?

3 A There's a direct flight on Southwest now.

4 Q That's cool.

5 A So it's wonderful.

6 Q That's cool.

7 A But the flight home is a little rougher.

8 Q Yeah. Ms. Arvizu, what is ASCLD?

9 A The American Society of Crime Laboratory Directors.

10 It's kind of a rough acronym, but it's essentially a -- a  
11 trade organization for forensic laboratories.

12 Q Okay. And isn't that -- ASCLD accredits the  
13 criminal laboratories all over the country?

14 A ASCLD has an organization called the Laboratory  
15 Accreditation Bureau. It's -- it's not ASCLD itself, it's  
16 sort of a -- a separate entity within it that does serve as an  
17 accrediting agency for forensic laboratories.

18 Q Okay. And ASCLD standards require that each  
19 laboratory have in place a comprehensive quality management  
20 system to ensure the accuracy of test results in all  
21 disciplines; is that correct? Including comparative analysis  
22 areas.

23 A That -- that does sound like their -- their  
24 objective.

25 Q Okay. And -- and policies and procedures as well as



1 objective proof of compliance must be -- must be maintained in  
2 a lot of areas. These laboratories have to comply with these  
3 rigorous standards such as examiner qualification, training  
4 and competency to be accredited by ASCLD; is that correct?

5 A I have a lot of experience with accreditation  
6 programs. And --

7 Q Okay.

8 A -- ASCLD is probably one of the weakest --  
9 technically weakest accreditation programs that I've got  
10 experience with. The accreditation period is substantially  
11 longer than is typical. The accredited -- the time period  
12 between assessments is five years which in the world of  
13 analytical chemistry and the world of laboratory operations is  
14 a very long time. And under their Legacy program under which  
15 I understand that this laboratory was accredited, requirements  
16 are not requirements, they are -- they can be essential or  
17 they can important or they can be desirable. And -- and so  
18 it's, you know, I'd like to have you home by 11:00 o'clock,  
19 but there's no consequences if you're not. So I -- it's --  
20 it's just my judgement that compared to -- compared to other  
21 accreditation programs, the ASCLD accreditation program is  
22 relatively weak technically. It's also my experience that in  
23 this country in recent years, in instances where there have  
24 been ASCLD -- there have been forensic laboratories that have  
25 had to actually be shut down because of either just poor

1 performance or actually fraudulent operations. Those labs  
2 held ASCLD accreditation at the time they were shut down. So  
3 ASCLD accreditation -- in fact, any accreditation should never  
4 be misconstrued as a guaranteed gold seal of approval that you  
5 can trust everything and you don't have to do due diligence.  
6 Accreditation simply is an independent body, in this case,  
7 crime laborator- -- other crime laboratory directors coming in  
8 and saying we -- we are attesting to the fact that you met our  
9 requirements. It's then incumbent on the data users to assess  
10 in any particular case and to do their own due diligence.  
11 It's -- it's a first step and it's a good first step for  
12 forensic labs, but it's not sufficient to ensure data users  
13 acceptable quality.

14 Q How about their ISO international standard?

15 A That's a step up and ASCLD is gradually making a  
16 transition to -- where they're moving their people from --  
17 from the Legacy program to compliance with ISO. ISO is the  
18 standard that -- that I have been trained under and -- and  
19 operated under for sometime. It's a more technically rigorous  
20 standard, but again, it depends on what you put into it.

21 Q And you would agree with me that control and  
22 maintenance of standard procedure manuals and scientific  
23 protocols is something that ASCLD checks for; correct?

24 A That is my understanding --

25 Q I mean, policies and procedures.

1           A     -- that that is something that they have on their  
2 list that they can check for. I've read -- I've read quite a  
3 number for ASCLD inspection reports and it's not always  
4 possible to tell exactly what the scope of their inspection  
5 was, but that is on the list.

6           Q     Are you aware that TPD Crime Lab is -- has been  
7 accredited by AS -- by ASCLD and it's in the process of  
8 getting its certification with international ISO standard  
9 Legacy?

10          A     Good for them.

11          Q     You've not been a member of -- of ASCLD or have you  
12 -- are you a member of ASCLD?

13          A     No, ma'am.

14          Q     Or have ever been a member of ASCLD?

15          A     No, ma'am.

16          Q     Have you participated in any of the ASCLD lab  
17 inspections and assessments?

18          A     No.

19          Q     Have you ever worked in any forensic crime lab like  
20 the FBI crime lab for instance or the drug enforcement agency  
21 or a gun/firearms agency, any of those agencies?

22          A     No.

23          Q     You've worked with the Department of Energy I -- I  
24 take it from -- from your curriculum vitae?

25          A     Yes.

1 Q Okay. And wouldn't you agree with me, Ms. Arvizu,  
2 that the Department of Energy deals more with environmental  
3 science versus forensic science?

4 A They also deal with forensics in some cases, as I'm  
5 sure you're aware, they've had their own -- their own issues  
6 with respect to some -- some analytical work that ended up in  
7 court. From a -- from a quality assurance perspective,  
8 forensics versus any other discipline, forensic science in --  
9 in my opinion is really not truly another science. The  
10 sciences that are practiced within the forensic field are  
11 sciences like chemistry and biology and physics. And those  
12 are scientific disciplines that have applications in the  
13 forensic -- or in the legal community, but there's not  
14 anything different about quality assurance in a forensic arena  
15 than in any other. The principles are still the same.

16 Q Have you ever audited or evaluated the TPD crime  
17 lab, you know, as -- as -- you know, the whole lab --

18 A No, ma'am.

19 Q -- instead of this particular case?

20 A No, ma'am. Just -- just limited to this particular  
21 case.

22 Q Okay. So you haven't even seen the TPD crime lab?

23 A I drove by it today.

24 Q Oh, okay.

25 A I was looking for the stacks on the roof and I

1 couldn't see them.

2 Q So you do not know what the setup is, you do not  
3 know --

4 A No, I do not.

5 Q You have not set foot in it. Okay.

6 A I'd be happy to though. My flight doesn't leave  
7 until 6:15.

8 Q Have you ever worked as a criminalist?

9 A Have I -- no.

10 Q So you have not, you know, tested blood on a regular  
11 basis in your past life maybe?

12 A No, ma'am.

13 Q No. Okay. Have you ever operated a gas  
14 chromatograph -- chromatograph on a regular basis?

15 A For -- for a period of time, but it was one of many  
16 instruments that I was operating.

17 Q Okay. And are you aware that the gas chrom- --  
18 chromatograph is --

19 A GC.

20 Q -- being used -- yeah, GC is being used all over the  
21 state and -- and all over the country?

22 A I would certainly expect that to be the case. It's  
23 one of the most robust, widely used instruments. It -- it may  
24 be one -- it may be the most -- it's very, very widely used.  
25 Very robust, well understood technique.

1 Q And so you don't have problems with the GC?

2 A Absolutely not.

3 Q Okay. Okay.

4 A No, this is a very --

5 Q Okay.

6 A -- powerful and effective technique.

7 Q Okay. Okay. You -- you did though say and -- and I  
8 already got that -- and I'll quote you here. You said that  
9 the instrument is stupid and that the method -- and I wrote it  
10 down here somewhere. The GC method is not working in the  
11 method -- in the way it is intended. How -- how would you --  
12 how would you reconcile that with -- with GC being the gold  
13 standard, if you will, and you questioning -- you're  
14 questioning methodology?

15 A And I -- I didn't say it was a gold standard. It's  
16 widely used and well understood.

17 Q Okay.

18 A The -- but I will stand by my lay explanation that  
19 the GC is stupid. The GC simply is not a structural  
20 elucidation technique. It is not a technique that you put a  
21 compound in and it comes back and tells you what the compound  
22 is. There are techniques that can do that, but GC is not one  
23 of them. So it's stupid in the sense -- and that may have  
24 been a poor choice of words on my part -- part trying to  
25 explain it to a lay audience, but it's stupid in that it only

1 knows what you tell it. So if you tell it that this is  
2 ethanol and I'm really sure this is ethanol because this is a  
3 traceable standard and if I know all of the conditions that I  
4 run ethanol in, it should come out -- and it comes out right  
5 there, then that's an indication to me that it's ethanol. So  
6 I can enter that in and say I think this one's ethanol. And  
7 then it'll come up and label that peak as ethanol. But it --  
8 the only way it knows to do that is if I as the analyst tell  
9 it and I have collected some empirical data to support that  
10 conclusion. So it doesn't have any innate ability to take any  
11 sample and say it's isopropanol. You can't do it.

12 Q Okay. Wouldn't you agree with me, Ms. Arvizu, that  
13 one of the goals, if you will, of ASCLD is to make sure that  
14 there's proficiency testing on the part of criminalists that  
15 work in crime lab and that's --

16 A Yes.

17 Q Okay.

18 A Yes.

19 Q And wouldn't you say that if the criminalists are  
20 not doing, you know, what they're supposed to be doing in  
21 testing a -- a sample, that they would not be passing their  
22 proficiency tests?

23 A Okay. So here's the thing about proficiency  
24 samples. They're intermittent probably in this lab. And I  
25 don't have -- I haven't seen the data to support it, because I

1 haven't seen that part of their quality manual, but at least  
2 annually, they're running proficiency samples. Sometimes  
3 there are state requirements that require them more  
4 frequently. The proficiency samples run under this lab's  
5 accreditation requirements are what's called open proficiency  
6 samples. They're not blind to the laboratory. When you  
7 receive those samples, you know you're being tested. You know  
8 they're proficiency samples. It is -- it's not just sort of  
9 common sense, but there's actually been some very effective  
10 empirical studies to demonstrate that laboratories who know  
11 they are being tested consistently and predictably do better  
12 on proficiency samples than when those samples are received  
13 blind to the lab. That is, they just come in as another blood  
14 kit from the field and they don't know any difference. It --  
15 so proficiency samples met -- represent the best possible  
16 performance for the lab, because they know they're being  
17 tested. And it's one of the things you look at when you do  
18 audits, is how much special attention do proficiency samples  
19 get in relation to regular samples. But it should never --  
20 never again be misinterpreted as a guarantee. It's good. If  
21 you do well in proficiency samples, that's good. And if there  
22 are proficiency samples, clearly that's an indication of an  
23 issue. But it's not a guarantee that there are no problems.

24 Q How about validation of test procedures? Isn't that  
25 part of what ASCLD looks for as well?



1           A     I've actually read some correspondence regarding  
2 that particular issue in other cases and ASCLD doesn't always  
3 go to the extent of actually verifying the completeness and  
4 efficacy of those validation files during the course of their  
5 inspections. Validation files are quite voluminous. **Let's**  
6 **see, the last one that I looked at for blood alcohol was about**  
7 **eight binders, each of them was about this thick. So it's a**  
8 **lot of data. So they may pick a method to go look at it, but**  
9 **it's not reasonable to expect that ASCLD has ensured that each**  
10 **of their accredited laboratories has valid methods in every**  
11 **arena. That's simply beyond the scope of their assessment.**

12           Q     Which agency shuts down a -- a crime lab if it does  
13 not comply with all of its standards? Is there any agency  
14 that -- that shuts it down?

15           A     I'm not sure I understand the question. Would --

16           Q     If -- if there's this crime -- criminal laboratory  
17 for instance and it's just so shabby, you know, there's  
18 contamination --

19           A     Okay.

20           Q     -- all over, is there an agency that shuts it down?

21           A     No.

22           Q     Because --

23           A     There is no -- there's no super agency. And  
24 actually that's one of the issues addressed in the National  
25 Academy of Sciences report. You're good. You should read

1 that, because you're going to see some things you're --

2 Q Okay.

3 A -- going to recognize. But in the cases where it's  
4 happened, it's been essentially the chain of command for the  
5 laboratory. So the most recent one I think was in Detroit.  
6 There was some in West Virginia, there's some in Texas. And  
7 it -- I -- and just from knowing what little I know about it,  
8 I think it's just the chain of command of whoever that  
9 laboratory reports to. ASCLD has no legal authority to go in  
10 and shut anybody down, for example. That's not at all within  
11 the scope and the responsibility of an accrediting agency.

12 Q You indicated, Ms. Arvizu, on direct examination  
13 that there's not sufficient detail in -- in the standard oper-  
14 -- operating procedure of TPD crime lab; is that correct?

15 A In this particular one, yes.

16 Q Okay. And you talked about the reagents for  
17 instance and the supplies.

18 A Uh-huh. (Affirmative)

19 Q And the -- the report shows though that it -- it  
20 came from a reputable company, I think, it's -- is the word.  
21 So -- so when it says reputable vendor, that does not -- that  
22 -- that's not detailed enough for you?

23 A No. Quality -- quality assurance programs,  
24 basically one of the most important elements of a quality  
25 assurance program is that you control the quality of your

1 incoming materials for critical parameters. These are  
2 obviously critical components of this testing. And so just  
3 saying a reputable -- a reput- -- I don't know what reputable  
4 means, you know? What, they don't get -- how many Google hits  
5 or something? I -- you know. What it needs to address is  
6 things like they have to be this degree of purity. They have  
7 to provide traceability documentation, certificates of  
8 analysis so that we can demonstrate the traceability of our  
9 materials. Those kinds of things.

10 Q Now let's talk about the samples being in the same  
11 refrigerator. Are you saying that there's a problem with  
12 that?

13 A Yes. That's not a good practice.

14 Q Okay. Well, what about the fact that they are  
15 sealed, the vials are sealed and -- and, you know, are you  
16 saying that there's a possibili- -- possibility of cross  
17 contamination despite the fact that -- that the vials are --

18 A Yes, ma'am. That's exactly -- it's simply what you  
19 do when you're looking to prevent contamination is you look at  
20 the entire process and every place my sample is going to be  
21 and you say, well, how can I protect at every step of the  
22 process. And keeping analytical samples or unknown samples  
23 separate from reference materials and standards and controls  
24 is a real foundation element. Frankly, I'm surprised they're  
25 not doing it not just on the blood alcohol lab in every

1 section of the laboratory.

2 Q So is it your testimony then that there should be a  
3 separate refrigerator for every sample, for every substance --

4 A No.

5 Q -- for every, you know --

6 A No, ma'am. That's not what I'm suggesting.

7 Q What -- what are you suggesting then?

8 A That there would be separate refrigerators, separate  
9 storage for subject samples, unknown samples if you will, to  
10 be tested and for -- (sneeze in background) -- God bless you  
11 -- for the reference materials and control samples, the  
12 standards, the calibrators, the meat (phonetic) solutions that  
13 have to be refrigerated.

14 Q Is it your testimony that -- that the fumes from --  
15 from these can --

16 A That --

17 Q -- you know, cross contaminate?

18 A That is precisely how it happens in the case of  
19 volatile organics. That -- and here's the deal. If you have  
20 them in separate refrigerators, you've eliminated the  
21 potential. It's -- so it's an element of quality control.

22 Q So this -- this -- the fumes alone could subject  
23 samples to cross contamination?

24 A Yes, ma'am.

25 Q Okay.

1           A     When I ran our volatiles lab, we had to enforce  
2 people not wearing aftershave and perfume. And when visitors  
3 came through, we didn't let them go into the volatile lab,  
4 because you have no control over their use of smelly  
5 accouterments.

6           Q     And now you have not done -- or -- or tested the --  
7 the other blood sample design for -- for defense or, you know,  
8 defe- -- well, let me just rephrase that. There are two -- if  
9 I tell you that there are two blood samples in this case. One  
10 was tested at the TPD crime lab. There was one that's  
11 specifically for the defense and they want to have it  
12 retested, have you --

13          A     I've seen a photograph.

14          Q     Have you retested that?

15          A     No, that would be incompatible with my role as an  
16 auditor as I indicated.

17          Q     And you don't have any reports that you reviewed the  
18 test and retested?

19          A     No.

20          Q     So you do not know if there's an actual discrepancy  
21 in the -- in the independent testing of the second sample to  
22 the sample done, that was done by TPD?

23          A     No, I don't.

24          Q     Okay. So in other words, your testimony is just  
25 attacking, if you will, the -- the standard procedure manuals

1 and scientific protocols of TPD, but it does not specifically  
2 relate to the blood test results in this case in the sense  
3 that it was not retested to show any discrepancies?

4 A That's correct.

5 MS. NATIVIDAD: I don't have anything further.

6 THE COURT: Redirect.

7 JANINE ARVIZU

8 testified as follows on:

9 REDIRECT EXAMINATION

10 BY MR. ST. LOUIS:

11 Q Ms. Arvizu, have you testified in court as an expert  
12 concerning a forensic science result?

13 A On dozens of occasions, yes.

14 Q State courts?

15 A Yes.

16 Q Federal courts?

17 A Yes.

18 Q Concerning laboratories, in how many states do you  
19 think?

20 A Oh, dear. That's hard. Pretty much coast to coast  
21 and internationally.

22 Q Not to mention federal laboratories?

23 A Federal, correct. Yes.

24 Q Okay.

25 A DEA, FBI and federal cases in --

1 Q Okay.

2 A -- Philadelphia and --

3 Q And you told us that you had been ordered by a judge  
4 to be allowed to go in and observe a DNA test being given.

5 A Yes.

6 Q Have you --

7 MS. NATIVIDAD: Objection, Your Honor. What's the  
8 irrelevance. We're talking blood tests, blood analysis.  
9 We're not talking DNA.

10 THE COURT: I think he's probably talking  
11 credibility of the witness.

12 MR. ST. LOUIS: Well, outside it is.....

13 THE COURT: Well, maybe. I'll find out. We'll find  
14 out the relevance is. If it's not relevant, I will  
15 not listen to it. I'll forget it.

16 MR. ST. LOUIS: Okay.

17 Q Have you -- have you done DNA tests --

18 A No.

19 Q -- in a laboratory?

20 A No, I have not.

21 Q So do you have to have done the actual -- do you  
22 have to have worked as a criminalist in a crime lab to know  
23 whether or not there are problems with the way the testing was  
24 done that affect the reliability of the results?

25 A No. The principles of quality control and quality

1 assurance are universal.

2 Q Okay. Are there two sta- -- I mean, is there more  
3 than one standard in -- in science, one for obtaining results  
4 used in a criminal case and one for other types of ca- --  
5 obtaining results in other -- to answer other questions?

6 A Sadly, from my personal perspective, the -- the bar  
7 and criminal cases seems to be significantly lower than I'm  
8 accustomed to encounter in cases involving labs that test  
9 environmental samples, food samples, pharmaceutical samples,  
10 things where the decisions are being made to make what is to  
11 those companies or to those agencies very important decisions.  
12 They set the bar pretty high. I -- I see them much less  
13 frequently in the forensic arena.

14 Q Okay. If -- when you were running the laboratory  
15 that produced the results that -- that the Department of  
16 Energy used -- was it the Department of Energy?

17 A Yes.

18 Q Okay. I -- in your opinion, would they have paid  
19 for the results that -- if they were the results that were  
20 obtained in this case?

21 A I think the direct answer I'm going to give you is  
22 -- is Navy work where I actually was making such a  
23 recommendation to the agency to the -- to the Navy. And I  
24 have recommended that they not pay for many hundreds of  
25 thousands of dollars worth of analytical work because of the



1 problems. And yeah, this lab would be doing some remedial  
2 action before the work would be received.

3 Q Okay. Ms. Natividad asked you questions about how  
4 labs get shut down if they comply with ASCLD. Do you recall  
5 that?

6 A Yeah. I'm not sure I understood it real well, but  
7 --

8 Q Okay. Isn't -- well, to your knowledge, has ASCLD  
9 ever shut down any laboratory?

10 A No, as I indicated, that is neither their role or  
11 responsibility. They have no such authority. As an  
12 accrediting agency, that would be completely inconsistent with  
13 their role and responsibility.

14 Q Okay. Even in laboratories in -- in Texas and West  
15 Virginia and Michigan where there were -- were real problems,  
16 these were labs that were accredited by ASCLD?

17 A They had active accreditation at the time they were  
18 shut down.

19 THE COURT: And what do you mean by being shut down?

20 A I mean, they stopped. They closed the doors and  
21 they locked them. They stopped them from receiving samples.  
22 They subcontracted everything out and went in to go do a  
23 massive overhaul of -- of the operations in the laboratory.

24 THE COURT: Okay. Thank you.

25 Q And the only way that happens is if -- if somebody

1 who gets arrested, like Mr. Marrama, hires someone like you to  
2 take a look at what's going on in a laboratory and brings a  
3 motion like we have today. Isn't that true?

4 A I don't know that's it's necessarily somebody like  
5 me. I think -- I wouldn't be surprised if there's cases where  
6 just a lawyer all on their own has managed -- and I know one  
7 of them -- has managed to uncover such egregious performance  
8 on the part of the lab -- terrible performance on the part of  
9 laboratory, that it actually came to light.

10 Q If in fact there is a two year old sample of Mr.  
11 Marrama's blood that is available, would that mean that fatal  
12 errors did not occur in the -- the testing that happened in  
13 this case?

14 A No.

15 MR. ST. LOUIS: Thank you. That's all I have.

16 THE COURT: Ms. Natividad, do you want to ask her  
17 anything else as she's leaving?

18 MS. NATIVIDAD: No, Your Honor.

19 THE COURT: All right. Any additional witnesses?  
20 You may step down. Thank you very much.

21 A Thank you.

22 (Witness excused)

23 MR. ST. LOUIS: No, Your Honor. Defense rests.

24 THE COURT: Ms. Natividad.

25 MS. NATIVIDAD: Yes, Your Honor. The State calls

1 Ms. Conley.

2 THE COURT: Oh. That's probably why she's  
3 here.

4 (Witness summoned)

5 THE COURT: Good afternoon. Do you swear or affirm  
6 that any testimony you give will be the whole truth and  
7 nothing but the truth?

8 MS. CONLEY: Yes, I do.

9 THE COURT: Thank you very much. You may be seated.

10 MR. ST. LOUIS: Judge, do you mind if -- if Ms.  
11 Arvizu and Mr. Marrama trade places so she can assist me?

12 THE COURT: It doesn't bother me if it's fine with  
13 you.

14 MR. ST. LOUIS: Mr. Marrama's a gentleman. He  
15 doesn't mind.

16 THE COURT: We can bring another chair up or we can  
17 grab one out of the jury box if you all want to --

18 MR. ST. LOUIS: Do you want to sit up, Mike?

19 THE DEFENDANT: It doesn't matter. I sit back here.  
20 That's fine.

21 THE COURT: All right.

22 THE DEFENDANT: Thank you.

23 MS. NATIVIDAD: May I proceed, Your Honor?

24 THE COURT: Yes, you may.

25 MS. NATIVIDAD: Thank you.

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

CLAIRE CONLEY

having first been duly sworn in, testified as follows on:

DIRECT EXAMINATION

BY MS. NATIVIDAD:

Q Ms. Conley, can you state your full name and spell your last name for the record?

A Okay. Claire Conley. C-O-N-L-E-Y.

Q And what is your title?

A I'm a senior criminalist.

Q Can you -- with what agency?

A Tucson Police Department Crime Lab.

Q Could you explain your function and duties as a senior criminalist?

A I'm assigned to the toxicology section and in that -- in that section, I test blood samples for alcohol concentration and I maintain breath testing instruments.

Q And how long have you been a criminalist with TPD?

A Since December of 2006.

Q Okay. And describe to us, Ms. Conley, your educational background and experience.

A As regards to being a criminalist, I have a bachelors degree in chemistry and a masters degree in chemistry.

Q Do you have any prior lab experience?

A Yes, I do.

1 Q Can you tell us about that?

2 A I worked in the Tucson Police Department Crime Lab  
3 as a crime lab tech- -- technician for I think it was 20  
4 months in 1994 and '95. Did not do blood testing there.  
5 Actually, I have no other experience that has to do with blood  
6 testing. I have other lab experience, but it isn't relevant  
7 to the kind of work I do now.

8 Q Okay. Have you read or studied any scientific  
9 literature specific with blood alcohol analysis?

10 A Yes. When you hire to the Tucson Police Department  
11 Crime Lab, as probably all crime labs, you go through a  
12 specific training program for the area that you're working in.  
13 So for the toxicology section, I attended a course in Indiana  
14 University on blood and breath alcohol analysis and testimony.  
15 And I underwent a fairly thorough literature review and  
16 learned to do the testing of the blood samples as was required  
17 -- as is required by the Department of Public Safety.

18 Q Could you explain the general procedure you use in  
19 analyzing blood?

20 A We used headspace-gas chromatography.  
21 Chromatography is the separation science. We separate  
22 mixtures into individual components and then attempt to  
23 quantify the ethanol in the -- in the samples.

24 Q What is the significance of your results you receive  
25 when you do your analysis?

1 A I'm not sure I understand the question.

2 Q Well, let's strike that. Are you a certified blood  
3 analyst?

4 A Yes.

5 Q From what agency do you hold -- do you hold a permit  
6 to test blood?

7 A The Arizona Department of Public Safety.

8 Q When did you receive your permit?

9 A I don't remember the exact time, but it was in the  
10 spring, I believe, of 2006. And then there's an annual  
11 renewal each year which I've always successfully completed.

12 Q What if any are the requirements -- if there any  
13 requirements to -- to obtain a permit?

14 A Well, the basic requirement is to have a bachelor's  
15 degree in a natural or physical science with 18 -- I think  
16 it's 18 units of chemistry. It might be 15. You -- then you  
17 take a proficiency test and you apply. You take a proficiency  
18 test. You have to pass their -- you're given four samples.  
19 You have to pass the proficiency test and then you have to  
20 pass annual renewals.

21 Q Do you need to be re-certified?

22 A Yes. Annually.

23 Q What's the presence of certification -- did -- did I  
24 ask you that?

25 A Yes.

1 Q Okay. Did -- what was there ever a time that were  
2 decertified?

3 A No.

4 Q Were you certified to test blood on -- look at the  
5 date here, November -- I mean, August 30th, 2007?

6 A Yes.

7 Q On that day, did you test blood from a tube that had  
8 indications that the blood had been drawn from a person by the  
9 name of Michael Marrama?

10 A Well, I tested a blood sample on the 28th of August  
11 2007.

12 Q Okay. And did that belong to a person by the name  
13 of Michael Marrama?

14 MR. ST. LOUIS: I'm going to object to the form of  
15 the question.

16 THE COURT: All right. You can go ahead and answer  
17 it.

18 MR. ST. LOUIS: Foundation.

19 THE COURT: Okay. You can still go ahead and answer  
20 it.

21 Q You can go ahead and answer it.

22 A Okay. Thank you. I -- I didn't understand what you  
23 said, Your Honor.

24 THE COURT: Go ahead and answer.

25 A Okay. Yes, it was from Michael Marrama.

1 Q Okay. And how do you know that it was from -- from  
2 him?

3 A Several ways. First of all, I -- there's a --  
4 there's a chain of custody program that the Tucson Police  
5 Department Crime Lab uses called BEAST. It has a -- it's a  
6 bar coded system. It has a case number, an item number. And  
7 I have a chain of custody report here that gives me details.  
8 It gives me Michael Marrama's name. I have a picture of the  
9 inside of the blood kit. It has the case number, which refers  
10 back to Michael Marrama. And I have no reason not to assume  
11 it is his blood. The case number matches the -- the name that  
12 is on -- is in the BEAST.

13 Q Okay. What instrument does your lab use to  
14 determine the amount of alcohol in a person's blood?

15 A Well, it uses a gas chromatograph. We use  
16 headspace-gas chromatography.

17 Q Was this the instrument you used in this case?

18 A Yes. It's a -- it's a variant 3800.

19 Q Is the method -- is this -- is the method accepted  
20 by the scientific community as a reliable method of  
21 determining alcohol concentration from blood?

22 A Yes.

23 Q As a criminalist, how long have you been doing this?

24 A Well, I started doing -- learning the method when I  
25 came to work in the crime lab at the end of 2006, beginning of



1 2007. I went through a training program before I was  
2 certified by the state. The training program consisted of  
3 doing a hundred blood sam- -- analyzing a hundred blood  
4 samples from old cases and comparing the results just to -- to  
5 familiarize myself with the method. And then since then, I've  
6 been doing the blood analyses for the last what two years.

7 Q Okay. What, if any, does the DPS or DHS have, what  
8 role does it have, if any, in -- in blood analysis?

9 A Well, it regulates blood testing for the state of  
10 Arizona. The Department of Public Safety.

11 Q Okay. Do you know what that -- that regulation  
12 entailed?

13 A Well, it -- it is the regulation that -- that  
14 certifies analysts. It -- it sets out a -- a preferred -- it  
15 sets out a method to -- to be used there. There is an al- --  
16 there is a met- -- there is a procedure for using an  
17 alternative method, if you like, and it maintains a  
18 certification and a proficiency testing program.

19 Q Okay. Describe briefly how the -- how the GC works.

20 A Well, it's essentially an oven with two long columns  
21 inside. They're designed to separate mixtures into individual  
22 components that pass through. You -- you identify -- you  
23 teach the instrument to identify the components by the time it  
24 takes to pass through to the detector. And the instrument can  
25 also be taught to quantify a substance. For instance,

1 ethanol.

2 Q Okay. How -- how long does it take to run a tray of  
3 samples?

4 A Depends on the size of the tray. Each sample takes  
5 about nine or 10 minutes to run.

6 Q Where -- where are the samples kept?

7 A It's -- the blood kits are kept usually by the  
8 evidence section. They're -- they're put into the evidence  
9 section, stored in their refrigerator. When it comes time for  
10 us to do an analytical run, we'll take the samples into the  
11 laboratory. I'll prepare individual samples from each blood  
12 kit. They'll go in the gas chromatography. Once the run's  
13 finished and I'm satisfied with the results, I'll throw the  
14 vials into the biohazard trash. I'm not sure which part of  
15 the process you're interested in.

16 Q Okay. Well, we'll -- we'll talk more about that.  
17 Describe what chain of custody procedures are followed by --  
18 by TPD Crime Lab to assure that the sample tested belong to  
19 the Defendant.

20 A Well, again, I -- I had referred to a bar coded  
21 evidence tracking system. It's called the BEAST. When a --  
22 when a sample is collected, it goes into a blood kit. The kit  
23 is sealed with tape that would be -- it would be easy to see  
24 if it was -- it was tampered with. In this particular case,  
25 the officer wrote the case number on the blood tubes inside

1 the kit, sealed the kit, and then on the outside of the kit  
2 had a bar coded label that had the case number, the item  
3 number, the subject's name and probably several other bits of  
4 information. But -- but definitely those -- those bits of  
5 information.

6 Q Ms. Conley, you were seated beside me when Ms.  
7 Arviz- -- Arvizu took the stand. And she talked about a  
8 unique sample ID number.

9 A Yes.

10 Q And she was talking about that in -- in correlation  
11 with confusing a particular sample, blood sample, with other  
12 blood samples. Is there a safeguard -- does TPD have a  
13 safeguard against that?

14 A Well, the Varian, the software for the Varian, when  
15 -- when you're setting up a sample list, there is a field for  
16 comments or information on each individual sample. So for  
17 instance for -- for sample 29, it will say -- it will give the  
18 case number, the item number and the person's name and whether  
19 or not it's -- it's sample A or sample B. And if you -- it --  
20 it prints out a sample list at the end of that. So I'll have  
21 a list that will say sample 29, case number such and such,  
22 item number such and such, name such and such. That's not  
23 disclosed.

24 Q Is that sufficient to -- to ensure that you're not  
25 confusing Mr. Marrama's sample with other samples?

1           A     Well, that's one -- that's one of the safeguards we  
2 use. Once I've made up that sample list, I'll make up my --  
3 I'll -- I'll create my tray of sample vials and each sample  
4 vial will have the sample number, say 29. And it will have  
5 the last four digits of the case number and an A or a B. If  
6 there's more than one sample from the same case number, then  
7 I'll have a further identifier on there to tell sample 1C say  
8 from sample 2C. Something like that. We go to great lengths  
9 to make sure that we don't confuse samples.

10          Q     How about access to refrigerators? Can you tell us  
11 about that? Who has access to the refrigerators where the  
12 sample are kept -- where the samples are being kept?

13          A     Well, officers put the refrigerators -- the samples  
14 into a locked refrigerator at one of the substations. It's  
15 essentially a one way door. You put the sample in. Nobody --  
16 the only -- it can only be open from the other side so an  
17 evidence technician would have to take it out. I couldn't  
18 walk in behind some -- you, if you would put a blood sample  
19 in, and take your blood sample out. It would have to be an  
20 evidence technician from, as I say, the other side of the  
21 refrigerator. Once it's entered into that refrigerator, it's  
22 been entered into that chain of custody tracking system. It's  
23 moved from one -- from the substation refrigerator by one of  
24 the evidence technicians or other to their central  
25 refrigerator where they store all of the blood samples. And

1 then procedure at this point I think was that we went up to  
2 the evidence section and checked the samples out. Now they  
3 have moved and they delivered the samples to us. But in any  
4 case, they take the samples out of the refrigerator and hand  
5 them over to us. We then take them down into the laboratory  
6 and put them in our locked -- our evidence refrig- -- we have  
7 a locked toxicology refrigerator that's only accessible by the  
8 people in the toxicology section.

9 Q Are there any seals on the evidence envelope?

10 A Yes. There's -- there's evidence tape, which is --  
11 it's -- I wouldn't say tamper proof, but it's very tamper  
12 evident. It's very, very friable. Breaks very easily. You  
13 can really tell if it's been tampered with. It's just a bear  
14 to work with.

15 Q What if -- what if any other procedure -- procedures  
16 exists to -- to prevent tampering that you could think of?

17 A Well, the kit's sealed. I only work at one -- with  
18 one kit at a time. So I can't switch tubes.

19 Q Okay.

20 A It's always in a locked refrigerator. Very limited  
21 access. And I don't know. No particular incentive to want to  
22 do it.

23 Q Okay.

24 A I don't know. I can't think of anything else.

25 Q Okay. Did this case -- did this case involve the

1 use of a blood kit?

2 A Yes.

3 Q Okay. What is a blood kit?

4 A It's a cardboard box about what, six inches by four  
5 inches? I'm -- I'm not the world's best gauger of sizes. It  
6 has a plastic box inside of it that cradles two blood tubes  
7 that are like -- they're vacuum container type tubes like that  
8 you'd -- like you would see at a doctor's office.

9 Q Who prepares it?

10 A Who prepares what?

11 Q The blood kit?

12 A We buy them commercially and they're given to  
13 officers to take to either -- for -- to be used by  
14 phlebotomists, either officers who are phlebotomists or -- or  
15 hospital emergency rooms.

16 Q Do you know where -- where DTP buys it from? Is it  
17 from reputable vendors?

18 A Yes. I don't -- I don't get involved in buying the  
19 blood kits.

20 Q Okay.

21 A When we get individual batches of blood kits, when -  
22 - when they come into supply, we test them to make sure that  
23 there's nothing like an alcohol residue inside one of the  
24 blood tubes.

25 Q Okay.

1 A But I don't see the blood tube until it comes to me  
2 full.

3 Q Okay. What -- what is in each -- in each kit?

4 A I'm sorry. What is a what?

5 Q What is an each -- each --

6 A In each kit. Okay. Okay. You've got an outside  
7 cardboard box, an interior plastic box that has a -- it kind  
8 of forms two cradles for two blood tubes to fit into. Two  
9 blood tubes that contain an anti-coagulant and a preservative.  
10 And it has a -- a needle that can be used for drawing blood  
11 which I've never -- I've never really seen a kit used. I'm --  
12 I'm squeamish. I don't want to know. There are also a number  
13 of different kinds of labels and seals inside the box.

14 Q And so these -- these kits are -- are labeled; is --  
15 is that correct?

16 A Once the kit is -- is used, the officer creates a  
17 label before he puts it -- he or she puts it into evidence.

18 Q Okay. In each tube, is there a preservative?

19 A Yes.

20 Q And -- and -- an anti-coagulant?

21 A Yes.

22 Q What's the purpose?

23 A It's to preserve the blood as -- as best it can be  
24 for testing. The words are pretty self explanatory. We would  
25 prefer that the blood not clot.

1 Q Okay.

2 A We would -- would prefer that -- and it's just --  
3 the preservative is yet another way of making sure the blood  
4 is -- is as fresh as possible for testing --

5 Q Okay.

6 A -- along with refrigeration.

7 Q Okay. Did the preservative and anti-coagulant, do  
8 -- do they contaminate the sample or do they --

9 A Well, they're additive to the sample, but they would  
10 -- they're not volatile, so they wouldn't show up in gas  
11 chroma- -- gas chromatography.

12 Q Okay. If there is a problem with the preservative  
13 or the anti-coagulant, would you be able to determine this  
14 when the test -- when you're testing the blood?

15 A Probably not in the time frame that we're talking  
16 about. Usually, we test the blood within a week or two of  
17 when it's -- when it's taken and it's been refrigerated all  
18 that time. That wouldn't be long enough for us really to see  
19 an effect.

20 Q Okay. Did you analyze a blood specimen for Michael  
21 Marrama?

22 A Yes.

23 Q When?

24 A On the 28th of August 2007.

25 Q Where -- where did you obtain the blood sample?



1           A     Thinking back that far, it doesn't specifically say.  
2 I -- I obtained it from Rebecca Judd (ph) who's one of the  
3 evidence technicians. At that point, I believe the evidence  
4 was still located on the floor above us, so I would have gone  
5 up to the evidence counter and gotten it there.

6           Q     Okay. How was the blood package when you first  
7 obtained it?

8           A     Well, it was in a standard blood kit. I've got a  
9 picture of the interior of the kit. I don't have any notes  
10 that indicate to me that there was anything unusual about the  
11 packaging. The -- I -- from the picture I can see the  
12 standard plastic box and it looks like a normal kit.

13          Q     Okay. No -- no signs of tampering or anything like  
14 that?

15          A     I wouldn't have accepted it if there had been  
16 tampering.

17          Q     Okay. Were all the seals intact when you obtained  
18 the blood?

19          A     Yes.

20          Q     Let's see here. And you -- you have that with you  
21 right? The -- this one; right?

22          A     Yes.

23          Q     I think the court has a copy of it. So what color  
24 are the tops of the tubes; do you remember?

25          A     Gray.

1 Q Was the blood in your possession from the time you  
2 removed it from the blood -- blood refrigerator until the time  
3 you analyzed it?

4 A Actually yes, because I'm looking at the chain of  
5 custody. Sometimes, we'll -- we'll get the blood and put it  
6 in -- store it in our refrigerator overnight. In this case, I  
7 picked it up the morning that I -- that I created the samples.  
8 So yes, it would have been in my custody the whole time.

9 Q What time did you analyze the blood?

10 A Well, according to the printout, I -- the sample was  
11 -- the sample ran at 6:37 p.m.

12 Q How much blood is used during -- during the  
13 analysis?

14 A A quarter of a milliliter per sample. So -- so half  
15 a milliliter.

16 Q How does the analyzing process work?

17 A Let's -- I'm trying to think where to start. I  
18 prepare a blood sample by drawing out, as I say, a quarter of  
19 a milliliter of blood. And then it's flushed into a sample  
20 vial with 10 times as much water, an internal standard.  
21 That's sealed, placed on the gas chromatograph and then run as  
22 part of an analytical run. Would you repeat the question?  
23 I'm not sure I answered it entirely.

24 Q It's -- it's okay. Once the instrument is set up,  
25 do you have -- do you have to do anything further during the

1 analysis process?

2 A Well, once the run starts, it pretty mu- -- it's  
3 pretty much automatic. We create reports at the end, but the  
4 instrument has a -- has the procedures set -- set into its  
5 software.

6 Q I'm going to show you, Ms. Conley -- if I know where  
7 it is. Do you have your blood -- yeah, here. Which is  
8 State's --

9 MR. ST. LOUIS: Ms. Natividad, I believe that is the last  
10 page of Exhibit C. That's already been admitted.

11 MS. NATIVIDAD: Okay. Thank you. I'm just going to --  
12 we're just going to refer to it then.

13 Q Did you generate your result of your blood analysis  
14 in -- in this case, Ms. Conley?

15 A Yes.

16 Q And based on analysis, what was the Defendant's  
17 blood alcohol concentration?

18 A It's 0.165 grams of ethanol per 100 milliliters of  
19 blood.

20 Q Okay. Describe to us how the results are recorded  
21 by instrument and on your report.

22 A I'm not -- I'm sorry. I'm not following the  
23 question.

24 Q Okay. So you -- you do the testing; right?

25 A Yes.

1 Q And then you generate this report?

2 A Yes.

3 Q Correct? Okay. And you include this together with  
4 the chain of custody paperwork and your --

5 A My blo- --

6 Q -- blood alcohol analysis notes; correct?

7 A Yes.

8 Q Okay. Is -- is this -- is TPD, Ms. Conley,  
9 recognized or certified by any national organizations?

10 A Well, yes. It's as we discussed earlier ASCLD Lab.  
11 It's been -- it's certified by ASCLD Lab. It has been since  
12 1993.

13 Q Do you know if it has ever been decertified?

14 A No, it has not been.

15 Q Do you know if TPD crime lab has ever been shutdown  
16 at all?

17 A No, it has not been.

18 Q Is it a member of the International Or- --  
19 International Standard, the ISO; do you know?

20 A No. We're --

21 Q The Legacy programs?

22 A -- we're certified under the Legacy program. We're  
23 working on certification in the international program.

24 Q Okay.

25 MR. ST. LOUIS: Your Honor, I apologize for interrupting.

1 It's 10 to 5:00 now. It's apparent to me we're not going to  
2 finish this in the next 10 minutes.

3 THE COURT: What gives you that?

4 MR. ST. LOUIS: Huh?

5 THE COURT: What gives you that conclusion?

6 MR. ST. LOUIS: A hunch. Wild hunch, Judge.

7 THE COURT: Are you going to have any other witness,  
8 Ms. --

9 MS. NATIVIDAD: No, Your Honor. Just Ms. -- Ms.  
10 Conley.

11 THE COURT: Obviously, you're going to have cross  
12 examination. Are you anticipating recalling anybody from New  
13 Mexico or any place?

14 MR. ST. LOUIS: But my -- my -- no, I'm not  
15 anticipating that.

16 THE COURT: Okay. So what we need to do is finish  
17 the direct, the cross and the redirect; is that correct?

18 MR. ST. LOUIS: Yes.

19 THE COURT: Okay.

20 MR. ST. LOUIS: And argue.

21 THE COURT: I would assume, yes, if we do anticipate  
22 leaving around 5:00 o'clock, that's probably not going to  
23 occur. Of course, I can stay longer.

24 MS. NATIVIDAD: I will make it quick, Your Honor. I  
25 just have a few more.

1 THE COURT: Oh, no. I -- but we -- we probably do  
2 need to reset some time so Ms. Conley can come back and finish  
3 her testimony. So probably we can break after your re- --  
4 after your direct when we can come back for an hour or so some  
5 other time in the future. You don't antici- -- how long do  
6 you anticipate your --

7 MR. ST. LOUIS: Hey, I was thinking we -- if we set  
8 it for an hour, my -- my issue -- and I know that you kicked  
9 another trial that was set for today out of here, but I've --  
10 I've got to get Ms. Arvizu to the airport.

11 THE COURT: And continue this -- it took -- as you  
12 may recall, it took us about eight months to get to this point  
13 today. And I was here every day. You know, Officer Smith, we  
14 delayed it so he could be here and he's --

15 MS. NATIVIDAD: And he's not here.

16 THE COURT: Yeah.

17 MS. NATIVIDAD: I told him that we're going to go  
18 anyway, Your Honor.

19 THE COURT: All right. But anyway, you have my list  
20 of dates, so we'll be working on that. But why don't you go  
21 ahead and finish and as we get a little bit closer to five  
22 we'll try to work out a date we can get back.

23 MR. ST. LOUIS: All right.

24 MS. NATIVIDAD: Okay.

25 Q What is being done to keep the certification at

1 ASCLD?

2 A Well, as Ms. Arvizu said, there's a five year  
3 inspection, outside inspection. And then their annual  
4 internal audits that are performed.

5 Q Does the -- the -- does TPD have internal quality  
6 assurance policies and procedures?

7 A Yes.

8 Q Can you tell us about that?

9 A Well, there's an overall quality assurance program  
10 for the -- nice picture. There's an overall program for the  
11 laboratory. And then each individual section has their own  
12 quality assurance proc- -- procedure, things -- processes that  
13 they follow.

14 Q How often is that done?

15 A There's an annual inspection and an annual self  
16 audit.

17 Q And those are reviewed by whom?

18 A Well, they're internal. And then at the end of the  
19 five years, I assume they're -- I am not a quality assurance  
20 manager. It's my understanding that they are reviewed by the  
21 outside agency that will do the auditing.

22 Q Okay. Now do you know if the TPD Crime Lab has ever  
23 been written up for, you know, substandard practices or  
24 anything similar to that?

25 A Toxicology section certainly hasn't. I can't -- I

1 can't speak for the other sections. I don't know of anything.

2 Q Okay. Drawing your attention back to quality  
3 assurance, Ms. Conley, what quality assurance procedures did  
4 you use when you ran the test of the Defendant's blood?

5 A Well, first of all, we calibrated the instrument.  
6 We -- each time we do an analytical run, we calibrate the  
7 instrument. And I used a number of controls, blanks,  
8 standards. We buy them from a variety of laboratories. EMD  
9 Chemicals, Cerilliant. They come with certificates of qual-  
10 -- quality, different in- -- information on the -- on the  
11 quality control procedures they've been through.

12 And we test them before we put them into use. Ditto  
13 with the internal standard. We -- every time we make a batch  
14 or every time we make a batch of the mix, we test it before  
15 start using it. It goes through a quality assurance process.

16 I -- the equipment that I use -- go -- it has  
17 outside contract preventive maintenance on it, at least once a  
18 year. In addition, we keep a maintenance log and we do  
19 weekly, monthly and quarterly preventive maintenance.  
20 Different -- different kinds of things in the laboratory.

21 I -- as I say, I -- we -- we calibrate the  
22 instrument, we run a blank immediately afterwards, which we  
23 might want to talk about later if you'd like. We use whole  
24 blood control. We use a different standard to -- to check to  
25 -- to make sure the instrument is still working correctly.



1 Then we intersperse controls to controls every several cases  
2 during a run and then -- then again at the end. The -- the  
3 results have to meet certain standards. There's an  
4 administrative and a technical review that the cases go  
5 through.

6 Q Okay. You mentioned some vendors that you get these  
7 things -- these things from. That's not on -- on your report;  
8 is it? I mean, not on the --

9 A Well, the name of the vendor and the lot number and  
10 the expiration date and the concentration is.

11 Q Okay. Okay.

12 A It's on the calibrators and controls package. It  
13 isn't -- it isn't part of the individual case notes, because  
14 --

15 Q Okay. Well, let's just talk about the things that  
16 Ms. Arvizu testified to. The -- the procedures used by --  
17 well, Ms. Arvizu indicated that the SOP, the standard  
18 operating procedures of TPD Crime Lab -- let's see what she  
19 said about that.

20 THE COURT: Okay. Why don't we break right here?

21 MS. NATIVIDAD: It -- it is not -- I'm sorry.

22 THE COURT: Why don't we try to reset and we're  
23 coming back and then when we come back, you can then deal with  
24 that as sort of a collective matter so --

25 MS. NATIVIDAD: Okay.

1 THE COURT: -- it won't be broken up in her  
2 response. Mr. St. Louis, you personally are scheduled to be  
3 here on July 22nd at 9:00 a.m., previously with Mr. Arseno  
4 (phonetic).

5 MR. ST. LOUIS: That is true.

6 THE COURT: Any chance we can perhaps do this at  
7 around 10:00 o'clock? Assuming the availability of Ms.  
8 Conley.

9 MS. CONLEY: I'm thinking, Your Honor. I got a  
10 vacation in July.

11 THE COURT: Oh, no.

12 MS. CONLEY: I'm sorry?

13 THE COURT: We can't do that.

14 MS. CONLEY: Oh.

15 THE COURT: Oh, okay. Well, we'll try to  
16 accommodate your --

17 MS. CONLEY: I'm just -- may I stand up and look at  
18 the calendar?

19 THE COURT: Yes.

20 MS. CONLEY: The 22nd will be fine.

21 THE COURT: Is that okay, Mr. St. Louis?

22 MR. ST. LOUIS: I'll be here.

23 THE COURT: All right. We'll do that.

24 MS. CONLEY: Okay.

25 THE COURT: So we'll do that. And so you can finish

1 your direct and we can do the recross and any redirect as  
2 necessary.

3 MS. NATIVIDAD: Okay.

4 THE COURT: I am thinking, Mr. St. Louis and Ms.  
5 Natividad, when we finalize this -- my previous experience in  
6 judging the quality control of various criminal laboratories  
7 or forensic laboratories is fairly limited. And you've made a  
8 number of points, some of which may -- I mean, I'm sure you're  
9 going to direct -- or address a number of these in your  
10 examination of Ms. Conley that may or may not be significant  
11 to me, but it strikes me as the factual matters in this matter  
12 are going to be fairly important.

13 So you might want to be prepared to tell me what  
14 facts that you think have been established or are going to be  
15 important --

16 MR. ST. LOUIS: Okay.

17 THE COURT: -- for me. I mean, I see some nice  
18 little -- actually, very little jumps on grass which may or  
19 may not be important. (Indiscernible) tell me that it's  
20 important and I -- so far I guess I'll accept that for what it  
21 is.

22 But -- and then I have, you know, one of them that  
23 may be an air blip. So sum it up, put all this in perspective  
24 as to why that -- that's where you want to end up being.  
25 Thank you very much.

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

MR. ST. LOUIS: Thank you.  
MS. NATIVIDAD: Thank you, Your Honor.  
MS. CONLEY: Thank you, sir.  
(Court recessed)

4:58:58

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

C E R T I F I C A T E

I, KIMBERLY C. McCRIGHT, CET, certified electronic transcriber, do hereby certify that the foregoing pages 1 through 116 constitute a full, true, and accurate transcript from electronic recording of the proceedings had in the foregoing matter, all done to the best of my skill and ability.

DATED this 4th day of August, 2009.

\_\_\_\_\_  
Kimberly C. McCright, CET  
Certified Electronic Transcriber