

REPORTER'S RECORD
VOLUME 01 OF 01
CAUSE NO. F12-33545-H

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

THE STATE OF TEXAS) IN THE CRIMINAL
VS.) DISTRICT COURT #1 OF
JOSHUA PRICE-BRENT) DALLAS COUNTY, TEXAS

EXCERPTED TESTIMONY OF JANIE ARVIZU

On the 17th day of January, 2014, the
above-styled and numbered cause came on for hearing, and
the following proceedings were heard before the Honorable
Robert Burns, Judge presiding, held in Dallas, Dallas
County, Texas:

Proceedings reported by computerized stenotype
machine; Reporter's Record produced by computer-assisted
transcription.

CRYSTAL R. JONES, TEXAS CSR #8040
Official Court Reporter
The Criminal District Court
214.653.5903

A P P E A R A N C E S

1
2 REPRESENTING THE STATE OF TEXAS:

3 HONORABLE GARY COATES MCDONALD, JR.
Assistant District Attorney
4 SBOT #: 24060251

-AND-

5 HONORABLE HEATH GLEN HARRIS
Assistant District Attorney
6 SBOT #: 00795409
133 North Riverfront Boulevard, LB 19
7 Dallas, Texas 75207-4399
(214) 653-3600

8
9 REPRESENTING THE DEFENDANT:

10 HONORABLE GEORGE MILNER
Milner * Finn * Price
11 SBOT #: 00784611
2828 North Hardwood Street,
12 Suite 1950
Dallas, Texas 75201
13 (214) 651-1121

14 -AND-

15 HONORABLE KEVIN BROOKS
Attorney at Law
16 SBOT #: 03070735
Republic Center
17 325 North Saint Paul Street,
Suite 2475
18 Dallas, Texas 75201
214-922-0212

19 -AND-

20
21 HONORABLE DEANDREA GRANT
Attorney at Law
22 SBOT #: 00787578
800 East Campbell Road,
23 Suite 110
Richardson, Texas 75081
24 (214) 943-8500

25

REPORTER'S RECORD
VOLUME 01 OF 01
CAUSE NO. F12-33545-H

C H R O N O L O G I C A L I N D E X

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

January 17, 2014	PAGE
Proceedings.....	04
Defense's Motion for Instructed Verdict.....	05
Motion overruled.....	06

DEFENSE WITNESS:

ARVIZU, JANINE

S.Rosa/705 Hearing	
Direct	Cross
6,52	38,61

Direct	Cross	V.Dire/S.Rosa	Vol
65 --			01

End of Excerpted testimony.....	112
Reporter's Certification.....	113

P-R-O-C-E-E-D-I-N-G-S

(January 17, 2014; 9:04 a.m.)

(Open court, defendant & jury present)

THE BAILIFF: All rise.

(Jury ushered in)

THE COURT: All right. Be seated, please.

All right. The State having rested their case, what says the Defense?

MS. GRANT: We're ready, Your Honor.

THE COURT: Call your first witness, please.

MS. GRANT: We call Janine Arvizu.

THE COURT: Come on up and have a seat on the witness stand if you would, please.

MR. MCDONALD: At this time, Your Honor, the State is requesting a 705 Hearing outside the presence of the jury.

THE COURT: All right. That's fine.

Well, I have to take you back out. Would you-all wait in the jury room, please.

THE BAILIFF: All rise.

(Jury ushered out)

THE COURT: Ma'am, you can have a seat. Would you raise your right hand for me, please.

(Witness sworn)

MR. MILNER: As long as I've got them out,

1 can I go ahead and make my motion?

2 THE COURT: Go ahead.

3 MR. MILNER: All right. Comes now the
4 Defendant, Joshua A. Price-Brent, in Cause No. F13-00607.
5 The Defendant, Joshua A. Price-Brent, moves this
6 Honorable Court to instruct this jury to return a verdict
7 of Guilty in that the State has failed to prove --

8 MS. GRANT: Not Guilty.

9 THE COURT: Excuse me. You said --

10 MS. GRANT: You said, Guilty.

11 MR. MILNER: I apologize.

12 Correction. And we ask that that be deleted
13 and that I begin over.

14 The Defendant, Joshua A. Price-Brent, moves
15 this Court to instruct the jury to return a verdict of
16 Not Guilty in that the State of Texas has failed to prove
17 that on or about the 8th day of December, A.D. 2012, in
18 the County of Dallas and State of Texas, did unlawfully
19 then and there operate a motor vehicle in a public place
20 while said Defendant was intoxicated, and by reason of
21 that intoxication did cause the death of Jerry Brown, an
22 individual, by accident, in the State, to wit, by failing
23 to control the said motor vehicle, which said Defendant
24 was operating and which the said individual was a
25 passenger, therefore striking the curb and causing said

1 motor vehicle to overturn, thereby causing the death of
2 the said individual.

3 And further, it's presented in and to said
4 Court that a deadly weapon, to wit, a motor vehicle, was
5 used and exhibited during the commission of the aforesaid
6 offense.

7 THE COURT: The Motion is overruled.

8 This is about to be an expert witness; is
9 that right?

10 MS. GRANT: That is correct, Judge.

11 THE COURT: Okay. Then conduct the 705
12 Hearing. Go ahead.

13 (Witness sworn)

14 JANINE ARVIZU,
15 having been first duly sworn, testified as follows:

16 SUB ROSA/705 HEARING

17 DIRECT EXAMINATION

18 BY MR. MCDONALD:

19 Q. Please state your name.

20 A. Janine Arvizu.

21 Q. Now, you were retained by the Defendant in this
22 case to conduct a review of the chemistry involved in
23 this case?

24 A. Yes, the --

25 THE COURT: Ma'am, would you spell your last

1 name for the record.

2 THE WITNESS: Yes, sir. A-R-V, as in
3 Victor, I-Z-U.

4 THE COURT: And could you please pull that
5 microphone over to you.

6 THE WITNESS: First name, Janine,
7 J-A-N-I-N-E.

8 THE COURT: Okay, go ahead.

9 Q. (BY MR. MCDONALD) Did you complete a report, a
10 written report regarding your findings?

11 A. No, sir.

12 Q. How was your opinion communicated to the
13 Defendant?

14 A. By phone.

15 Q. Okay. What is the basis of your opinion?

16 A. The basis for my opinion was a data quality
17 assessment of the records provided to me that supported
18 the forensic results reported by the SWIFS laboratory.

19 Q. And, specifically, which data sets did you rely
20 on in order to form your opinion, which documents?

21 A. Okay. I have some of them with me, but it
22 included copies of the laboratory's procedure for blood
23 alcohol determination. It included what's conventionally
24 called a case file that relates to the actual testing of
25 the batch of samples in this particular case. It

1 included a selection of quality control and quality
2 assurance materials, for example, copies of internal
3 audit reports, copies of certificate of analyses for the
4 reference materials used, copies of temperature logs and
5 receiving records for the evidence in this case.

6 Q. Did you physically inspect the evidence in this
7 case?

8 A. No.

9 Q. Did you visit the laboratory at which this
10 analysis was performed?

11 A. No.

12 Q. Did you discuss your findings with the chemists
13 in this case?

14 A. With the chemists in the SWIFS laboratory, no.

15 Q. Did you discuss your findings with any other
16 chemists?

17 A. No, not with chemists; with lay people, the
18 attorneys.

19 Q. Now, what is your specific degree?

20 A. I have a bachelor of science degree in
21 biochemistry from Cal Poly in San Luis Obispo,
22 California, and ABD in Chemistry from the University of
23 New Mexico that's not a degree. That's all but
24 dissertation. It's an indication that I completed all
25 the coursework, examinations, preparation of a proposal

1 and defense of a proposal, all the things necessary to be
2 advanced to candidacy for a Ph.D. degree, but did not
3 defend my dissertation.

4 Q. It also stands for "Awaiting Board Defense";
5 does it not?

6 A. It stands for what?

7 Q. Awaiting Board Defense?

8 A. I've heard that used as well.

9 Q. Okay. Did you finish your dissertation?

10 A. I did not. I wrote one chapter of it only.

11 Q. What was the subject matter of your research?

12 A. It was amino phosphazine chemistry.

13 Q. Now, you've titled yourself as an expert in
14 quality assurance and a chemist, correct?

15 A. That's correct. My area of expertise, although
16 I am a chemist and my particular application of quality
17 assurance has been in a testing environment.

18 Q. Other than the documents provided to you in this
19 case by the Southwestern Institute of Forensic Science,
20 are there any publications or reference materials you
21 relied on to form your opinion?

22 A. As a matter of practice, I always rely on the
23 relevant national and international quality standards
24 that are applicable in any given situation for testing.

25 In this case the laboratory at the time of the testing

1 was accredited to a standard I'll refer to ASCLD/LAB,
2 that's A-S-C-L-D, slash, L-A-B, the 2005 version of their
3 manual. They also made reference to the fact that they
4 conducted an internal audit against the ASCLD/LAB 2008
5 version of the standard, and that they are presently in
6 the process of developing the quality assistance
7 necessary to comply with ISO 17025, which is an
8 international standard applicable to testing
9 laboratories. So those are examples of the kinds of
10 standards that I use.

11 In addition, there are the standards
12 that are written very specifically to the field of
13 forensic toxicology. The previous standards that I
14 described are all generally applicable to testing
15 laboratories.

16 THE COURT: I'm sorry. If I can interrupt
17 for a second. Let's kind of limit this voir dire to the
18 facts or data. I'm not really interested in her opinion
19 about whether she thinks SWIFS is qualified or not at
20 this point. SWIFS is accredited. Facts or data, and
21 let's limit it to that, please. Go ahead.

22 MR. MCDONALD: Your Honor, regarding quality
23 assurance, the State has no objection to this testimony.
24 Regarding the chemistry and the fundamentals of
25 chemistry, I don't believe it's been established that she

1 has sufficient knowledge or background in order to
2 support, attack, or refute the validity of the test
3 result in this case.

4 THE COURT: Well, you haven't asked any
5 questions about it. I mean, the Rule lets you -- I
6 haven't heard any evidence. You're just making a
7 statement.

8 MR. MCDONALD: That's because the Court
9 limited my examination of the basis of her opinion.

10 THE COURT: She's talking about whether the
11 labs meet an ISO/2007 or whatever, and I want to know
12 what -- I want you to follow the Rule. You haven't asked
13 her any questions about facts or data underneath her
14 opinion and what her -- I don't even know what her
15 opinion is yet, and you haven't asked her what facts or
16 data she's relying upon for that opinion.

17 Q. (BY MR. MCDONALD) Are you going to have any
18 sort of opinion concerning the laboratory standards in
19 this case?

20 A. I have an opinion about the efficacy of the
21 laboratory system for ensuring the reliability of this
22 particular result, and the fact that the laboratory
23 system was deficient in a number of areas necessary to
24 ensure the reliability of the result.

25 Q. Such as?

1 A. There are three general areas that I look at.
2 One is sample integrity, and that is whether or not all
3 the necessary controls were put in place to ensure the
4 integrity of the sample from the point of collection
5 through the point of reporting the results.

6 The second is method validity, whether
7 or not the laboratory used a testing method that had been
8 scientifically validated and found to be appropriate for
9 its intended use, and the third is whether that method
10 was reliably performed, and that is where the day-to-day
11 quality control practices in the laboratory are relevant
12 as to whether or not all the aspects of the measurement
13 system was appropriately controlled at the time the
14 measurement was made.

15 Q. So am I correct that you are not disputing the
16 underlying validity of gas chromatography, specifically
17 headspace gas chromatography?

18 A. That is absolutely correct.

19 Q. Or the process in this case, just that the
20 process was misapplied?

21 A. I'm not sure I know what you mean by the process
22 in this case.

23 Q. What do you mean by the process in the case?

24 A. The measurement process?

25 Q. Uh-huh.

1 A. That is the entire measurement system adopted by
2 the laboratory to ensure the reliability of results. The
3 things that are included are the things that are
4 delineated in the standards that I described earlier, and
5 that is you don't simply rely on assuming that things are
6 in control and operating within specifications and within
7 criteria; you actually monitor them and test them and
8 evaluate them and document them so that there's a written
9 record demonstrating the acceptability of the measurement
10 system at the time a measurement is made.

11 Q. And with regard to your review of the test in
12 this case and standards associated with it, documents
13 associated with it, what particular issues did you take
14 with the process?

15 A. That in a number of areas there were
16 deficiencies in the practices that rendered the results
17 unreliable, specifically with respect to sample
18 integrity, there were issues, with respect to method
19 validity there were issues, and with respect to the
20 reliable performance of the method, so in all three areas
21 there were deficiencies in this lab at this time in 2012.

22 Q. You're not answering my question, ma'am.
23 Specifically what was wrong with the process?

24 A. Oh, you want to go through all of my findings or
25 my conclusions?

1 Q. Yes.

2 A. Okay. Well, first, with regard to sample
3 integrity, the sample in this case was collected in a
4 blood tube that was not designed for use in blood alcohol
5 testing. The blood alcohol tubes that Becton Dickinson
6 manufacture specifically for the purpose of blood alcohol
7 testing are 10-milliliter tubes that have one percent
8 sodium fluoride, and in addition to that preservative,
9 they also have an anticoagulant present, but one percent
10 sodium fluoride.

11 Those tubes in a certificate of compliance
12 issued by Becton Dickinson actually demonstrates that
13 they are manufactured specifically for blood alcohol
14 determination, have been tested and found appropriate for
15 that particular use.

16 That one percent sodium fluoride
17 concentration is also specified in the National Clinical
18 and Laboratory Standards Institute document which is sort
19 of the nationwide standard for blood collection
20 practices.

21 In this case they didn't use a 10-milliliter
22 tube, they used a 4-milliliter tube that has only a
23 fraction of the amount of sodium fluoride present in it.
24 It does not have sufficient sodium fluoride in it to
25 protect the integrity of that sample for purposes of

1 blood alcohol testing. It only has a quarter of a
2 percent of sodium fluoride present.

3 Q. By volume?

4 A. Excuse me?

5 Q. You use the percentage by volume?

6 A. Yeah. That's in relation to the volume of the
7 blood sample, assuming that it's a completely full tube.

8 Q. What else?

9 A. In addition, well, there is -- the other major
10 issue is that the blood sample was not sealed. The
11 actual tube was not sealed to demonstrate that its
12 integrity had been protected between collection and
13 testing, and the evidence bag or the biohazard bag that
14 the blood tubes were placed in was also not sealed.

15 There's no evidence, written evidence to
16 demonstrate that when the sample was collected, the color
17 of the additives was checked before the sample was
18 actually introduced to the tube per the manufacturer's
19 recommendations in their -- in the documents that they
20 provide with their materials, it tells you the color that
21 the additive should be and it warns the user that they
22 should not use that tube if the additives have been
23 discolored, and there's no evidence in this case that it
24 was part of the practice or procedure to check the color
25 and make sure that it was the adequate prior to use. So

1 we don't know that it was appropriately colored, yet we
2 know that it was only present at a fraction of the
3 concentration necessary at time the tube was collected.

4 Then the sample, according to the records,
5 was placed in a lock box and delivered to the laboratory.
6 There's no records documenting the actual temperature of
7 that sample during the time it was stored.

8 And temperature and preservation are two of
9 the major factors that the literature has demonstrated
10 are necessary to protect the integrity of blood alcohol
11 samples.

12 That's the issues related to sample
13 integrity.

14 Q. Testing?

15 A. The method validity is the second issue, and
16 that is whether or not a testing laboratory used the
17 method that had been scientifically validated and found
18 to be appropriate for its intended use. It's required by
19 standards. It's not optional.

20 The laboratory provided a copy of a 2006, I
21 believe, validation study that they conducted, or
22 validation study report for studies that were conducted
23 when they put the new instruments in place, the new
24 Shimadzu instruments in place. I didn't get the raw
25 data, I only got the summary report that provided their

1 conclusions, but nevertheless, that summary report
2 identified a number of problems with the testing method
3 used by this laboratory, in particular, the fact that the
4 selectivity of the instrumental method that they are
5 using, that is, the ability to conclusively distinguish
6 ethanol from other potentially interfering compounds was
7 not demonstrated. In particular, the instrument that was
8 used to test the sample in this particular case, their
9 validation study demonstrated that there was what's
10 called co-elution or an overlap of ethanol peak and the
11 internal standard peak when acetone was present in the
12 sample.

13 That's a very serious problem because if
14 acetone was present, there would have been -- if that
15 co-elution existed, there would have been no way for the
16 analyst reviewing the data to recognize that fact.
17 Either ethanol or the internal standard peak would have
18 been elevated by the amount present and would have been
19 misinterpreted as that compound.

20 So that's a fundamental deficiency of the
21 method, an inability of the method to have the
22 selectivity necessary to be able to conclusively identify
23 ethanol, which is in fact the reason we use gas
24 chromatography for this technique, because it does have
25 the ability to make that necessary separation, but it

1 requires that you do method development and adjust your
2 instrument operating conditions to accomplish that
3 separation.

4 Q. And in application of the method?

5 A. The final area is application of the method, the
6 reliable performance of the method, and there were quite
7 a number of deficiencies in that regard, the first of
8 which was that the laboratory in this particular case in
9 2012 was not calibrating their instrument on the day that
10 they tested the samples. Their practice was to calibrate
11 it once a month and use that same calibration curve for
12 purposes of determining the amount of ethanol present in
13 all batches for all samples conducted during testing over
14 the following month. That certainly doesn't represent
15 best practice. And under the standards, and when I say
16 the standards, I'm referring to the Society of Forensic
17 Toxicology guidelines in this case, calibrators must be
18 run with every batch of samples. They did not do that in
19 this case.

20 That's a significant problem because the
21 performance of the instrument changes from day to day.
22 The technique is such that if it's important, if the
23 quantitative result is important, that is how much of
24 something is present as opposed to just identifying
25 what's present, then it's imperative that the instrument

1 actually be calibrated on the day of testing.

2 Calibration in this case was even further
3 and even more seriously degraded by the fact that the lab
4 prepared their only calibration standards. They did not
5 purchase them from an external provider of reference
6 materials. They prepared their own standards in house.
7 And their practices at the time were seriously deficient
8 for the preparation of reference materials.
9 Specifically, they used an ethanol stock solution or an
10 ethanol reference material.

11 THE COURT: Ma'am, let me stop you for just
12 a second just so I understand. So a minute ago you said
13 they only calibrate once per month, and now you're saying
14 they did calibrate using their own samples every day?

15 THE WITNESS: Yes, sir. Let me clarify.
16 They don't calibrate every day. They calibrated in
17 November for the testing performed in December, and when
18 they calibrated the instrument in November, they used a
19 solution that they had prepared themselves.

20 THE COURT: Is that actually correct?
21 Because my understanding from the testimony was they
22 purchased it from, I forget the name of the manufacturer,
23 but it was a purchase from a supplier. Is that not true?

24 MS. GRANT: What they make it from is
25 purchased.

1 MR. MCDONALD: The stock solution is
2 purchased, and it's not atypical for that stock solution
3 to then be diluted in house to an appropriate level.

4 THE COURT: So your complaint is that they
5 shouldn't have mixed their own testing solution; is that
6 what you're saying?

7 THE WITNESS: That is a problem if you don't
8 have the controls necessary to produce reference
9 materials, the materials that you're using to calibrate
10 an instrument. Their problem was that the absolute
11 ethanol, which is in common vernacular, it's 200 proof,
12 but absolute ethanol that they used to prepare their
13 calibrators was expired at the time that they used it.
14 Everything in the laboratory, all of the consumables and
15 reagents and materials that you use in laboratories
16 include a shelf life, and it was nine months expired at
17 the time that they prepared their solution.

18 Q. (BY MR. MCDONALD) That was a one-molar solution
19 of ethanol?

20 A. No.

21 Q. What was the concentration of it?

22 A. They don't report it in molarally. My
23 recollection is that they prepare -- they start with the
24 neat material, they prepare a high concentration stock
25 and prepare subsequent dilutions from that.

1 Q. Which is typical of any chemistry laboratory, to
2 start with a stock solution, then dilute it to
3 appropriate levels?

4 A. That is an appropriate and necessary practice.
5 The problem was starting off with a solution that was
6 expired. It's like starting off with spoiled milk. It's
7 not going to get better when you dilute it.

8 Q. And the absence of some type of reagents to
9 change the ethanol into another compound, in a sealed
10 environment, especially refrigerated at appropriate
11 temperatures, how is it possible that the solution
12 degraded?

13 A. It's not only possible, it's likely. That's why
14 laboratories put shelf lives on materials.

15 Q. So you are saying it reacted with water?

16 A. No, I'm not saying that it reacted with water.
17 What I'm saying is that the level of control necessary in
18 an analytical chemistry environment may be accustomed to
19 thinking about on a benchtop for a bottle in your
20 kitchen. The level of control necessary is dramatically
21 different in a laboratory environment. Every time you
22 open a bottle, you introduce the potential for airborne
23 contamination of that container. Every time you open a
24 bottle, you introduce the potential for evaporation of
25 material from that container. Materials -- there are any

1 number of opportunities for compromising or changing the
2 characteristics. That's why a shelf life is assigned.

3 And as a scientist, you don't get the option
4 of just ignoring those shelf lives. It's necessary if
5 you choose to use a material past its shelf life, the
6 process you must go through is to empirically test that
7 material and demonstrate and have documentation to prove
8 that it's still acceptable after its shelf life.
9 Otherwise, you simply cannot use it.

10 Q. And empirically, you mean things that you do see
11 by testing?

12 A. Yes, exactly.

13 Q. Such as controlled test by a gas chromatograph
14 established that it is a certain concentration after it's
15 diluted?

16 A. Specifically for that purpose, correct.

17 Q. Okay. Now, you've talked a lot about the issues
18 that you have with the testing, but you haven't given the
19 Court any published data or materials to suggest that
20 there is a valid scientific basis for your opinion on any
21 of this. You've referenced some standards, but you have
22 previously established you're a chemist, to testify as a
23 chemist here. What published materials did you reference
24 to come to these conclusions?

25 A. I'm sorry. I'm having a really hard time

1 understanding your question. Are you suggesting that the
2 standards are not scientifically reliable documents?

3 Q. There's a difference between the standards and
4 chemistry; you would agree with me on that?

5 A. These are standards for the practice, the actual
6 functional practice of chemistry, and the application of
7 chemistry in the laboratory.

8 Q. And you would agree with me that the appropriate
9 application of scientific principles in chemistry is
10 based on science?

11 A. Certainly.

12 Q. Especially published science?

13 A. Certainly.

14 Q. And having not finished your dissertation in
15 chemistry, you would agree with me that your expertise is
16 not as great as someone who has, say, a Ph.D. in
17 toxicology?

18 A. I am not a toxicologist. I never claimed to be
19 a toxicologist.

20 Q. Well, then, other than the standards which have
21 previously been discussed in this case, is there any
22 scientific basis that you can direct the attention of
23 this Court to support the speculative opinions that you
24 have given?

25 A. Um --

1 Q. Do you have any peer-reviewed articles?

2 A. There are a number of peer-reviewed articles
3 that relate to discreet individual issues that are --

4 THE COURT: Well, let me cut to the chase.
5 I mean, his question that he was just asking you, let's
6 apply it specifically to what you want to testify about.
7 The first thing you mentioned was that you have an issue
8 with the sample integrity because you're saying that
9 it -- that the blood should have been drawn into a
10 4-milliliter tube instead of a 10-milliliter tube?

11 THE WITNESS: Yes.

12 THE COURT: What's the scientific basis for
13 making a distinction upon the size of the tube that the
14 blood was drawn into?

15 THE WITNESS: The key principle is not the
16 size of the tube, how much blood volume it's collected,
17 but the concentration of the preservative in those tubes.

18 THE COURT: All right. So, you know, I'm
19 not so good at math, but if a 10-milliliter tube has a
20 one-percent solution and a 4-milliliter tube has a
21 quarter percent of it in there, then you're really
22 talking about a less difference than what it might appear
23 initially, if it's a one-percent sodium fluoride and it's
24 a quarter-percent sodium fluoride, and it's a smaller
25 container?

1 THE WITNESS: Concentration is corrected for
2 the volume, yeah.

3 THE COURT: All right. Is there any
4 peer-reviewed articles that say that you can't consider a
5 blood test to be reliable if the blood tube is only drawn
6 into a tube -- if the blood is only drawn into a tube
7 that has one percent versus a quarter percent versus
8 no -- no sodium fluoride at all? What data or what
9 peer-reviewed articles are there that support what you
10 want to say?

11 THE WITNESS: There's a large volume of
12 literature on the subject of --

13 THE COURT: Well, name one. Name one.

14 THE WITNESS: One is a report by Chang. It
15 is explicitly referenced in the NCCLS standards which are
16 the national standards used clinically for laboratories
17 nationwide, and it specifically references that as an
18 example when it is addressing the need for one-percent
19 sodium fluoride when the purpose of the testing is for
20 blood alcohol testing.

21 MR. MCDONALD: And, Your Honor, may I
22 approach the witness?

23 THE COURT: Yes.

24 Q. (BY MR. MCDONALD) You're referring to the
25 article by Chang labeled The Effective Temperature on the

1 Formation of Ethanol by Candida Albicans in the Blood?

2 A. I'm not sure that that's the one, but I will
3 take a look. There are so many, I wouldn't try to
4 remember the title.

5 MR. MCDONALD: Marked as State's 300.

6 THE WITNESS: I'm not sure that this is the
7 one because this is specifically evaluating temperature
8 rather than preservative concentration.

9 Q. (BY MR. MCDONALD) So what you're telling the
10 Judge is this doesn't ring a bell, but you can't point
11 this Court to the specific source that you're thinking
12 about to support your opinions?

13 A. I certainly could if I could look up in my
14 notebook, but --

15 THE COURT: All right. Hand the lady her
16 notebook.

17 Q. (BY MR. MCDONALD) Do you have your notebook?

18 A. I do. It's in my backpack there. The big thick
19 white. I think I have it in there.

20 MS. GRANT: And, Judge, I'll point out that
21 the State hasn't asked Ms. Arvizu anything other than her
22 schooling, hasn't asked her anything about her employment
23 history, her qualifications, her certifications or any of
24 those sorts of things, which I think would be relevant in
25 this hearing.

1 THE COURT: Okay. Well, right now I just
2 want to stay on top of this issue about the amount of
3 sodium fluoride and the quantity resulting from this
4 test.

5 THE WITNESS: I'm booting up to actually get
6 the clinical standards that include the reference.

7 MS. GRANT: Your Honor, I actually have it
8 pulled up on my computer. May I show it to her?

9 THE COURT: Sure.

10 THE WITNESS: Okay. This is a copy of the
11 NCCLS standard that has been around for a number of
12 years. It's sort of the national guideline for sample
13 collection, collection of blood samples from humans, and
14 it specifically describes, "However, it has been
15 documented that changes produced by contaminating
16 microorganisms can affect alcohol concentrations in blood
17 specimens even in the presence of preservatives. Blume
18 and Lakatua reported that various organisms isolated from
19 contaminated blood specimens were capable of producing
20 ethanol when inoculated into bank blood. Candida
21 albicans was particularly active in this regard,
22 producing significant quantities of alcohol even in the
23 presence of sodium fluoride. These investigators
24 recommended that fluoride," parenthetically, "10
25 milligrams per milliliter or .24 millimoles per

1 milliliter," and that corresponds to a concentration that
2 is usually expressed as one percent, "be used as a
3 preservative and that care should be taken to assure that
4 microbial organisms are not introduced into the
5 specimens."

6 Q. (BY MR. MCDONALD) That study dealt with blood
7 drawn from dead individuals, did it not?

8 A. There have been a large number of studies
9 dealing with people --

10 Q. That was not my question.

11 A. The Blume study?

12 Q. Yes.

13 A. I don't know if that's the case, but if I could
14 continue. There's one more, Winek and Paul.

15 THE COURT: Ma'am, I'm sorry. I need to
16 make a decision here about -- and I'm curious. This
17 paper that you're referring to, this NCCLS paper, what is
18 the date of that and where was it published?

19 THE WITNESS: NCCLS Number 17, Volume 14.
20 I'm not sure. I'll have to scroll back to the very
21 beginning to see when this actually, this version was
22 published, but it's been a long time.

23 THE COURT: Okay. And I thought I heard you
24 say that that was a study where they introduced
25 contaminants into the blood. Is that right?

1 THE WITNESS: Yes. When these are studied
2 empirically in the laboratory, they introduce
3 contaminants.

4 THE COURT: So the study that you're relying
5 upon is a study where potentially contaminants were
6 introduced? I presume the sample was not -- is that
7 right?

8 THE WITNESS: That's correct. We have to
9 use knowns.

10 THE COURT: And I presume that the sample in
11 that study also was not refrigerated?

12 THE WITNESS: There have been both.

13 THE COURT: Is that correct?

14 THE WITNESS: There have been studies with
15 refrigerated, studies with it unrefrigerated.

16 THE COURT: I'm talking about the one that
17 you're referring to.

18 THE WITNESS: Yes.

19 THE COURT: Is that right, it wasn't
20 refrigerated?

21 THE WITNESS: Both studies have been, both
22 types of studies have been --

23 THE COURT: What is that one, the one you're
24 talking about, do you know?

25 THE WITNESS: I don't know this particular

1 one.

2 THE COURT: Okay.

3 THE WITNESS: Let's see.

4 MS. GRANT: Judge, just for the record, I'm
5 not planning to ask her any questions about, that
6 Mr. Schwane testified about microorganisms possibly
7 causing ethanol to perform.

8 THE COURT: Okay. So this whole thing about
9 the sample integrity you don't plan on --

10 MS. GRANT: No. The sample integrity as far
11 as not following what the national standards are in using
12 a tube that does not have one percent, but he's already
13 gone into the reasons that that can be -- or that ethanol
14 can form in the tube. He already testified to that. I'm
15 not going to ask her to give an expert opinion on candida
16 albicans causing fermentation or any of those things,
17 just what is the best practice versus what was done in
18 this case. That's what -- that's what we're doing.

19 THE COURT: Okay. All right. Thank you.

20 Go ahead, Mr. McDonald.

21 MR. MCDONALD: I was just simply going to
22 state, Your Honor, under Rule 702, this lady's opinion
23 has to be distinguished from that of a layperson to an
24 expert. And if she has no knowledge base on which to

25 base that opinion, only reference to studies that she is

1 not familiar with, how can it be demonstrated that there
2 is a valid scientific basis for that opinion? And if
3 there is no valid scientific basis for that opinion under
4 Rule 702, then by definition under Rule 702, her opinion
5 is not helpful to this jury.

6 Taking counsel's argument that she has
7 already cross-examined a chemist on this subject, they
8 are equally well suited as her to make a determination as
9 to the weight to be given to that evidence. Her
10 testimony on the subject is irrelevant.

11 THE COURT: All right. Well, I'm trying to
12 take this issue by issue, but --

13 MS. GRANT: But, Judge, like I said, you
14 haven't even heard what Ms. Arvizu does for a living, so
15 I don't know how you can even make decisions.

16 THE COURT: Okay. We'll go on. Did you
17 have any other questions for the witness?

18 Q. (BY MR. MCDONALD) Well, moving along to the
19 sealed bag/not-sealed bag, what scientific published
20 peer-reviewed literature tells you that it must be sealed
21 in such a way that it was not, other than the standard
22 that you just referenced?

23 A. It is the standards that specifically require
24 provisions to ensure sample integrity.

25 Q. Such as an ASCLD/LAB sealing evidence delivered

1 into a secured location when the lab receives it?

2 A. Yes, exactly.

3 Q. Okay. Are you familiar with published
4 peer-reviewed articles that say that following that
5 process is not only appropriate but what happens in
6 laboratories that are ASCLD certified across this nation?
7 Is there something that says otherwise?

8 A. I'm sorry. I really don't understand your
9 question. Is --

10 Q. All right. Moving on to no written evidence of
11 color of reagents contained within the blood tubes, you
12 would agree that both potassium fluoride and sodium
13 oxalate are solid in the forms contained in these tubes?

14 A. They are.

15 Q. And they are unreactive? In the vacuum such as
16 inside of a gray-top tube?

17 A. In theory, they should be unreactive in those
18 conditions.

19 Q. Okay. Do you have any evidence to suggest that
20 the vacuum, integrity of that vacuum was not in place in
21 this case?

22 A. No. That's why --

23 Q. Okay. And that being the case, is there some
24 published peer-reviewed literature that would tell you
25 that visual inspection is required in the absence of any

1 suspicion that the tube's integrity has been violated?

2 Do you have any public peer-reviewed literature on the
3 subject?

4 A. As a quality auditor I evaluate whether or not a
5 laboratory complies with requirements for critical, what
6 are called critical reagents.

7 Q. Okay. That was not my question, ma'am. Is
8 there some scientific basis for your opinion that the
9 sample may have been miscolored when there's no evidence
10 that it was? It's a yes or no question.

11 A. I have no evidence as to what --

12 Q. Okay. Moving on to the temperature of the
13 sample and sample preservation. Is there some published
14 study that says when blood is tested within 48 hours of
15 the time it's drawn and that it's not kept at room
16 temperature, that the result is invalid? Do you have a
17 published peer-reviewed article that can assist this
18 Court on that subject?

19 A. In the case of unknown samples, we never know if
20 the results are correct or not or if the sample can be
21 considered representative at the time it was collected
22 unless all the things that can go wrong have been
23 appropriately controlled. Temperature is one of those
24 things.

25 Q. Okay. So you're speculating that there could be

1 a problem on the basis of no empirical evidence?

2 A. As a scientist in the field of quality
3 assurance, there are errors that are silent. There are
4 problems that occur with respect to sample integrity, for
5 example, or other things in the laboratory that are
6 silent and that an analyst won't necessarily be aware of.
7 That's why you put those controls in place.

8 Q. So I take it that --

9 THE COURT: Let's not talk over each other,
10 please.

11 MR. MCDONALD: I apologize, Your Honor. I
12 object to the witness being nonresponsive. It was a yes
13 or no question.

14 THE COURT: All right. Next question.

15 Q. (BY MR. MCDONALD) Moving on to method validity.
16 We've already established that you do not disagree that
17 Henry's law, been around since 1803, is a valid law of
18 science, and that gas chromatography applying it to the
19 testing for the presence of ethanol is a valid method.
20 Other than by speculation, how is it that you believe
21 that the validation studied in 2006 is not also valid in
22 2013? Do you have a published peer-reviewed article that
23 says the methods have changed since 2006?

24 A. Such an article will never be publishable.

25 MR. MCDONALD: Objection, it's

1 nonresponsive.

2 THE WITNESS: I don't know how to answer
3 your question when it's not plausible.

4 Q. (BY MR. MCDONALD) Okay. Moving on to serious
5 degraded prepared in-house reference solutions. Do you
6 have -- assuming for the sake of argument that valid
7 principles for diluting solutions, you know, we talked
8 about molarity, and there's a lot of chemistry we're not
9 going to cover in court today, but assuming that you take
10 a known standard, and based upon training and experience
11 it's diluted to a known value, and then confirmed to be
12 that value with an uncertainty to tolerances for the
13 testing method as established under ISO standards, is
14 there any published peer-reviewed materials that say that
15 that methodology is improper?

16 You already said it's a fundamental of chemistry.

17 A. No. No.

18 Q. Okay. Then in this case you agree that you were
19 not present when they were prepared?

20 A. No.

21 Q. That you have not been to the lab?

22 A. No.

23 Q. You have not inspected the samples?

24 A. No.

25 Q. You have not inspected the standard?

1 A. No.

2 Q. You have not inspected the instruments?

3 A. No.

4 Q. And so you have no empirical evidence on which
5 to base your opinion in this matter?

6 A. I base my opinion in this matter on the
7 contemporaneous records provided by the laboratory
8 documenting their practices at that point and time.

9 MR. MCDONALD: May I approach the evidence,
10 Your Honor, to show to the witness?

11 THE COURT: Yes.

12 Q. (BY MR. MCDONALD) Now, we've established that
13 you don't proclaim to be an expert in chemistry or
14 instrumental analysis, correct?

15 A. I am a chemist, and I have spent 30 years
16 reviewing the results of instrumental analysis.

17 Q. You previously told this Court that you don't
18 proclaim yourself to be a chemist?

19 A. My primary area of expertise is in quality
20 assurance as applied to chemical measurements.

21 Q. Do you recall your testimony in
22 United States V. Gomez in the United States District
23 Court for the District of Colorado as noted in a decision
24 filed on September 16th of 2011, and I quote: Consistent
25 with her expertise in auditing, not chemistry,

1 Ms. Arvizu's testimony is best understood as indicating
2 that she lacks sufficient information to be able to vouch
3 for the reliability of Ms. Ervay's (phonetic) results,
4 not as contending she performed the test incorrectly or
5 misread the results.

6 A. That --

7 Q. So now are you telling this Court --

8 A. That was not my testimony.

9 Q. Okay. Now, let me show you some exhibits that
10 have been previously placed into evidence. Defendant's
11 19, chromatograms in the case.

12 A. Yes.

13 Q. Okay. Defendant's 20, more data from this batch
14 run.

15 A. Yes.

16 Q. Okay. Defendant's 17.

17 A. Yes.

18 Q. Defendant's 13.

19 A. Yes.

20 Q. Defendant's 16.

21 A. Yes.

22 Q. Defendant's 18.

23 A. Yes.

24 Q. Defendant's 11.

25 A. Yes.

1 Q. You would agree with me that the jury is capable
2 of reading these documents and, based on testimony
3 provided at this trial, forming their own opinions?

4 A. If the jury happens --

5 Q. Since you're not an expert?

6 THE COURT: Please don't talk over the
7 witness.

8 THE WITNESS: If the jury happens to include
9 people who have at least been through an undergraduate
10 chemistry curriculum in terms of understanding the basic
11 principles of gas chromatography, if they all have an
12 understanding of gas chromatography at an undergraduate
13 level, then that would be a true statement.

14 MR. MCDONALD: Your Honor, at this time the
15 State would object under Rule 702. This witness has no
16 sufficient basis to offer an expert opinion helpful to
17 this jury as it pertains to the testing of this case.

18 THE COURT: I'm going to let Ms. Grant ask
19 her some questions.

20 MS. GRANT: Thank you, Your Honor.

21 CROSS-EXAMINATION

22 BY MS. GRANT:

23 Q. Ms. Arvizu, what is your professional
24 certification?

25 A. I'm certified as a quality auditor by the

1 American Society for Quality.

2 Q. And what is your employment background?

3 A. After graduate school I started working for one
4 of the operating contractors at a Department of Energy
5 laboratory at one of the national laboratories where I
6 established and managed a full-service analytical testing
7 laboratory. I was there for about 10 years. That was a
8 laboratory that had a full suite of analytical
9 instrumentation including several GCs.

10 My work there was everything from initially
11 procuring and starting up and validating the instruments
12 to ultimately hiring the people and conducting data
13 quality assessments, reviews of the data reported by that
14 laboratory.

15 I then started my own quality assurance
16 consulting firm and worked primarily for federal
17 agencies, served as program manager for the US Navy's
18 nationwide quality assurance program, evaluating and
19 approving the testing laboratories that did analytical
20 work for the navy, both government and commercial
21 laboratories, everything from I wrote the standard that
22 served as the basis for approving the laboratories, led
23 audit teams, conducting on-site audits of the
24 laboratories, and then once a laboratory was approved on
25 an ongoing basis throughout their service to the navy,

1 conducted data quality assessments of the results
2 reported by those laboratories. And in the event that
3 the data was of insufficient quality for the government
4 to make a decision, I brought that to the attention of
5 the navy so they could avoid paying for all that invalid
6 data and have the work redone.

7 Q. So did you take data from a laboratory, analyze
8 it, and render an opinion?

9 A. Routinely that's been my function for decades.

10 Q. Now, you -- did you author the quality standards
11 that are used by all navy laboratories?

12 A. Yes. I authored the quality standards that were
13 used to evaluate and approve the government labs and the
14 commercial labs that did testing work for the navy.

15 Q. Have you provided any training in this
16 particular field?

17 A. Extensive training in a lot of venues,
18 everything from field technicians doing sampling, to
19 bench chemists, to the engineers who use laboratory
20 results and needed to understand how reliable they were,
21 to lay audiences, lawyers, judges. I taught a session on
22 judging science to a group of appellate judges at Duke.

23 Q. Have you provided testimony as a quality or
24 laboratory quality assurance expert in court before?

25 A. Yes. At least 100 times in state, local,

1 federal, administrative, international courts.

2 Q. You've traveled out of the country to testify?

3 A. I have.

4 Q. And what fields of endeavor have you been
5 accepted as an expert?

6 A. When those kind of terms are used, I'm usually
7 introduced as an expert in the field of testing
8 laboratory quality assurance.

9 Q. And does that go from sampling, lab operations,
10 analytical procedures?

11 A. Yes. The scope of my testimony has addressed
12 everything from the collection of the sample in the
13 field, measurements made in the field, through the entire
14 testing process in the laboratory.

15 Q. Now, in this particular case you received data
16 from the lab -- well, from me, but from the laboratory,
17 and then eventually did you provide a list of additional
18 items that you wanted to look a little further into some
19 certain areas?

20 A. Yes.

21 Q. And then subsequent to that, did the lab turn
22 over additional data to you to make your final
23 conclusions about this case?

24 A. Yes.

25 Q. And based on the audit trail or the paperwork

1 from the lab to back up their opinion of what the blood
2 alcohol concentration was in this case, did you then
3 render your opinion?

4 A. Yes. In theory there should exist an audit
5 trail for work reported by a laboratory; that is, you
6 should never have to rely, ever, on anybody's memory of
7 what they did or their practice at that point in time.
8 All the steps in the measurement process should be
9 documented so that an independent scientist can actually
10 go back and recreate that work if necessary. That's a
11 real foundational premise for the practice of science.
12 We don't rely on anybody's memory. We rely on written
13 records and an audit trail as required by standards to
14 support that kind of a determination. That's what I
15 attempted to do in this case from the records that were
16 provided by the laboratory.

17 Q. Now, the exhibits that you were shown by the
18 State's attorney a few moments ago, are many of the
19 things that you reference in your decision-making process
20 in regards to the expired alcohol, 200-proof alcohol
21 certificate, the -- I think something that hasn't been
22 addressed is an issue with one of the pipettes, correct?

23 A. Correct.

24 Q. And there's a certificate regarding that, right?

25 A. Correct.

1 Q. And then there was another issue with regards to
2 an ampoule of alcohol that's used by labs, that there's a
3 certificate regarding that, correct?

4 A. That's correct.

5 Q. And then there are the chromatograms or the
6 calibration records for this instrument for November
7 which you have some opinions on, correct?

8 A. That's correct.

9 Q. And then there are the chromatograms from this
10 case, correct?

11 A. Yes.

12 Q. And then there are the batch run chromatograms
13 which show the .20 and the .08 chromatograms, and there
14 are some issues with those that you have an issue with,
15 correct?

16 A. That's correct.

17 Q. In fact, looking at the sum total of the whole
18 batch run, you formed an opinion with regards to
19 something that was going on in that particular sequence,
20 correct?

21 A. Yes. Yes. I've been reviewing gas
22 chromatography data since the 1980s.

23 THE COURT: Well, what's the opinion?

24 THE WITNESS: Excuse me?

25 THE COURT: What's your opinion?

1 THE WITNESS: There are a number of
2 significant quality control failures that render these
3 results quantitatively unreliable.

4 THE COURT: Why?

5 THE WITNESS: The use of an expired standard
6 material to prepare their calibration standards, the
7 presence of contaminants in the control samples that they
8 used. They should in fact have been pristine. They
9 should not have had anything unexpected or unknown
10 present in them if they had been appropriately handled
11 and managed in the laboratory.

12 THE COURT: What contaminants are you
13 talking about?

14 THE WITNESS: I'd have to show on the
15 chromatogram.

16 MS. GRANT: May I approach, Your Honor?

17 THE COURT: Yes.

18 Q. (BY MS. GRANT) I just want to show you on one of
19 the exhibits. I will put them up. I'll put it up on
20 that.

21 A. Okay.

22 Q. I'm showing you Defendant's Exhibit 20 being the
23 .20 control. This would be on page 2, and if I slide it
24 up to show the chromatogram.

25 THE COURT: Well, that's too close, at least

1 for me.

2 MS. GRANT: Can you see that? Is that too
3 close for you, Judge?

4 THE COURT: Yeah, I can't -- I mean, I can
5 see --

6 MS. GRANT: Is that better?

7 THE COURT: That's better, I guess.

8 Q. (BY MS. GRANT) All right. Ms. Arvizu, what
9 does that chromatogram demonstrate to you when you're
10 looking at what's up on the screen right now?

11 A. This laboratory used quality control samples,
12 two different quality control samples during the course
13 of their batch when they tested the unknown samples.
14 They didn't calibrate, but they used a couple of
15 different control samples. This is one of them. This
16 particular one is labeled as .20 control.

17 They provided a certificate of analysis from
18 Cerulean for a .20 sample, so I have to assume that
19 that's the one they use.

20 Notice that I have to assume. They don't
21 have an audit trail. They don't document that that lot
22 number of control was the one that they ran that day.
23 That's necessary. But even if I assume that that was the
24 case, you can see that there are these small peaks
25 present in this sample that are detectable on the

1 baseline. You can see them.

2 Q. If you touch the screen, you can actually
3 highlight them.

4 No, no, the screen in front of you.

5 A. Oh, okay.

6 THE COURT: Can you back it out a little bit
7 more, please.

8 MS. GRANT: Is that better, Judge?

9 THE COURT: Yeah.

10 Q. (BY MS. GRANT) You're talking about the various
11 little bumps?

12 A. All of these little bumps on the baseline. The
13 material when it's purchased from Cerulean, Cerulean is
14 accredited to ISO Guide 34. They are an established
15 reference material provider. They know how to do this
16 work properly. And they do analysis when they prepare a
17 batch and demonstrate that their materials are actually
18 acceptable, they are prepared in a traceable manner,
19 everything is documented and everything is in control at
20 the time that they prepare it.

21 When they provide as they do on their
22 certificate, a gas chromatogram, one on this standard,
23 there is nothing visible. These little peaks are not
24 there. They were not introduced at the point when
25 Cerulean made it. They appeared after the material was

1 used in this laboratory. That's an indication that there
2 is an external source of contamination somewhere in this
3 laboratory that introduced these materials to the control
4 sample.

5 Now, if the laboratory was handling and
6 using that material properly and if they had a well-
7 controlled instrument, that should not have happened.
8 That's evidence that their system is contaminated.

9 Q. Now, going on to the next one, and it was right
10 after it in the batch run, this would have been -- excuse
11 me. This was at the same time because there was on
12 Channel number 2, this was their .20 control, very same
13 control that we were just talking about. It was running
14 on the second column. It also has various unexplained
15 peaks, correct?

16 A. Correct.

17 Q. And that would be another indication of
18 contamination?

19 A. Yes.

20 Q. And our .08 control, also same batch run, a
21 bunch of peaks?

22 A. Yes.

23 Q. And if we went page by page on these, these in
24 the area that Josh Brent's blood was tested in and
25 everyone that should be esteemed references, there's

1 contaminations?

2 A. Yeah. I think it was run three times during the
3 course of the batch, and it always showed evidence of the
4 contamination.

5 Q. And even farther than that, at the end they put
6 in a negative control which should have just been water
7 and not n-Propanol, correct?

8 A. Yes. Negative control sample as prepared here
9 should have only had water and internal standard
10 n-Propanol.

11 Q. And here there's various peaks which would be
12 contamination, there's volatiles?

13 A. Yes. There's volatiles that went through the
14 column and were detected.

15 Q. On the other detector all of a sudden ethanol
16 pops up?

17 A. Yes. There's one of those peaks that was
18 showing up at the time that the lab was determined an
19 ethanol peak shows up.

20 Q. But it should have been gone into this
21 instrument as just water and n-Propanol?

22 A. That's correct.

23 THE COURT: What you just showed is what
24 page of what exhibit?

25 MS. GRANT: I'm sorry. That would be the

1 same exhibit we've been talking about is 20 and page 7.
2 Defendant's Exhibit No. 20, page 7.

3 Q. (BY MS. GRANT) So if we continued on each page
4 throughout the entire run of reference samples that were
5 in Josh Brent's run, the contamination shows up every
6 single time?

7 A. Yes.

8 Q. Now, would you attribute that to doing something
9 wrong or something going wrong in preparation of the
10 samples?

11 A. You know, that's the kind of thing that needs a
12 very intense investigative effort to determine, but it is
13 unlikely that it is being introduced in the instrument.
14 It's probably more likely that it's being introduced
15 during the presentive stage. That's just a guess, and
16 you would need to do testing to evaluate that. It's not
17 what is commonly referred to as carryover.

18 Q. Because it's consistent throughout the entire
19 run?

20 A. That's correct.

21 Q. Carryover is usually just between one vial and
22 the next?

23 A. That's correct. This is contrasted with
24 carryover. This is contamination.

25 THE COURT: Could I see Defendant's Exhibit

1 20, page 7.

2 Okay. Ma'am, just so I'm clear, do you
3 recall what we're talking about?

4 THE WITNESS: Yes. It's not up there, but a
5 chromatogram, if I'm reading this right, it shows .005
6 concentration. I'm reading it wrong, but it's a
7 measurable amount.

8 Yes.

9 THE COURT: A very minute.

10 THE WITNESS: Yes. The instrument measures
11 it because it appears at a high enough concentration.

12 THE COURT: Okay.

13 THE WITNESS: These other things weren't
14 even measured.

15 THE COURT: Under the conditions where they
16 set their data standards. All right.

17 THE WITNESS: You have the ability to set
18 those parameters.

19 THE COURT: Okay.

20 MS. GRANT: I have one final question,
21 Judge.

22 THE COURT: Go ahead.

23 Q. (BY MS. GRANT) And Ms. Arvizu, when you have
24 these peaks that are unidentified, the instrument is not
25 identifying them, is that because they are outside of

1 whatever four or five things the instrument has been
2 calibrated to look for?

3 A. Yes.

4 THE COURT: You just said it is
5 identifiable, but it's set so low and it's not
6 registered.

7 THE WITNESS: It's -- it would not be
8 identifiable by this instrument because GCs only identify
9 a peak when you give it instructions, when you calibrate
10 the instrument and tell it that something that comes out
11 at this retention time should be identified as this
12 compound. So in this case, the only reason the machine
13 said it was ethanol is because previously the analyst
14 essentially told the machine that anything that comes out
15 at this retention time should be identified as ethanol.

16 THE COURT: Okay. Any other opinions you
17 want?

18 Q. (BY MS. GRANT) I, in case you -- I'm not sure
19 how things came out in this communication. The other
20 pages say nothing because that instrument hasn't been
21 told what comes out at those times?

22 A. That's correct. The detector on this particular
23 GC is kind of a stupid detector. It's a flame ionization
24 detector. It simply detects the presence of something.
25 It's not capable of identifying what that compound is.

1 There's no structural information available from this
2 technique. It just burns it up and gives a signal. So
3 its only ability to assign an identity to a peak is if
4 you've run standards and told the instrument anything
5 that comes out of this retention time should be
6 identified as this compound.

7 THE COURT: All right. Any other questions
8 for this witness?

9 MS. GRANT: No, Judge.

10 THE COURT: Anything else from the State?

11 MR. MCDONALD: Judge, I have just a few that
12 I think will really assist the Court in understanding.

13 THE COURT: Go ahead.

14 MR. MCDONALD: May I have the exhibit?

15 THE COURT: Certainly.

16 REDIRECT EXAMINATION

17 BY MR. MCDONALD

18 Q. You would agree with me that, Ms. Arvizu, that a
19 two-channel gas chromatograph is better than a
20 one-channel gas chromatograph so far as identifying
21 compounds?

22 A. Sure. In terms of selectivity, single-column GC
23 is considered only a screening technique. Confirmation
24 requires the second column.

25 Q. And just to assist the Court in understanding,

1 you know, on this exhibit, we have different compounds
2 such as methanol. That's an alcohol with one carbon
3 atom, right?

4 A. Correct.

5 Q. Ethanol has two carbon atoms?

6 A. Yes.

7 Q. Propanol has three?

8 A. Yes.

9 Q. So their chemical structures are different so
10 they weigh more?

11 A. That's one of the results.

12 Q. That's one of the properties. The difference
13 between isopropanol and n-Propanol is isopropanol kind of
14 looks like the carbon is very soft and the n-Propanol has
15 a different shape and space?

16 A. Yes.

17 Q. Okay. You would expect that n-Propanol or
18 isopropanol would come off at about the same time because
19 they have basically the same chemical structures, they
20 are just arranged differently?

21 A. Not necessarily. That's based on an assumption
22 that it's purely a weight-driven phenomenon, and that's
23 only one of many, many factors that influence.

24 Q. And I'll give you that, since they're the same
25 basic compound, but there's a distinct difference in

1 between, say, methanol and isopropanol where they come
2 off a column?

3 A. Off this particular column.

4 Q. Okay. So we agree that methanol will not appear
5 at the same place as isopropanol on a gas chromatograph
6 such as this one used in this method; they come off in
7 different places, correct?

8 A. Yeah. I have to clarify that that's really
9 driven by the column that you're using and the operating
10 conditions that you're using. Everybody using the same
11 column doesn't get the same retention time.

12 Q. Correct. But although you're not getting the
13 same retention times, they are relative to each other
14 because gas chromatography, which we've already agreed
15 has been around for decades and it's reliable, is able to
16 distinguish in between volatile compounds, correct?

17 A. It is able. It's also capable of not being able
18 to distinguish, having two things come out at the same
19 retention time.

20 Q. And let's talk about that.

21 A. Okay.

22 Q. When we're dealing with a dynamic, we have
23 helium flow, we have a baseline, correct? Because there
24 are other compounds in air that when heated go into the
25 analysis, the stream. And what we're seeing here, these

1 compounds are clearly outside of this column, since you
2 said every column is different, we know where ethanol is
3 supposed to come off. These compounds are early for
4 ethanol, therefore, they cannot be ethanol?

5 A. I'm -- methanol cannot be ethanol? Sure.

6 Q. Those compounds are early for ethanol on this
7 column, meaning that they are not ethanol. These little
8 squiggly lines?

9 A. Oh. Okay, I understand. All right. That's
10 correct.

11 Q. Okay. Now, that's on channel 2. You have
12 already agreed with me that channel one, it's good to
13 have another channel, right?

14 A. It's necessary to have another channel.

15 Q. Okay. And we have these different compounds,
16 which you've already told the Court are different, they
17 come off of a given column at different times.

18 A. Yes.

19 Q. Okay. And so here what we've seen is n-Proponal
20 down here for this column, we know that's where it's
21 supposed to be?

22 A. Yes.

23 Q. We see ethanol here, we know that's where it's
24 supposed to be?

25 A. Yes.

1 Q. And then we have little blips in our baseline
2 down here that are too early for ethanol or too late for
3 ethanol?

4 A. Yes.

5 Q. Okay. So they are not ethanol?

6 A. They are not.

7 MR. MCDONALD: Okay. Then, Your Honor, I
8 think it's pretty clear that her opinion that the blips
9 on the baseline in this case, if they are not ethanol,
10 they couldn't have contributed to the ethanol score in
11 this case and therefore her testimony is irrelevant.

12 THE WITNESS: I'm sorry, but that
13 misrepresents my conclusion.

14 THE COURT: All right. I think I've got an
15 idea what everybody wants to put in front of the jury or
16 talk about.

17 Any more argument from the lawyers?

18 MR. MCDONALD: None from the State, Your
19 Honor.

20 THE COURT: Ms. Grant?

21 MS. GRANT: No, Your Honor.

22 THE COURT: Okay. Well, this testimony
23 about the sample integrity is proper testimony, about the
24 sample integrity, that the blood tube is not appropriate
25 or whatever her opinion was in that regard and the

1 percentage of sodium fluoride was not appropriate, or the
2 possibility of some kind of candida albicans kind of
3 contamination, the scientific theory that it's based
4 upon, I think what her scientific theory, what she's
5 proffering I think is very tenuous at best. And I think
6 the technique applying it here in this case is not valid,
7 so under the Kelly standard I'm not going to allow any
8 testimony about sample contamination. There's just no
9 basis for that at all.

10 The -- in the absence of any -- any kind
11 of -- well, I mean, I guess you can -- obviously she can
12 mention that the blood sample wasn't sealed individually
13 on the tube or whatever. We've already got testimony
14 about that. But any testimony that that's not the
15 scientifically valid way of SWIFS conducting their
16 business, there's no scientific basis for her to say that
17 that's not a valid way for them to conduct their
18 business.

19 I'm not going to allow any testimony about
20 them, about the nurse or whoever being required to -- or
21 speculation that the color additive changed color or
22 whatever when there's absolutely no evidence for that
23 whatsoever. I'm not going to allow any testimony about
24 that.

25 I'm not going to allow any testimony about

1 the temperature in the lock box not being appropriate
2 either because the evidence is that it was. It's pure
3 speculation on this witness's part to say otherwise, so
4 I'm not going to allow any testimony about that.

5 The -- for her, for this witness -- she
6 doesn't have a scientific valid basis, scientifically
7 valid basis either for saying that there's some kind of a
8 contaminant in the testing. But the one area that I will
9 allow is for her to talk about the fact that there is an
10 ethanol peak when the n-Propanol sample is put through.
11 She can talk about that.

12 MS. GRANT: I'm not under -- Judge, may I
13 respond?

14 THE COURT: Sure.

15 MS. GRANT: I'm not understanding what
16 you're saying there's no scientific basis for saying that
17 there is contamination. I don't think that I would ask
18 her to conclude something, but I think I should be able
19 to ask her what the possible reasons are for that or how
20 that can happen through the analysis because that's very
21 relevant in this case.

22 THE COURT: For her to speculate that that
23 happened here in this case, there's no basis for that at
24 all. I'm not going to allow that. There is no
25 scientific basis for her to suggest to this jury that

1 that sample was subject to some kind of, candida albicans
2 or some other kind of contaminant that elevated the blood
3 result.

4 MS. GRANT: That isn't what I was going to
5 ask her, Judge.

6 THE COURT: Well, what's the point of that
7 testimony?

8 MS. GRANT: If sample preparation, if the
9 samples are being contaminated during the sample
10 preparation process, which is not even actually in
11 question because you wouldn't have those chromatograms
12 come out the way they were if that was not happening, but
13 it calls into question the scientific validity of the
14 entire test run. And especially if you've got ethanol
15 showing up in a jar of water. So to try to isolate one
16 part of it, it's one testing sequence. It's not
17 individual things. It's one large testing sequence. And
18 all of the things that are going on are going on
19 throughout the testing sequence.

20 THE COURT: There's no scientific basis to
21 suggest that there was some kind of contamination in this
22 case. It's pure speculation.

23 MS. GRANT: Well, Judge --

24 THE COURT: Like I said, the -- what?

25 MS. GRANT: Well, something happened, and so

1 could I not ask what are the ways that that could occur
2 in a testing sequence? How is that not relevant, Judge?

3 THE COURT: Well, like I said, I will allow
4 inquiries about why there's an ethanol peak when, I think
5 when there is not otherwise an explanation for it. But
6 the other stuff I don't think there's a scientific basis
7 for. It doesn't aid the jury at all in understanding the
8 evidence that's been presented.

9 MS. GRANT: Well, with regards to the
10 standards, the .20, the .08 that we have there in
11 Defendant's Exhibit 20, can I go through each one and say
12 why, are these peaks supposed to be here?

13 THE COURT: Are you talking about, for
14 example, where it should be a .20 and it's a .196, is
15 that what you're --

16 MS. GRANT: No. I'm talking about the
17 testing of the chromatogram and the fact that there are
18 things coming out of that chromatogram that were not
19 supposed to be there.

20 THE COURT: You're talking about the bumps
21 on the baseline?

22 MS. GRANT: There are peaks that mean
23 volatiles were in that jar when it came through and were
24 detected on the detector. It's not supposed to be there.

25 THE COURT: I haven't heard this witness

1 explain how, with any kind of scientific basis, why that
2 would make the other testing not valid. It's not been
3 tied together. It's just pure speculation.

4 MS. GRANT: You want me to do that now?

5 THE COURT: Okay.

6 RECROSS-EXAMINATION

7 BY MS. GRANT:

8 Q. Ms. Arvizu, when you are looking at a testing
9 sequence like it's done in this case and you have all
10 these chromatograms to look at, what is the scientific
11 significance of seeing contamination or unexplained peaks
12 throughout the testing sequence?

13 A. It is profoundly significant because those
14 control samples were the laboratory's sole basis for
15 making a determination that they thought the results were
16 good enough to report. They base everything on the fact
17 that those control results were acceptable. The problem
18 is that the true value of those control results cannot be
19 considered to be known because we know that it was
20 contaminated. We know that the results that they got in
21 their measurement system are not the same as the
22 chromatogram provided by the manufacturer who prepared
23 that control sample. They got a completely flat baseline
24 with nothing else in it.

25 THE COURT: See, I'm not hearing any

1 connection here. Is she some GC expert that can say that
2 that somehow invalidates when a control sample is set for
3 a .2 and a test comes out .194? I'm not seeing any
4 connection there and so I'm not going to allow that
5 before the jury.

6 MS. GRANT: So I guess this is considered
7 our record.

8 THE COURT: That's, yeah, or you can make
9 more record later if you need to, but based on what I'm
10 hearing, so --

11 MS. GRANT: But we can talk about
12 contamination of ethanol being in the negative?

13 THE COURT: About what?

14 MS. GRANT: Contamination of ethanol being
15 in the negative, the blank?

16 THE COURT: Yes.

17 MS. GRANT: Okay.

18 THE COURT: All right. Okay. Are we ready
19 for the jury, then?

20 MR. MCDONALD: May we have a bathroom break,
21 since we're --

22 THE COURT: Yeah, that's fine. We'll come
23 back in ten minutes.

24 (Recess taken)

25 THE COURT: I will allow you to mention what

1 control sample was nine months expired.

2 MR. MCDONALD: Judge, if I could on that
3 issue, she said she's assuming that was the one that was
4 used but did not know whether or not that was the one
5 used for the sample, so wouldn't that not fall under
6 speculation?

7 MS. GRANT: No. This is -- that's a
8 different -- that's a standard. This is the calibrator
9 and that is the certificate.

10 MR. MCDONALD: That's not what her testimony
11 was. It was, and I quote, I don't know that they used a
12 different one, different retention time. She said that
13 she didn't know whether the certificate matched what was
14 used in the run.

15 MS. GRANT: That's the other certificate for
16 the ampoule. We're talking about the calibration.

17 THE WITNESS: The certificate for the
18 control sample I can't show was the one that was actually
19 used. I have a press log for the calibration solution
20 that says that they used the expired ethanol.

21 MR. MCDONALD: Well, and what the Court is
22 going to allow testimony on is the channel 3 control
23 sample, and you don't know that that was the one that was
24 used, it would seem that your testimony about a
25 certificate that may or may not be related to the expired

1 run, expired sample would be irrelevant.

2 MS. GRANT: There were two different things.

3 THE COURT: She said that that was the one
4 used.

5 Isn't that what you said?

6 THE WITNESS: Yes. That was the one they
7 used to calibrate the instrument.

8 THE COURT: Well, you can clear it up on
9 redirect, or whatever you want to do on redirect, but I'm
10 going to let her mention it.

11 MR. MCDONALD: Your Honor, I need to request
12 a motion in limine regarding the subject matter that you
13 ruled inadmissible but they just approached to the extent
14 that they think the door has been open to it.

15 MS. GRANT: I don't think we're going to
16 open up the door on what I just said.

17 THE COURT: Let's get the jury.

18 THE BAILIFF: All rise.

19 (Jury ushered in)

20 THE COURT: All right. Be seated, please.

21 Ms. Grant, you can go ahead.

22 MS. GRANT: Thank you, Your Honor.

23 JANINE ARVIZU,

24 having been previously sworn, testified as follows:

25 DIRECT EXAMINATION

1 BY MS. GRANT:

2 Q. Hi. Ms. Arvizu, can you introduce yourself to
3 the jury.

4 A. My name is Janine Arvizu, and I'm a laboratory
5 quality auditor.

6 Q. And what does a laboratory quality auditor do?

7 A. I work for clients who are using lab results to
8 make really important decisions, and then I help them
9 understand how reliable those decisions are based on
10 whether or not that laboratory complied with standards
11 that are designed to ensure good quality results out of
12 laboratories.

13 Q. How do you determine the reliability of a
14 result?

15 A. Basically I conduct the data on it. I go in and
16 look at the records that the laboratory generated when
17 they were doing their testing, and I make sure that
18 everything was working properly and that they followed
19 their own procedures, and that their procedures complied
20 with standards to make sure whether or not the results
21 are reliable. It's very similar to an IRS audit. You
22 can't just tell the IRS auditor I remember making that
23 charitable donation; you have to actually be able to
24 produce the records to prove how much money was the
25 donation for and who did you make it to and what date was

1 it made, all those kinds of details. The same thing
2 happens in a laboratory. If you didn't write it down,
3 you didn't do it. If you produce an audit trail, then
4 another scientist can come in after the fact and look at
5 your work and determine whether or not everything was
6 done properly and you can rely on the results.

7 Q. And what is your educational background?

8 A. I have a bachelor of science degree in
9 biochemistry from Cal Poly in San Luis Obispo,
10 California, and ABD in chemistry from the University of
11 New Mexico, which is not actually a degree. It means I
12 was in the Ph.D. program and I completed all of the
13 coursework and I completed all the exams, and preparation
14 of proposal in a defense, but I did not defend my
15 dissertation. I went to work.

16 Q. Now, do you have any professional
17 certifications?

18 A. Yes. The American Society for Quality is sort
19 of the professional trade organization for people who
20 work in the field of quality assurance, and I hold the
21 certification as a quality auditor from the American
22 Society of Quality. I've also been trained very
23 specifically in the assessment of laboratories to the
24 laboratory standards.

25 Q. And what is your employment background?

1 A. I started working for the Department of Energy
2 at one of the national laboratories. I was there for
3 about a decade, and I set up and then managed a
4 full-service analytical testing laboratory that conducted
5 testing on samples from Department of Energy sites. We
6 had a lot of instrumentation in that lab including a
7 number of gas chromatographs, which is the technique
8 employed in this particular case. So I've actually --
9 I've purchased gas chromatographs, I've used gas
10 chromatographs for testing volatile samples, which is
11 exactly the same techniques as used here, but more
12 importantly, I've spent about three decades reviewing the
13 results of gas chromatography testing and evaluating
14 their quality.

15 Q. Did you ever manage a laboratory quality
16 consulting firm?

17 A. Yes. When I left the Department of Energy
18 laboratory I set up a quality assurance consulting firm,
19 and we mostly worked for the federal government reviewing
20 the quality of work performed for government agencies. I
21 served as program manager for the US Navy's quality
22 assurance program and evaluated the labs that do
23 analytical work for the navy. This was the program that
24 they had to go through to be approved to do work for the
25 navy, both government and commercial laboratories.

1 Once they were approved, after we audited
2 them, then we evaluated the quality of the work that they
3 reported. So we on an ongoing basis evaluated their work
4 and made sure they were still adhering to all of the
5 requirements and producing work of acceptable quality.

6 Q. Did you author the quality standards that were
7 put into place in all the navy laboratories?

8 A. Yes. The document that served as the basis that
9 they all had to comply with in order to be approved to do
10 navy work, I wrote that.

11 Q. Now, have you ever trained anyone in this field?

12 A. I have over the years done a lot of in-house
13 training, a lot of training in continuing education
14 courses, everything from field technicians doing sampling
15 to bench chemists and bench technicians who do testing in
16 laboratories, to the engineers that actually use lab
17 result and need to understand how reliable they are, and
18 I've trained lawyers who by and large are not technical,
19 don't have science backgrounds for the most part, and
20 Judges, so pretty much a full range from lay people to
21 trained scientists.

22 Q. Now, have you testified before as a quality, a
23 laboratory quality assurance expert in court?

24 A. Yes, I have, in courts all over the country and
25 internationally. I've testified in federal

1 administrative hearings, military hearings, all kinds of
2 things.

3 Q. And what is the actual field of endeavor that
4 you typically testify as an expert in?

5 A. In the field of laboratory quality assurance.

6 Q. Now, where do you live?

7 A. I live outside Albuquerque.

8 Q. In New Mexico?

9 A. Yes.

10 Q. And did you review items that I provided to you
11 that came from the laboratory in this particular case?

12 A. Yes.

13 Q. Now, you're being paid to be here, correct?

14 A. I'm paid for my time to review data, to travel,
15 to testify, all my time.

16 Q. What is your rate?

17 A. 150 an hour.

18 Q. And do you consider yourself a forensic
19 toxicologist?

20 A. I certainly am not.

21 Q. And a specific forensic laboratory, have you
22 worked in one?

23 A. I have not worked in a laboratory that's sole
24 purpose is forensic testing. In the laboratory that I
25 manage for the Department of Energy, some of the results

1 may have ended up in court, but, which by its nature
2 makes it forensic, but that wasn't its sole purpose for
3 existence, or its reason for existence.

4 Q. And you've used gas chromatography before?

5 A. I have. I've bought the instruments, wrote the
6 specs for them, did the instrument testing to do
7 acceptance testing on the instrument once it was
8 installed in the lab, run samples, and for decades have
9 been reviewing the results of gas chromatography for
10 clients and data users to make sure that they were
11 acceptable.

12 Q. Now, when you say results, are you referring to
13 the chromatograms which are the pictures of what comes
14 off the machine?

15 A. That's part of it, a very important part of it.
16 It's -- the result from a gas chromatograph really isn't
17 the number, it's really that picture that you've probably
18 seen.

19 Q. Now, in this particular case, have you ever
20 visited this particular lab?

21 A. No, I have not.

22 Q. Have you ever met Mr. Schwane?

23 A. No.

24 Q. Are you basing your testimony today on the data
25 that was provided to you regarding this lab's procedure

1 methods and testing in this case?

2 A. That's correct, the materials that were provided
3 by the laboratory.

4 Q. Now, in talking about different kinds of labs,
5 forensic labs, analytical labs, whatever they are, in the
6 world of I guess forensic laboratories, those would be
7 laboratories that's sole purpose is to test things that
8 might be used in court; is that a correct definition?

9 A. Yes.

10 Q. Is there an overriding entity like the USDA or
11 something like that that sort of supervises all of the
12 forensic labs in the United States?

13 A. No. In the United States the labs that do
14 forensic testing, the crime labs that do testing in
15 various states and localities are typically set up at the
16 local level, at the state level or at the county level,
17 as in this case, or even at the city level. The only big
18 federal labs that you've probably heard of are the ones
19 like the FBI and the Drug Enforcement Administration
20 laboratories, the ATF labs, those kind of things. But
21 for the most part, forensic labs in this country are at
22 the local level, and in the vast majority of cases, they
23 are actually part of the law enforcement arena.

24 Q. Are labs -- are there various entities that do
25 accreditation of labs?

1 A. Yes. In the case of forensic laboratories,
2 there are three independent agencies that actually
3 accredit forensic labs. That means that they come in and
4 look at the laboratory and evaluate them and make sure
5 the lab complies with their requirements, and if they do,
6 they issue them a certificate of accreditation that has
7 to be renewed periodically.

8 The three are ASCLD/LAB, which is the
9 American Society of Crime Laboratory Directors/Laboratory
10 Accreditation Board; there's one called Forensic Quality
11 Services out of Florida; and there's an entity that's
12 called A2LA or the American Association for Laboratory
13 Accreditation. So in this country those are the three
14 agencies that actually issue accreditation to forensic
15 laboratories.

16 Q. Do the different ones have different -- well,
17 let me see if I understand correctly. Are some of them
18 more rigorous in their requirements than others?

19 A. That's very much the case. Historically,
20 ASCLD/LAB has used a manual that they wrote themselves
21 that they created that set their requirements for
22 forensic labs for crime labs in this country, and the
23 2005 version of the ASCLD/LAB manual is the one that this
24 SWIFS lab was accredited to at the time that the testing
25 in this case was done. The ASCLD/LAB requirements are

1 the least rigorous, the least demanding of any
2 accreditation requirements I've ever encountered in my
3 career. They are recognized as such in the technical
4 community by laboratories as being not very rigorous, and
5 that's been addressed very recently by ASCLD/LAB because
6 they decided they would start complying with the
7 international standards that all the other laboratories
8 comply with.

9 There are international standards, ISO
10 standards that labs all across the country comply with,
11 and ASCLD/LAB in recent years has been making a
12 transition to requiring the more rigorous standards, but
13 in the meantime they still have quite a number of labs in
14 this country that are accredited under what they call the
15 legacy program. They are old standards that were
16 significantly less demanding for the laboratories to
17 comply with.

18 Q. Could you tell by the paperwork, accreditation
19 paperwork that was turned over, where SWIFS stood in that
20 process at the time of this testing?

21 A. At the time of this testing, they were
22 accredited by ASCLD/LAB to the 2005 version of the
23 ASCLD/LAB manual. They had stated their intention to try
24 to come up to speed, to try to put in place all of the
25 controls necessary to comply with the international

1 standards, the ISO standards, but they weren't there yet.
2 And I would certainly agree with that based on my review
3 of the records in this case.

4 Q. Now, they have protocols and procedures at SWIFS
5 that are there that they go through some sort of manual
6 or something that they wrote, correct?

7 A. That's correct. The laboratory, you have the
8 written procedure that describes how they do their
9 forensic blood alcohol testing.

10 In this case, they had a procedure that was
11 put in place in September of 2012 that they should have
12 been following at the time that they were testing this
13 sample in December of 2012, and that procedure is very
14 much like -- it's like a recipe for testing. You want to
15 be sure that you've written down all the key steps, you
16 know, if it's necessary to grease the pan or if it's
17 going to stick, then you better tell the person in the
18 recipe to use a greased pan.

19 Well, the very same thing applies in the
20 laboratory. If it's important to getting good results,
21 then you better write it down in your procedure and have
22 all the detail necessary so that the next analyst can
23 come along and do it in exactly the same manner, that
24 you're all following the same procedure so you get the
25 same result.

1 Q. You want to look at the ASCLD labs that are
2 accredited in the United States, I'm sure there are a
3 certain number of them.

4 A. Yeah, on the order of close to 400.

5 Q. About 400 labs?

6 A. Nationally.

7 Q. If you were to think of them as like a school
8 district, within that accreditation, would there be some
9 labs that were more high performing and others that are
10 more low performing, kind of like schools; we have some
11 schools in the school district that are more high
12 performing and others that are low performing?

13 A. Sure. There are -- over the course of 30 years
14 of looking at laboratories, I have seen really great labs
15 and I have seen some really terrible laboratories. And
16 there are characteristics of great labs, the kinds of
17 controls and the scientifically inquisitive nature, you
18 know, they are really curious and try to figure things
19 out, and there are labs that are kind of just making the
20 donuts, just following the steps, just cranking the
21 samples through.

22 MR. MCDONALD: Your Honor, I would object to
23 the narrative form of the response and the lack of
24 foundation for this testimony.

25 THE COURT: All right. Sustained. Let's

1 move on.

2 Q. (BY MS. GRANT) So there is a difference?

3 A. There is a difference.

4 Q. So you had a chance to go through the setup of
5 this particular instrument that was used in this case,
6 and I think they call it their validation, correct?

7 A. Yes.

8 Q. And did the validation occur when they purchased
9 this instrument, and I think it was 2006 or something?

10 A. In 2006, they previously had been using a
11 different model of instrument from a different
12 manufacturer. In 2006 they purchased instruments, two
13 instruments from a company called Shimadzu, and were
14 putting those in place in the lab, and so they did what's
15 called a method validation study to essentially test
16 their method and see whether or not it was going to work
17 properly for the determination of blood in ethanol -- or
18 ethanol in blood.

19 Q. And I think in this case, if I'm looking at the
20 paperwork correctly, this was all done on what they refer
21 to as Instrument B?

22 A. Yes. That's what's relevant to this case was
23 Instrument B. They did some testing on A as well.

24 Q. Okay. So just talking about Instrument B, did
25 you go back and look at the methods they used to set up

1 this machine from the get-go?

2 A. The recipe, if you will, or the --

3 MR. MCDONALD: Your Honor, at this time I
4 object under Rule 401 and 702 as to foundation of this
5 testimony.

6 THE COURT: Can I see the lawyers, please.

7 (At the bench; off the record)

8 Q. (BY MS. GRANT) So, Ms. Arvizu, you were able to
9 look back over that testing?

10 A. Yes. Well, the report that they issued.

11 Q. And then you went forward to more recent times
12 and the things that that instrument was doing, correct?

13 A. Yes, in 2012.

14 Q. All right. Well, let's talk about why this
15 matters. I mean, what was the point of all of this?

16 When you buy a gas chromatograph, does it come out of the
17 box knowing where ethanol is?

18 A. No, it does not.

19 Q. How is the machine to know when something burns
20 off that little flame at the end of the testing
21 procedure, that, hey, this is ethanol? How does it know
22 that?

23 A. The machine never really knows that. The
24 machine only knows what you as the responsible analyst
25 tell it. Because you described it as a flame burning.

1 That's really all the detector is, it's just a flame
2 sitting there waiting to see what comes through the
3 column. And when any compound, any old hydrocarbon comes
4 through the compound and hits that flame, it just burns
5 it up. So it can't tell if it's one compound or if it's
6 a mixture of two compounds. All it says is something
7 came out and I'm going to burn it off and give a peak in
8 response. So in that respect, it's really not a very
9 clever detector. It just can say something is there and
10 it can't tell you what it is.

11 The way we as scientists try to figure out
12 what that is, is by essentially teaching the instrument.
13 That is, you take known solutions of known origin and
14 purities, and we know exactly where this came from and
15 exactly where it is, we've got a traceable system, all
16 the records exist, and we analyze that sample and we see
17 where that sample of ethanol comes through my system.

18 And I figure out, oh, it takes ethanol one and a half
19 minutes to go through my system the way I've got it
20 figured. That's an indication that anything that comes
21 through at one and a half minutes, that instrument is
22 going to say it's ethanol.

23 But the problem is that there are thousands
24 of volatile organic compounds. Ethanol isn't the only
25 thing that takes one and a half minutes to go through the

1 instrument. Other things will also come through at the
2 same time. So the way we get around that in chemistry is
3 by having a second column that has a little bit different
4 chemistry inside, so it mixes things up. Something that
5 used to come through really quickly, now it can come out
6 in only a minute, now it interacts with this long column
7 and it takes a long time to go through and now it takes
8 three minutes to come out of the column.

9 So if I get peaks at both of the expected
10 values as the retention time in my unknown sample, then I
11 can say I've identified ethanol, I've identified that
12 compound.

13 Q. Now, you talked about peaks. Peaks would be the
14 representation of what's burning with a flame, correct?

15 A. Yes, yes.

16 MS. GRANT: May I approach, Your Honor?

17 THE COURT: Yes.

18 Q. (BY MS. GRANT) I want to show you what has been
19 marked as Defendant's Exhibit 15 and ask you if you can
20 tell me what that looks like to you.

21 A. It looks like a chromatogram. That's just the
22 peaks that we have been talking about. This is what the
23 instrument prints out after each and every sample that
24 goes through the column.

25 Q. So in looking at this picture, would you think

1 that this would help the jury understand what we're
2 talking about if we could point to various things on it
3 to demonstrate the concept?

4 A. Yeah, I think it would.

5 MS. GRANT: Your Honor, I am tendering
6 Defendant's Exhibit 15. The State has previously
7 reviewed it before trial today, and ask that it be used
8 as Demonstrative 8 in this case.

9 MR. MCDONALD: No objection, Your Honor.

10 THE COURT: It's admitted.

11 (Defense Exhibit No. 8 admitted)

12 MS. GRANT: Your Honor, I would like to
13 publish this.

14 THE COURT: Granted. Would you like the
15 lights dimmed?

16 MS. GRANT: That would be great.

17 Q. (BY MS. GRANT) All right. So, Ms. Arvizu, in
18 just looking at this picture, this is sort of a picture
19 of what you're talking about with regards to the
20 chromatograph and peaks, correct?

21 A. Yes.

22 Q. Now, this line that is down the middle of this
23 one, indicating I guess that the peak is symmetrical; is
24 that correct?

25 A. Right.

1 Q. Why is that important?

2 A. This is what's called as the science of
3 chromatography, and chromatography is nothing more than a
4 separation science. And so what we are trying to do is
5 put in place a system for ensuring that we get enough
6 separation between in this case this peak and this peak,
7 and we compare that by what's called retention times.

8 Oh, I was trying to write but it didn't. This one --

9 Q. I did that.

10 A. Oh, thank you.

11 This one, it says injection right here.
12 That means that's when the sample got injected to the
13 instrument. And then the clock starts and we start
14 monitoring how long it takes.

15 In the very first part here, the detector
16 doesn't see anything, there's nothing there, nothing
17 there, nothing going through. But then when it gets to
18 here, right there, it starts saying oh, there's something
19 here, and it burns and burns and burns, and more and more
20 comes through, because it's not just one spot, it's a
21 whole slug of this stuff coming through. And so I get a
22 peak. That peak in this case is centered around this
23 almost at the middle retention time. So that's when we
24 talk about a peak that comes out at one minute, that
25 would be one minute, the center of that peak.

1 If you have good chromatography, good
2 separation, your system's been working the way you want
3 it to, this is what's called the bell curve, like the old
4 bell curve you used to get graded against in school.
5 Half of the scores are higher than the middle and half of
6 the scores are lower than the middle, and it's a nice
7 even shape.

8 What we do when we're trying to figure out
9 how much of something is present is we measure the area
10 under that curve, and that's what this one is trying to
11 show, that the computer in the gas chromatograph is
12 actually measuring the area under that curve, because
13 it's the area under that curve that tells you how much of
14 the stuff is present.

15 So we get information about what is there by
16 time, how long it took to come off of the column, and we
17 get information about how much is there by the area under
18 the curve.

19 In order to say anything like how much
20 ethanol is present in a sample, you have to be able to do
21 both of those things. You have to be able to identify
22 ethanol and you have to be able to be sure that it's
23 ethanol and only ethanol that the instrument is detecting
24 when it measures the area under that curve.

25 Q. So you're familiar with my Chex Mix example,

1 correct?

2 A. Yes, I am.

3 Q. Okay. So going back to the Chex Mix idea, see,
4 if you've got separate peaks, you're hoping in the best
5 case scenario, doing chromatography, that you're
6 separating out the pretzels, the Rice Chex and the Wheat
7 Chex, and everything is separated from each other, and
8 then once they're separated, you can figure out how much
9 they weigh or how much is there?

10 A. Exactly.

11 Q. Okay. Now, because the instrument doesn't come
12 out of the box inherently knowing this, how do you tell
13 it ethanol comes out, even though pretzels are here, the
14 Rice Chex is here? How do you tell the machine to know
15 those things?

16 A. That's what the laboratory was doing when it did
17 its method validation study back in 2006. What they did
18 was, for example, they did a selectivity study where
19 they --

20 MR. MCDONALD: Your Honor, I'm going to
21 renew the State's prior objection.

22 THE COURT: Let's move on.

23 Q. (BY MS. GRANT) When you go back and you review
24 calibrations, you've heard that the calibration on this
25 particular instrument was done in November, correct?

1 A. Correct.

2 Q. Okay. And then this testing was not done until
3 September -- or no, excuse me, December, but the
4 calibration, most recent one was in I guess November
5 21st?

6 A. November 21st, yes.

7 Q. Now, when they do that calibration, how do they
8 do that?

9 A. The calibration of the instrument is done by
10 using what are called calibrator solutions. That's
11 solutions you're going to need to teach the instrument.
12 So you use the solution. This should be a known origin,
13 we know where it came from and we know exactly what its
14 concentration is. And we inject that known solution to
15 the instrument and we see what kind of a peak we get in
16 response, we see what kind of location and the area of
17 the peak in response. And then we do a whole series of
18 these and we get a calibration curve, and we use that to
19 determine how much is present in an unknown sample.

20 The whole basis of calibration is that the
21 instrument operating conditions have to be exactly the
22 same as when you're running your unknown samples, exactly
23 the same, because otherwise the calibration doesn't
24 apply.

25 And that's why this was a problem in this

1 particular case, because they calibrated the instrument
2 weeks before they ran the samples in this case.

3 Q. Now, when you are talking about the calibrators,
4 that you take these knowns, and you're using the word
5 known, how do you know? I mean, how do you -- like if I
6 had my food scale and I'm going to say I'm going to make
7 sure this scale is working and I'm going to get my known
8 bag of pretzels and put them on the scale, how do I know
9 when I'm looking at half a pound of pretzels that that's
10 what's really in that bag?

11 A. The way we use that in laboratories is we use
12 what are called reference materials, standard reference
13 materials, and these are materials that are prepared
14 very, very carefully and with all kinds of controls on
15 the preparation process. We use devices that are called
16 pipettes to measure out the volume. We use reference
17 materials that are of known and documented purity. They
18 are traceable to the National Institute of Standards --

19 MR. MCDONALD: Your Honor, at this time I'm
20 going to object under Rule 701 and 401 and say this is
21 beyond the scope of admissible testimony.

22 THE COURT: Well, let's start trying it.
23 Let's move on.

24 MS. GRANT: May I approach, Your Honor?

25 (At the bench; off the record)

1 Q. (BY MS. GRANT) Ms. Arvizu, would you agree that
2 you've got to calibrate the machine before you can use
3 the machine?

4 A. If you don't calibrate it, you have no way of
5 figuring out the quantity of anything that's present.

6 Q. When you're doing these known solutions, when
7 you're going to calibrate this instrument, in November of
8 2012, and they are running them through -- I'm going to
9 show you Defendant's Exhibit 11.

10 MR. MCDONALD: I'm going to again object,
11 Your Honor. This is outside the scope of permissible
12 testimony. It does not deal with a single exhibit and
13 control.

14 THE COURT: Let me see the lawyers.

15 (At the bench; off the record)

16 Q. (BY MS. GRANT) Ms. Arvizu, in front of you,
17 Defendant's Exhibit 11, can you identify what that is?

18 A. This is a certificate of analysis by -- that was
19 produced by the company that prepared the ethanol
20 standard that this laboratory used to make their
21 calibration solutions that they prepared in 2012 to
22 calibrate this instrument, the calibration that they used
23 to run these samples. So this was the known ethanol
24 solution that they used to make the calibration standards
25 that they taught the instrument from.

1 Q. Okay. Is there anything about that certificate
2 that caused you concern?

3 A. There is, because the calibration solutions were
4 prepared in September of 2012. And according to the
5 manufacturer, this particular material expired in January
6 19th of 2012.

7 Q. And why -- okay, so it expired. Why is that
8 important?

9 A. In the laboratory we set shelf lives of all of
10 the consumables that we use essentially to protect us and
11 make sure that it's what we think it is, much the same
12 way that there's a shelf life on the milk in your
13 refrigerator, that protects you from drinking spoiled
14 milk. It doesn't mean that it's going to make you sick
15 the day after you drink the milk, but it might if you
16 didn't leave it in the refrigerator the whole time or if
17 you didn't store it properly.

18 And much the same thing applies in the
19 laboratory. The only way we as scientists can
20 have confidence that the measurements we're making are
21 reliable is to ensure that all of the materials are used
22 within their shelf life and that they are stored properly
23 and that they are used properly.

24 Using a reference material nine months after
25 its expiration date is not acceptable practice and it

1 renders their calibration unreliable.

2 Q. Now, when the score matters, when the result
3 matters, when the measurement matters, do you use expired
4 reference materials in your calibration?

5 A. You should not, no.

6 Q. Now, when they did the calibration in November
7 2012, that data, did they calibrate the machine? Did
8 they tell the machine here is the way you're supposed to
9 read whatever comes off the column, whatever burns the
10 flame, was based on using the material that was expired?

11 A. That's correct.

12 Q. So then when a test is done, relying on that
13 historical data in November, when a test is done in
14 December, it's still being impacted by that error?

15 A. That's correct.

16 Q. And what was the policy of this lab in regards
17 to how often they calibrated this machine?

18 A. At the time this work was done, they were
19 calibrating their instrument only once a month. That's
20 very infrequent. It doesn't meet national standards.
21 The Society of Forensic Toxicology is -- published
22 guidelines in 2006 to tell all forensic toxicologists
23 what they considered their minimum guidelines for doing
24 acceptable quality work, and they require calibrators in
25 every batch. And I've certainly reviewed results from

1 labs all over the country, many, many, dozens and dozens
2 of labs, and it's extremely unusual to see a lab that
3 does not calibrate daily with every batch.

4 Q. Now, and a batch is the tray full of samples
5 that all run; am I understanding what a batch is?

6 A. Yes. We don't, in the lab, we don't just run
7 one sample at a time. Typically you get a whole batch of
8 samples, a set of samples that are all prepared at the
9 same time and tested at the same time under the same
10 conditions, and we call that a batch.

11 Q. So this typical chromatogram is what you would
12 consider what good chromatography should look like,
13 because it's smooth line, identifiable peaks, symmetrical
14 peaks? Correct?

15 A. Yes. It's a schematic. It's not a real
16 chromatogram, but generally it shows the principle.

17 Q. Now, calibration is one thing. Then you have
18 what are called controls. What is a control?

19 A. A calibration is how we teach the instrument
20 what something should be. Controls are how we measure
21 whether or not the instrument system is getting the right
22 answer essentially on any given batch. So first we teach
23 it using calibrators, and then we check it using control
24 samples. So just like it was really important that the
25 calibrators be of known origin and purity, we have to

1 really know about the calibrators, we also have to really
2 know about the control samples that we're using to check
3 the instrument.

4 Q. Now, are the control samples made differently
5 than the calibrators?

6 A. In fact, they -- it's very important that they
7 be made differently than the calibrators. If you use the
8 same solution that you calibrate as the control sample,
9 there are all kinds of problems you would never be able
10 to detect, so it's really important that the control
11 samples be prepared separately from your calibrators.
12 The basic practice in terms of how they are diluted is
13 the same, but they need to be done starting with
14 different material.

15 Q. Does this lab do that?

16 A. They actually purchase their control materials
17 from an external provider, which is a good practice. The
18 place that they purchase them from is a very reputable,
19 respected manufacturer of these materials.

20 Q. When you open those materials, make your
21 control, your control batches or whatever you call them,
22 is there any problem once you've opened them that you
23 have to be aware of?

24 A. Yes. When you buy these materials from this
25 company, it's called Cerulean, they provide you with a

1 certificate of analysis. They're an accredited provider.
2 It's got a lot of detail on this certificate. It gives
3 you a chromatogram showing you what the DC results were.
4 It gives you a lot of detail about how they prepared
5 their material.

6 And these are provided in little glass
7 vials. They are actually solid glass. There's no lid to
8 unscrew like on a bottle. You actually have to break off
9 this little lid and open glass that way. And as the
10 manufacturer warns you on their certificate of analysis,
11 you have to use it immediately after opening. You can't
12 open it and then use it one day and use it the next day
13 and keep using it day after day because it's only valid
14 and they only warranty it as being good immediately after
15 you open it, because these reference materials are made
16 to such precise and exacting requirements.

17 Q. In this case --

18 MS. GRANT: May I approach, Your Honor?

19 THE COURT: Yes, ma'am.

20 Q. (BY MS. GRANT) You have a certificate, right,
21 that was provided to you?

22 A. Yes, there was a certificate of analysis for the
23 controls that this laboratory used.

24 Q. And that was from Cerulean, correct?

25 A. Yes. Cerulean was the manufacturer.

1 Q. And it was Defendant's Exhibit 18; is that
2 right?

3 A. Yes. This is actually a two-page document.
4 There's another page.

5 Q. With a chromatogram attached?

6 A. Yeah, that's correct.

7 Q. Now, on that particular document, does it
8 explain what you were talking about about the shelf life
9 or the expiration and those sorts of things?

10 A. It does. It says right at the very top
11 expiration date has been established through realtime
12 stability studies and applies to the ampoules stored
13 unopened at the recommended storage condition.

14 So they give you an expiration date here,
15 January 2017. Gosh, that's a very long expiration date.
16 As long as this is stored refrigerated in that little
17 sealed glass ampoule, then it's good for a very, very
18 long time. But the minute you open it, you've got to use
19 it right then or it's no longer any good.

20 It's kind of like the soy milk in my
21 refrigerator has an expiration date that's long, a couple
22 of months, but once you open it, you've got to use it
23 within a week or it goes bad. So the expiration date
24 only applies to the unopened material. This is the same
25 idea. The expiration date only applies to the unopened

1 material. They only warrant it to -- as being good
2 through its expiration date when it's unopened.

3 Q. Now, in this lab, were you able to determine
4 when they opened it and when they used it and when they
5 discarded it?

6 A. No. They did not document when the ampoule that
7 they used in this case actually was opened.

8 Q. So you have no way to know what happens with
9 these?

10 MR. MCDONALD: I'm going to object under 401
11 and 702 again. She just -- there's just no foundation.

12 THE COURT: Sustained. Let's move on.

13 Q. (BY MS. GRANT) There's no dated trail, is that
14 correct?

15 MR. MCDONALD: I renew the same objection,
16 Your Honor. The witness is speculating.

17 MS. GRANT: I didn't ask for speculating. I
18 just asked for a paper trail, Judge.

19 THE COURT: Let's move on.

20 Q. (BY MS. GRANT) So then did that cause you
21 concern?

22 A. It does.

23 MR. MCDONALD: Your Honor, it's the same
24 question.

25 THE COURT: Sustained. Let's move on.

1 Q. (BY MS. GRANT) Another -- and there were these
2 controls that we're talking about, there were several of
3 them in the batch run where Josh's sample was included;
4 is that right?

5 A. There were two different controls, one a .08 and
6 one a .2.

7 Q. Okay. Now, best practices with regards to the
8 soft guidelines or any others --

9 MR. MCDONALD: Again, Your Honor, this is
10 outside the scope of permissible testimony. It should be
11 limited to the negative control sample.

12 THE COURT: Let me hear the question again.

13 Q. (BY MS. GRANT) When you're running a batch run,
14 is it recommended that you run a what's called a
15 resolution matrix, that's one term, at the beginning of
16 the sample?

17 A. It is. This is what's called a volatile mix
18 sample or a resolution check sample, and it evaluates --

19 MR. MCDONALD: Again, Your Honor, there's no
20 scientific basis for this testimony.

21 THE COURT: Let me see the lawyers.

22 (At the bench; off the record)

23 Q. (BY MS. GRANT) The term resolution matrix, I
24 think you called it something else.

25 A. Volatile mix. It goes by a lot of different

1 names, but in practice, what it is, is a solution that
2 has a number of known volatiles that are known to be
3 present in blood samples, and it's a check on whether or
4 not -- oh, it's gone, but whether or not the peaks are
5 actually separated under the conditions that you are
6 running your samples, or whether they are on top of each
7 other and you won't be able to tell the difference.

8 Q. Okay. So if you go with the Chex Mix example,
9 if I stick the whole bag of Chex Mix in, this thing will
10 show whether it pulls everything apart?

11 A. Whether the Rice Chex and the Wheat Chex are
12 separate piles or whether they are mixed together so that
13 you can't tell them apart.

14 Q. Okay. Now, when this batch was run, was there a
15 resolution matrix or anything demonstrating that at the
16 time it was testing these samples, not just Josh's but
17 other people's, that it was able to separate out
18 volatiles?

19 A. No.

20 Q. Is that unusual in your industry?

21 A. It is very unusual. Again, I look at blood
22 alcohol results from labs all across the country, and
23 these are routinely run and in most state programs
24 routinely required.

25 Q. So when this batch was run, there's nothing that

1 can demonstrate this instrument and tell the difference
2 between ethanol and anything else?

3 A. Specifically, there is no way to tell a
4 difference between ethanol and acetone.

5 Q. Now, the beginning of this run was a control,
6 and now I think it was a .20, if I remember correctly,
7 and then maybe a .08, and then a bunch of people that had
8 samples in jars, correct?

9 A. Correct.

10 Q. And those are called headspace vials?

11 A. Yeah. The blood samples that are received by
12 the laboratory are little 4 mil, the ones in this case
13 were little 4 mil blood samples. That's not the sample
14 that gets injected into the instrument. What's called an
15 aliquot or a small amount of that sample, just a couple
16 of drops of that sample goes into a 20-milliliter vial
17 along with internal standard, and that's what goes on the
18 auto sampler for the instrument.

19 MS. GRANT: May I approach, Your Honor?

20 THE COURT: Yes. Yes, ma'am. You may
21 proceed.

22 Q. (BY MS. GRANT) I'm going to show you what has
23 been marked as Defendant's Exhibit 22, which were used
24 for demonstrative purposes yesterday.

25 THE COURT: Actually, you know, Ms. Grant,

1 let's take a break. I need a break. Let's take a break.

2 (Jury ushered out)

3 (Recess taken)

4 THE BAILIFF: All rise.

5 (Jury ushered in)

6 THE COURT: All right. Be seated, please.

7 Ms. Grant, go ahead.

8 MS. GRANT: I had just asked to approach.

9 Is that okay?

10 THE COURT: Yes, ma'am. Go ahead.

11 MS. GRANT: All right. Thank you.

12 DIRECT EXAMINATION (cont'd)

13 BY MS. GRANT:

14 Q. Ms. Arvizu, on Defendant's Exhibit 22, this is a
15 headspace vial?

16 A. Yes.

17 Q. Okay. And I'm going to show you what has been
18 marked as Defendant's Exhibit 33. Can you tell me what
19 this item is?

20 A. Yeah. That's an Eppendorf pipette. It's a
21 very, very common piece of laboratory equipment, and it's
22 used for making very, very careful measurements of a
23 particular volume of liquid.

24 THE COURT: Could you spell that, Eppendorf.

25 THE WITNESS: E-P-P-E-N-D-O-R-F.

1 P-I-P-P-E-T-T-E.

2 MS. GRANT: Your Honor, I would like to
3 offer what I've previously shown to the District
4 Attorney's office this morning as a Demonstrative 8.

5 THE COURT: I'm sorry. Was it 33?

6 MS. GRANT: Thirty-three.

7 MR. MCDONALD: The State has no objections
8 so long as its use is limited to demonstrative.

9 THE COURT: Demonstrative only. Go ahead.

10 MS. GRANT: May I reapproach?

11 THE COURT: Yes, ma'am.

12 Q. (BY MS. GRANT) Ms. Arvizu, can you tell the
13 jurors how you use this instrument.

14 A. This instrument actually is used in the
15 laboratory with little disposable plastic tips that are
16 manufactured specifically for this device, and the little
17 tips go on the end here. So when you're worrying about
18 contamination control, you also have to worry about where
19 you're storing your tips and how they might get
20 contaminated before you use them. You got to protect
21 them too. But these pipettes are used, they have a
22 couple of different stop settings. You can't tell it but
23 as I hit it, as I push down this plunger, there are
24 several different stops. You put the little plastic tip
25 on the end, and then holding it in a perfectly vertical

1 position, and putting the tip in just about a millimeter
2 or a couple of millimeters into the solution, you can
3 withdraw and essentially suck up a measured volume. And
4 they are designed what's called to deliver. That means
5 if they are used properly and you use the right technique
6 and everything, then the amount you squirt out is very
7 accurate and very precise.

8 Typically the tolerance or the inaccuracy on
9 one of these is a fraction of a percent. They are very
10 good if they are used at the right temperature because
11 they are only calibrated at a particular temperature, and
12 they use a good technique, and if you store them and
13 maintain them properly.

14 When a lab like Cerulean makes up their
15 reference materials, they use these devices to make their
16 dilutions, and they check the calibration of this pipette
17 immediately before they use it to measure out the
18 unknown. So they check it and they make sure it's
19 working properly before they use it to prepare a
20 reference material.

21 Q. Now, did you get documentation from SWIFS about
22 their pipettes?

23 A. Yes, I did.

24 Q. Now, do they have different pipettes that are
25 used for different things?

1 A. Yes. They have -- this particular one is a
2 50-microliter pipette, so it's only capable of measuring
3 out 50. They also have some that are variable volume,
4 you can set them at different quantities.

5 Q. And do pipettes have to be calibrated?

6 A. They absolutely have to be calibrated under
7 international standards, they must be calibrated prior to
8 use. Externally, an external calibration lab should
9 calibrate them at least once a year, and then the lab
10 should periodically check them as they are using them
11 because these are really precision instruments. It looks
12 like just a hunk of plastic, but they are subject to
13 being beat up in use and getting out of calibration, and
14 they need to be stored and used properly to prevent that.
15 If you're using them to prepare reference --

16 MR. MCDONALD: Your Honor.

17 THE COURT: Well, let's move on.

18 Q. (BY MS. GRANT) Okay. Did you receive
19 calibration certificates about some of the pipettes at
20 SWIFS?

21 A. Yes, I did.

22 MS. GRANT: Okay. May I approach, Your
23 Honor?

24 THE COURT: Yes.

25 MR. MCDONALD: Your Honor, again I renew the

1 State's objection under 702, 401, this is beyond the
2 scope of admissible testimony.

3 THE COURT: Can I see the lawyers, please.

4 (At the bench; off the record)

5 Q. (BY MS. GRANT) With regards to Defendant's
6 Exhibit 13 which is already in evidence, is this one of
7 the calibration certificates for one of the pipettes that
8 was being used at SWIFS?

9 A. Yes.

10 Q. Is it your understanding it was one of the ones
11 for reference solutions or controls?

12 A. Yes.

13 Q. When it was checked in August, was it found that
14 it was out of tolerance?

15 A. Yes. When they had an external company check
16 the calibration of this, they checked it when they
17 received it from the laboratory and found it to be what's
18 called out of tolerance; that is, it was not delivering
19 the volume that it should have been delivering when it
20 was received. They calibrated it, got it back performing
21 within specifications, and gave it back to the
22 laboratory. The laboratory put it in use. And then they
23 used it for six months before they did an internal check
24 on it. So their practice in the lab was to do one check
25 a year internally and to do it at the midpoint between

1 the external calibrations.

2 Unfortunately, that doesn't address the fact
3 that it was found to be out of tolerance. So their usage
4 practices were such that it was going out of spec, and
5 they would never have known that because they wait so
6 long before doing a check.

7 In addition, when they do their internal
8 checks, their tolerance is much larger. They accept much
9 more variability in the results than the external
10 provider.

11 Q. When results matter, is this the best practice
12 to engage in?

13 A. It is not.

14 Q. Now, there's a thing called an internal standard
15 that Mr. Schwane mentioned when he was here. Can you
16 explain what an internal standard is?

17 A. In GC, use of an internal standard in a blood
18 alcohol analysis is best practice, that's what
19 laboratories should do, and it means that in addition to
20 putting a little bit of blood in this sample, they also
21 put a much larger quantity of what's called an internal
22 standard. It's a compound that's chemically similar to
23 what you're testing for, ethanol, but it doesn't
24 interfere chemically with the ethanol. And what we do is
25 we add a known volume of internal standard to each and

1 every sample in the batch. We add it to the blank, we
2 add it to the control samples, we add it to the
3 calibrators, we add it to the unknowns. And -- well, my
4 sheets are gone, but what happens is that means that
5 there should be a reference peak for the internal
6 standard in every chromatogram so I can make my
7 quantitation by comparing the unknown ethanol peak to how
8 big it is in relation to that constant propanol peak.
9 It's a lot of chemical reasons why that is a really good
10 practice, and that is the practice in this laboratory to
11 use an internal standard.

12 Q. How important is it that your internal standard
13 be, when you're putting it in that little glass jar, be
14 very, very precise?

15 A. It's extremely important because the whole
16 principle of using an internal standard to quantify and
17 figure out how much ethanol is present is based on the
18 fact that you have to have exactly the same volume of
19 internal standard in every one of these vials. If you
20 have a lot of variability in that internal standard, then
21 your results simply cannot be reliable, because the whole
22 principle is based on having a fixed quantity present in
23 every single vial.

24 Q. Now, they -- according to the logbook from
25 SWIFS, did they prepare a brand new batch of internal

1 standards in November of 2012?

2 A. Yes.

3 Q. I believe, if I'm not mistaken, it was November
4 16th.

5 A. That's my recollection.

6 Q. And then they recalibrated, they had
7 recalibrated the machine on the 21st; that was the
8 calibration date?

9 A. The calibration that they used in this case was
10 November 21st.

11 Q. But do the records indicate that they actually
12 put the new internal standard into service on the 26th?

13 A. That's correct.

14 Q. So why is that important?

15 A. The reason that's important is they use a
16 completely different lot of internal standards to
17 calibrate their instrument than they did to make their
18 measurements. Their actual practice did not comply with
19 their own procedure. Their own procedure says every time
20 you use a new internal standard you must calibrate the
21 instrument. So they were still using the old one when
22 they ran this sample. Again, that's the whole foundation
23 for determining how much ethanol is present in your
24 unknown samples.

25 Q. When the results matter, is that the best

1 practice?

2 A. It is not.

3 Q. What is a Dispensette?

4 A. Dispensette is a trade name for a device that's
5 called a repipettor, and it's used in laboratories. It's
6 a very common piece of equipment, and it's the kind of
7 equipment that essentially would screw on top of a
8 bottle. There would be a little device on top of the
9 bottle, and then you would dispense out a fixed quantity
10 of what's in the bottle by pushing down on the lever on
11 top, and it would squirt out the side. It's very
12 commonly used in laboratories for dispensing what are
13 called reagents. That's something that's used in the
14 reaction, but it's not critical for standards.

15 Q. Okay. So like if I have a shampoo thing in my
16 shower that I can pump out shampoo into my hand, that's
17 basically what it does, it pumps out --

18 A. Yes, basically, yes.

19 Q. -- a substance?

20 A. Yes.

21 Q. Whatever you hook it to?

22 A. Exactly.

23 Q. Okay. So you said before reagents, when you
24 don't have to be particularly precise, you can just pump
25 that thing down?

1 A. Correct. There's a significant difference
2 between a Dispensette, which is designed for this kind of
3 repetitive thing, and an automatic pipette in terms of
4 their accuracy and their precision. So these
5 Dispensettes have -- are theoretically capable of
6 achieving accuracy tolerances on the order of a couple of
7 percent, where this is a fraction of a percent. So in my
8 experience, I have never seen any lab except this lab use
9 a Dispensette to dispense a standard reference material
10 ever in 30 years. I've never seen a laboratory do that.

11 Q. And they are doing that at SWIFS?

12 A. They do that at SWIFS.

13 Q. Now, is there a difference between precision and
14 accuracy?

15 A. There is a very important difference. They are
16 not the same thing. Just because the result is precise
17 doesn't mean it's accurate.

18 Q. Well, what's the difference?

19 A. Accuracy speaks to the -- whether or not a
20 result is close to the true value, whether there is any
21 bias. Precision simply speaks to whether or not you get
22 the same result over and over and over. You can have a
23 result that is very, very precise, that is you get the
24 same answer over and over and over, but it's still very
25 far from the true value.

1 Q. If I were to take a -- and put it on here, piece
2 of paper, and I want to draw a bull's eye for darts, I'm
3 not a very good artist, and I threw darts and hit here,
4 here, here, here and here, now, they were all very close
5 together, would that be a description of precision?

6 A. That's very good precision because I'm getting a
7 repeatable measurement, the same thing over and over, but
8 it's not very accurate. I'm not hitting the target, so
9 it's precise but not accurate. Often people think that
10 because I get the same result over and over, it must be
11 the right thing. This is the fallacy in that line of
12 thinking. Just because you get the same thing doesn't
13 mean it's accurate.

14 MS. GRANT: May I approach, Your Honor?

15 Q. (BY MS. GRANT) You've seen the four
16 chromatograms from this testing sequence?

17 A. Yes.

18 Q. And they involve basically one jar of prepared
19 Josh Brent blood specimen in one slot in the carousel?

20 A. Yes.

21 Q. And then another one later on in another
22 carousel?

23 A. Correct.

24 Q. In the first jar, when it gets sucked into the
25 machine, the air above it gets sucked into the machine,

1 it splits and goes through two columns; is that right?

2 A. That's correct.

3 Q. So it creates two chromatographs?

4 A. Correct.

5 Q. And that's where you get channel 1, channel 2?

6 A. Yes.e

7 Q. And then the second one, when its turn comes up
8 later on down the road, same thing, splits off, you get
9 two chromatographs?

10 A. It goes through the same two columns.

11 Q. And here you get a .189, .189, .189, .190?

12 A. Yes.

13 Q. Would that be a definition of precise?

14 A. Those are precise measurements because replicate
15 measurements give you essentially the same result.

16 Q. Now, if I were to say because of these all four
17 are very precise, does that tell you anything about
18 accuracy?

19 A. No.

20 Q. You have to look deeper?

21 A. Correct.

22 Q. Now, with regard to running them all together in
23 one tray in the same testing sequence, is that following
24 SWIFS protocol or was it not following their protocol?

25 A. That does not follow their own procedure that

1 was put in place in September. Under their own procedure
2 they were supposed to run the two samples in two
3 different batches. A sample and its duplicate should
4 have been run in different batches. That, in fact, as
5 written in their procedure, is a good practice that is
6 called for in standards, actually recommend that you do
7 that. Their own procedure says that they should do that,
8 but that was not done in this case.

9 Q. So a batch, if you have a tray full of vials
10 like those, a whole tray of them, and you say I'm going
11 to call the first half of it batch one and the second
12 half of it batch 2, is that two batches?

13 A. No.

14 Q. That's not two batches?

15 A. That's not two batches, that's one batch.

16 Q. Okay. Is two batches when you run an entire
17 tray and then later run another tray?

18 A. It's --

19 MR. MCDONALD: Your Honor, at this time I'm
20 going to object as to relevance. There's absolutely no
21 foundation why this testimony from this witness is
22 relevant.

23 MS. GRANT: If they are violating their own
24 protocol and procedures --

25 THE COURT: Ma'am, I don't need any sidebar

1 comments. I'm just going to rule on the objections.

2 Okay?

3 I'll sustain the objection. Let's move on.

4 Q. (BY MS. GRANT) So with regards to precision and
5 accuracy, those test results alone tell you nothing about
6 accuracy?

7 A. That's correct.

8 Q. Now, having done this as long as you've been
9 doing it, and reviewing all of these labs that you've
10 gone through, you talked about in the beginning the idea
11 of the school district with the high performing school
12 and the not great performing school. What is your
13 opinion of SWIFS in regards to their performance in
14 producing valid accurate scientifically reliable results?

15 MR. MCDONALD: And again, Your Honor, this
16 is outside the scope of the admissible questions.

17 THE COURT: I'll sustain. Let's move on.

18 MS. GRANT: Just a moment, Your Honor.

19 I'm going to pass the witness.

20 THE COURT: All right. Folks, we're up
21 against the lunch hour. It's noon, it is straight-up
22 noon, so we'll break again for an hour and 15 minutes,
23 and resume at 1:15. We'll resume at 1:15. Okay.

24 THE BAILIFF: All rise.

25 (Jury ushered out)

1 (Lunch recess taken)

2 THE COURT: All right. Is everybody ready
3 to go? Folks in the gallery, if I can get your attention
4 a little bit. The jury has been complaining about the
5 number of in and outs from observers, people coming and
6 going. So, you know, I put something out on the door
7 about that, about please do your best to minimize your
8 time coming in and out. If it looks like there's a whole
9 bunch of people going in and out, then we may just
10 basically close the courtroom so once you're in, you're
11 in until next break, and obviously you'd be out until
12 next break as well. So please do your very best to
13 minimize the number of ins and outs. Thank you.

14 THE BAILIFF: All rise.

15 (Jury ushered in)

16 THE COURT: All right. Ms. Grant, go ahead.

17 MS. GRANT: Judge, I think I passed the
18 witness.

19 THE COURT: Oh, I'm sorry. You did.

20 Cross-examination.

21 MR. MCDONALD: The State has no questions
22 for this witness, Your Honor.

23 THE COURT: Okay. Ma'am, you can step down.
24 May this witness be excused?

25 MR. MILNER: Without objection from the

1 State, well, subject to recall by the Defense.

2 THE COURT: Ma'am, you can step outside.

3 Thank you.

4 THE WITNESS: Thank you.

5 (End of excerpted testimony)

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

1 THE STATE OF TEXAS * IN THE CRIMINAL
 2 VS. * DISTRICT NO. 1 OF
 3 JOSHUA PRICE-BRENT * DALLAS COUNTY, TEXAS

4 I, Crystal R. Jones, Official Court Reporter in
 5 and for The Criminal District Court No. 1 of Dallas
 6 County, Texas, do hereby certify that the following
 7 exhibits constitute true and complete duplicates of the
 8 original exhibits, excluding physical evidence, offered
 9 into evidence during the Proceeding Title in the above-
 10 entitled and numbered cause as set out herein before the
 11 Honorable Robert D. Burns, Presiding Judge of The
 12 Criminal District Court No. 1 of Dallas County, Texas.

13 I further certify that the total cost for the
 14 preparation of this Reporter's Record is \$713.00
 15 and will be paid by Honorable Macy Jagggers.

16 WITNESS MY OFFICIAL HAND on this, the 25th day
 17 of February, 2014.

18 _____
 19 Crystal R. Jones, CSR
 20 Texas CSR No. 8040
 21 Expiration: 12/31/2012
 22 Official Court Reporter
 23 CRIMINAL DISTRICT COURT NO. 1
 24 Frank Crowley Courts Building
 25 133 Riverfront Boulevard
 Dallas, Texas 75207
 (214) 653-5903