

1                   IN THE UNITED STATES DISTRICT COURT  
2                   FOR THE DISTRICT OF COLORADO

3 Criminal Action No. 10-CR-00567-MSK

4 UNITED STATES OF AMERICA,

5           Plaintiff,

6 vs.

- 7 1. COSME MOISES GOMEZ-PAZ,  
8 2. SERGIO ABRAHAM BELTRAN,  
9 3. RAFAEL PELAYO-ESCARDA,  
4. ESEQUIEL MEZA-TORRES, and  
5. LOUIS ARMANDO CELAYA,

10           Defendants.

---

11                   **REPORTER'S TRANSCRIPT**

12                   (Continued Rule 702 Hearing)

---

13  
14                   Proceedings before the HONORABLE MARCIA S. KRIEGER,  
15 Judge, United States District Court for the District of  
16 Colorado, commencing at 9:14 a.m., on the 18th day of August,  
17 2011, in Courtroom A901, United States Courthouse, Denver,  
18 Colorado.

19  
20  
21  
22  
23  
24 Proceeding Recorded by Mechanical Stenography, Transcription  
25           Produced via Computer by Paul Zuckerman, 901 19th Street,  
            Room A259, Denver, Colorado, 80294, (303) 629-9285

**APPEARANCES**

1  
2 JAMES BOMA, Assistant U.S. Attorney, 1225 17th Street,  
3 Suite 700, Denver, Colorado, 80202, appearing for the  
4 plaintiff.

5 SOLETTE MAGNELLI, Special Assistant U.S. Attorney,  
6 U.S. Drug Enforcement Administration, Office of Chief Counsel,  
7 8701 Morrisette Drive, Springfield, Virginia, 22152, appearing  
8 for the plaintiff.

9 MITCHELL BAKER, Attorney at Law, Mitchell Baker &  
10 Associates, 1543 Champa Street, Suite 400, Denver, Colorado,  
11 80202, appearing for Defendant Gomez Paz.

12 JEFFREY EDELMAN, Attorney at Law, 19201 East  
13 Mainstreet, Suite 203, Parker, Colorado, 80134, appearing for  
14 Defendant Beltran.

15 ROBERT DRISCOLL, Attorney at Law, 455 Sherman Street,  
16 Suite 310, Denver, Colorado, 80203, appearing for Defendant  
17 Pelayo Escarda.

18 MATTHEW GOLLA, Assistant Federal Public Defender , 633  
19 17th Street, 10th Floor, Denver, Colorado, 80202, appearing for  
20 Defendant Meza Torres.

21 BRUCE BROWN, Attorney at Law, 1630 Miner Street, Idaho  
22 Springs, Colorado, 80452, appearing for Defendant Celaya.

23 \* \* \* \* \*

**PROCEEDINGS**

24  
25 (In open court at 9:14 a.m.)

1           *THE COURT:* Please be seated.

2           The Court is convened today in Case No. 10-cr-5667,  
3 which is encaptioned the United States of America vs. a number  
4 of defendants. This is a continuation of a Rule 702 hearing.  
5 And I'll have everyone enter their appearances as I read the  
6 names off the caption.

7           For the United States of America?

8           *MR. BOMA:* Good morning. Jim Boma appearing on behalf  
9 of the United States. And with me at counsel table are Solette  
10 Magnelli and Mr. Scott Oulton of the DEA.

11          *THE COURT:* Good morning and welcome.

12          *MR. BOMA:* Good morning.

13          *THE COURT:* For Cosme Moises Gomez Paz.

14          *MR. BAKER:* Good morning, your Honor. Mitchell Baker.  
15 I'm here with Mr. Gomez Paz, who is seated in front of me.

16          *THE COURT:* Good morning and welcome.

17          For Sergio Abraham Beltran.

18          *MR. EDELMAN:* Good morning. Jeffrey Edelman for  
19 Mr. Beltran. And with your permission, I'd like Ms. Arvizu to  
20 sit at counsel table as court-appointed quality control  
21 consultant and expert.

22          *THE COURT:* Any objection?

23          *MR. BOMA:* None, your Honor.

24          *THE COURT:* All right. Good morning and welcome.

25          For Rafael Pelayo Escarda.

1           *MR. DRISCOLL:* Good morning, your Honor. Bob Driscoll  
2 present with Mr. Pelayo, who is seated at my side.

3           *THE COURT:* Good morning and welcome.

4           Esequiel Meza Torres.

5           *MR. GOLLA:* Good morning, your Honor. Matthew Golla  
6 appearing on behalf of Mr. Meza Torres, who is seated to my  
7 right at counsel table.

8           *THE COURT:* Good morning and welcome.

9           Luis Armando Celaya.

10          *MR. BROWN:* Bruce Brown present for Mr. Celaya, who is  
11 present in custody and using the services of a Spanish  
12 interpreter.

13          *THE COURT:* Good morning and welcome.

14          And could I have our interpreters enter their  
15 appearances, too, please.

16          *INTERPRETER CAHILL:* Good morning, your Honor. Susan  
17 Cahill and Ruth Warner, for the record.

18          *THE COURT:* Good morning and welcome.

19          Does anyone object to the qualifications of Ms. Warner  
20 and Ms. Cahill to serve in this capacity?

21          *MR. BOMA:* No, your Honor.

22          *THE COURT:* From the defense, I'm seeing shaking  
23 heads.

24          *MR. EDELMAN:* No, your Honor.

25          *THE COURT:* All right. Would you please administer

1 the oath.

2 (Interpreters sworn.)

3 THE COURT: Thank you.

4 Before we begin, we have several motions.

5 MR. BROWN: Can we just have one second to change out  
6 a headset, your Honor?

7 THE COURT: Sure.

8 MR. BROWN: Thank you.

9 Thank you, your Honor.

10 THE COURT: Thank you.

11 We have several motions that were filed after the  
12 prior hearing that was continued to today. We have Docket  
13 No. 205, the Government's motion *in limine* in preclude  
14 anticipated testimony of the defense expert, and I think a  
15 response has been filed. Yes.

16 Is there any further argument you want to make?

17 MS. MAGNELLI: Your Honor, there has also been a reply  
18 filed. And unless the Court wants additional information, I'm  
19 willing to rest on that.

20 THE COURT: No, I don't need any more information.  
21 Thank you.

22 MR. EDELMAN: Your Honor, Mr. Beltran has no further  
23 argument to make.

24 THE COURT: Thank you.

25 I deny the motion. I deny the motion on several

1 grounds. First of all, Ms. Arvizu's report is not the limit of  
2 her testimony in this hearing. Her report delineates the  
3 testimony she may offer at trial. It does not effect her  
4 testimony in this hearing.

5           Secondly, the Government's argument that she will not  
6 be able to present evidence that is relevant to the 702  
7 determination is something I'm not willing to decide at this  
8 point. We'll hear the testimony from Ms. Arvizu. If it's  
9 relevant, I'll consider it; if it's not relevant, the  
10 Government will have an opportunity to object.

11           Any need for clarification or further explanation?

12           MR. EDELMAN: None from the defendant, your Honor.

13           MS. MAGNELLI: No, your Honor.

14           THE COURT: Okay. Then we have Docket No. 207, the  
15 Government's motion to quash Defendant Celaya's *subpoena duces*  
16 *tecum* with regard to Ralph Keaton. The Government denies  
17 that -- the Government asks for quashing of thea *subpoena duces*  
18 *tecum* dated July 6, 2011. I deny that motion because the  
19 Government doesn't have standing to bring the motion.

20           However, there is a motion by the party who was  
21 subpoenaed, Docket No. 214, and that is the American Society of  
22 Crime Laboratory Directors and Laboratory Accreditation Board;  
23 and I've had an opportunity to look at that motion and the  
24 response.

25           Is there any further argument that needs to be made?

1           *MR. BROWN:* I will note, your Honor, Mr. Eid is here  
2 for the subpoenaed witness.

3           *THE COURT:* Thank you.

4           *MR. BROWN:* No. I have no further argument. I will  
5 submit on the request for subpoena to be issued and the  
6 responsive pleadings.

7           *THE COURT:* Mr. Eid, is there anything further you  
8 want to say?

9           *MR. EID:* May it please the Court, Troy Eid for  
10 ASCLD/LAB.

11           *THE COURT:* Would you come to the microphone. I can't  
12 hear you back there.

13           *MR. EID:* Absolutely.

14           Thank you, your Honor. For the record, may it please  
15 the Court, Troy Eid, Counsel for ASCLD/LAB and Mr. Ralph  
16 Keaton, Executive Director.

17           I think the grounds were set forth, your Honor, in our  
18 motion to quash. Just a couple points I'd like to make.

19           What I really want to emphasize is that this is  
20 totally unprecedented. This organization has been around since  
21 1982. It's the first time it's ever been served with a federal  
22 subpoena since 1982 anywhere in the United States.

23           And it is our position that this is essentially a  
24 substitute, an improper substitute for discovery; that it's an  
25 abuse of the process in terms of Rule 17(c). We think that

1 the -- it's very clear that the defendant has the burden here.  
2 They've got to establish the *Nixon* standards for relevance.  
3 It's not relevant. The accreditation process is not going to  
4 help the defendant in terms of demonstrating whether the  
5 substance was meth.

6 The accreditation that was actually done, your Honor,  
7 was completed on -- DEA was notified on October 15 of 2010 that  
8 the accreditation was going to issue from ASCLD/LABs; but the  
9 testing was done, as you know, November 8 and 10. That was the  
10 Chan testimony on April 26, the Irby testimony. So it  
11 didn't -- the protocol was not involved in these documents  
12 being sought by the subpoena. The testing agent was certified  
13 on the day in question, which we think falls within the context  
14 of the precedent of *Gonzales Acosta*.

15 And in terms of the 702 issue, we'd just simply say  
16 that Irby testified that the testing was pursuant to the  
17 protocols of the DEA and the SWGASD, not related at all to the  
18 accreditation process.

19 So given the lack of relevance, the fact that it's not  
20 admissible at this point in time, they can't just get it for  
21 impeachment purposes, and that it's not specific, it seems to  
22 fall within the fishing expedition concept. We would  
23 respectfully suggest that both in terms of precedents and the  
24 public policy that this motion to quash be granted. Thank you,  
25 your Honor.

1           *THE COURT:* Thank you.

2           Any reply?

3           *MR. BROWN:* Thank you, your Honor.

4           Your Honor, this material is sought for two  
5 proceedings, obviously for this proceeding, which is why the  
6 Court is taking it up at this time; and also ultimately if a  
7 trial occurs in this case, we think it will be helpful at  
8 trial.

9           As far as the relevance of the subject matter, it is  
10 relevant in both proceedings for the following reasons:

11           Obviously, as a threshold, the People [*sic*] would have  
12 to prove at trial that the substance is in fact  
13 methamphetamine, similar to the analysis that is occurring in  
14 this hearing.

15           The Government sought to introduce at the inception of  
16 this hearing on the direct of Ms. Irby and shield themselves in  
17 terms of reliability and quality of analysis by citing  
18 ASCLD/LAB as a body which monitored the Western Regional Lab  
19 for compliance with the SWGDRUG standards, so the Government  
20 was the one who put forth the evidence. We seek -- or I seek  
21 on behalf of Mr. Celaya to investigate and produce evidence as  
22 to whether or not the Western Regional Lab was, as they assert,  
23 in compliance with the SWGDRUG standards by going to the source  
24 material that they have cited in order to bolster their  
25 credentials.

1           The timing involved is important because the reason it  
2 was put forth by the Government was to establish that on the  
3 day of the analysis that this lab had been accredited. Almost  
4 like the character of a witness, the lab's character is being  
5 put forth as establishing reliability, credibility, and  
6 methodology.

7           So I think that it is very important despite the fact  
8 that the on-site investigation of ASCLD/LAB took place prior to  
9 the testing -- it is important, in giving this court  
10 information for the purposes of this hearing, to determine  
11 whether or not, in fact, they are in accord -- that acted and  
12 tested in accordance with the standards of SWGDRUG as monitored  
13 by ASCLD/LAB on the date of testing.

14           Thank you.

15           THE COURT: Thank you.

16           The legal standard with regard to subpoenas issued by  
17 a criminal defendant on a third party is set forth in *United*  
18 *States vs. Nixon*, 418 U.S. 683, a 1974 Supreme Court decision.  
19 The burden of establishing these elements is on the party  
20 seeking enforcement of the subpoena in accordance with *United*  
21 *States vs. Morris*, 287 F.3d 985, a Tenth Circuit, 2002  
22 decision.

23           The factors that the Court considers are whether the  
24 materials are evidentiary and relevant, whether the materials  
25 are not otherwise procurable reasonably in advance of trial by

1 the exercise of due diligence, and whether the requesting party  
2 cannot properly prepare for trial without such production and  
3 the failure to obtain such inspection may tend unreasonably to  
4 delay the trial and whether the requesting party's application  
5 is made in good faith and is not intended as a general fishing  
6 expedition.

7           It's sometimes difficult to distinguish between what  
8 transpires and the purposes of a Rule 702 hearing and what  
9 transpires and the purposes of a trial.

10           This hearing is not for the purpose of determining  
11 whether the evidence that the Government wants to present as to  
12 the testing of the substance it concludes is methamphetamine is  
13 a good opinion. It is not to determine whether or not the lab  
14 was accredited. It is not to determine whether or not the lab  
15 followed particular protocols that attach to accreditation. It  
16 is instead to determine whether Ms. Irby's process was a  
17 reliable methodology for determining whether the substance was  
18 methamphetamine or not.

19           I therefore find that what has been requested here,  
20 documents and documentation pertaining to the Western Regional  
21 Lab between the dates of February 1, 2010, and July 1, 2011, is  
22 not relevant to this proceeding. It may be relevant at the  
23 time of trial because it may go to the weight of admissible  
24 evidence if, indeed, the determination is made. It may go to  
25 the credibility of Ms. Irby in her opinion; but it does not

1 pertain to the reliability of the methodology that she used.

2           In evaluating that methodology at our last hearing, I  
3 defined the scope of what we were going to look at in light of  
4 what had been produced by the Government. We are assuming that  
5 Ms. Irby is a chemist who performed the chemical analyses that  
6 she did and described in her testimony at a lab that was not a  
7 government lab. The question is whether her methodology is a  
8 reliably methodology for determining the existence of  
9 methamphetamine.

10           I am not assuming that certification or compliance  
11 with protocols in place at a DEA lab bear on this issue at all.

12           As a consequence I grant the motion to quash but  
13 acknowledge that the fact that this information may be relevant  
14 at the time of trial and the defense may in the course of time  
15 between now and the time of trial, if the foundational showing  
16 is made for Ms. Irby's opinion -- may seek the same information  
17 for that purpose.

18           Any need for clarification or further explanation?

19           *MR. BROWN:* No, your Honor. Thank you.

20           *MR. BAKER:* Your Honor, I do have one question, if I  
21 could ask the Court for clarification.

22           *THE COURT:* Yes.

23           *MR. BAKER:* In the Court's earlier ruling concerning  
24 the fact that the Court was not going to consider the DEA  
25 standards -- and I'm looking at page 132 and 133 of the

1 transcript.

2           *THE COURT:* Right.

3           *MR. BAKER:* The Court indicated that the Court will  
4 regard that testimony, referring to Ms. Irby's, as being a  
5 description based upon her experience and her testimony that  
6 this comports with industry or discipline standards. And maybe  
7 I missed the import of the ASCLD testimony, but I thought that  
8 the ASCLD testimony actually was testimony about what those  
9 industry standards consist of and was part of that whole --

10           *THE COURT:* Mr. Baker --

11           *MR. BAKER:* Yes.

12           *THE COURT:* -- what that refers to is her testimony  
13 that she complied with standards.

14           *MR. BAKER:* Right. But the difficulty we're left with  
15 is that as with the DEA protocols where the Court said, I'm not  
16 going to consider whether you -- your testimony that you  
17 complied with DEA protocols, if those protocols aren't  
18 produced, because I have no way -- speaking in your voice --  
19 that you had no way and the defense had no way of knowing what  
20 those protocols were. My confusion comes from the fact that it  
21 seems that we're in the same boat with the ASCLD standards,  
22 which, while she may say she's complying with those, we don't  
23 have any way of knowing what they are.

24           *THE COURT:* I think that's correct.

25           *MR. BAKER:* We would ask the Court to totally

1 disregard any statements that her -- and maybe that's what you  
2 said earlier: that any statements or any testimony by Ms. Irby  
3 that her testimony complied with ASCLD standards that nobody is  
4 willing to produce for us should also be disregarded.

5           *THE COURT:* My understanding is ASCLD certifies,  
6 certifies labs, accredits labs, and tests, does sample testing  
7 to make sure that labs are meeting the standards for  
8 accreditation. That's why there is a difference between her  
9 stating that I did this for an accredited lab, which I'm  
10 disregarding -- that's the whole point of saying I'm ignoring  
11 that this was done by a DEA lab. I did not understand her to  
12 say in reviewing the testimony that her testing was done in  
13 accordance with standards that were applied by ASCLD, because I  
14 don't understand ASCLD to apply standards, only accreditation.

15           *MR. BAKER:* May I have just one moment, your Honor?  
16 Because I'm confused.

17           *THE COURT:* Or maybe I'm confused.

18           *MR. BAKER:* No, no. That's less likely.

19           *MR. EID:* Your Honor, may I respond to that?

20           *THE COURT:* Sure.

21           *MR. EID:* Your Honor, just for the record, your  
22 summary is correct. And it's important to understand that the  
23 standards that are used in accreditation by ASCLD/LABS are ISO,  
24 International Standards Organization, standards; they're not  
25 the standards that were talked about with respect to SWGASD and

1 so on. That's just a misunderstanding.

2 So for the record, your summary is spot on. Thank  
3 you.

4 *THE COURT:* Thank you. Maybe I'm not as confused as I  
5 thought.

6 *MR. BAKER:* Your Honor, could I ask for about a five-  
7 minute recess to try to find what I'm looking for?  
8 Specifically what I'm looking for -- and before I say something  
9 that's completely wrong, I thought Ms. Irby testified about  
10 specific documents that were ASCLD documents that set out --  
11 and I think one of them was 17-something or other; that the  
12 testing had to be consistent with --

13 *THE COURT:* I don't think we need to take a recess in  
14 order to deal with this. You're perfectly free to look through  
15 the record as we proceed with the cross-examination.

16 *MR. BAKER:* Perfect.

17 *THE COURT:* -- of this witness. And I understand that  
18 you may want to renew your request or clarify your request  
19 sometime later in the hearing.

20 *MR. BAKER:* Thank you, your Honor.

21 *THE COURT:* Thank you.

22 All right. Mr. Eid, I think that takes care of your  
23 issue. And you're welcome to remain and observe, if you'd  
24 like. Otherwise, if you have other business to attend to, I  
25 certainly understand.



1 Q. And --

2 *THE REPORTER:* Sir, could you pull that microphone  
3 toward you.

4 *THE COURT:* You can just pull the microphone, if you  
5 want.

6 There you go.

7 *BY MR. DRISCOLL:*

8 Q. And have you had a chance to review the testimony since we  
9 recessed?

10 A. I have.

11 Q. I'm going to refer -- I'm going to try to pick up just  
12 before we recessed. And so if you need to refresh regarding  
13 those topics please, I invite you to stop me so that you can  
14 have a chance to review.

15 In your testimony, Mr. Oulton, you had -- you had  
16 referred to different labels or categories of tests. And I'm  
17 going to review those very briefly for the record so that we  
18 can then go forward.

19 First of all, as I recall, I had asked you about the  
20 Marquis reagent test; and there was testimony to the effect  
21 that that was a presumptive test. Do you recall?

22 A. Yes. Color test is a presumptive test.

23 Q. And can you describe for the Court what is a presumptive  
24 test as opposed to something like a confirmatory test?

25 A. Well, in the point of a color test example, when we add the

1 sample or this reagent to the sample, it changes the color.  
2 And there is many different compounds that turn that color, a  
3 certain color; so that's why it's considered presumptive. Its  
4 not highly discriminatory. It can give us a class of the  
5 compounds that exist. So, for example, in this case  
6 orange/brown would be indicative of an amphetamine-type  
7 compound.

8 Q. But it would not be an adequate test for you to -- you or a  
9 qualified examiner to render an opinion to a reasonable degree  
10 of scientific certainty concerning the identity of the  
11 substance tested.

12 A. By itself, no; but that's why we do additional testing,  
13 because the aggregate of all the testing show that the  
14 substance is what it is.

15 Q. And my question to you was: The Marquis reagent test by  
16 itself does not give you adequate data to render an opinion to  
17 a scientific degree -- a to a reasonable degree of scientific  
18 certainty. Can you answer that yes or no?

19 A. No. We can render an opinion as to the class of compound  
20 but not to the substance itself.

21 Q. So you can't identify the substance?

22 A. Not on the color test alone, no.

23 Q. Thank you. And then you also referred in your testimony to  
24 a qualitative test. Can you tell us or tell the Court what a  
25 qualitative test is, please?

1 A. Essentially, actually, of all these are qualitative tests;  
2 but, for example, in SWGDRUG, we have categories of testing.  
3 Category A would be the most discriminatory type test. That  
4 would be such things as mass spectrometry, infrared  
5 spectrometry, NMR, nuclear magnetic resonance, and X-ray  
6 diffractometry. Those are the types of tests that have the  
7 most highly discriminatory power.

8           Next we would have Category B.

9 Q. Excuse me.

10 A. Yes.

11 Q. Before you proceed to the categorization of Category B, can  
12 you tell us these categories, these Category A and Category B  
13 of your examination techniques, these are categories of types  
14 of examination techniques?

15 A. We have classified them into such categories as methods and  
16 techniques used, yes.

17 Q. And when you use the term "we," are you referring to  
18 SWGDRUG?

19 A. That's correct.

20 Q. So the governing body, SWGDRUG, has sorted tests and  
21 categorized them as to preference methodologies?

22 A. You said "governing bodies." SWGDRUG is really a  
23 scientific working group. And we're made up of scientists that  
24 get together to recommend minimum standards for the  
25 identification of drugs.

1 Q. And now if you could please proceed the Category B tests.

2 A. Yes. Category Bs would be something a little less  
3 discriminatory. Some of those things would be things like  
4 HPLC, as in the prior testimony; GC; microscopic crystalline  
5 tests would fall into Category B, and things such like that.

6 Q. And the microcrystalline test specifically is the test that  
7 I had asked you in reviewing, I believe it was Exhibit 1, and  
8 the freestanding results as to whether or not that test had  
9 been performed by Ms. Irby?

10 A. According to her notes and -- it was not performed.

11 Q. And are you aware of any directive by the Drug Enforcement  
12 Administration Laboratory supervising hierarchy that had  
13 instructed laboratory examiners not to physically look at a  
14 microcrystalline -- look at the crystals to identify them and  
15 compare them with a known?

16 *MS. MAGNELLI:* Your Honor, I'm going to object just  
17 because anything DEA isn't relevant per the Court's order.

18 *THE COURT:* Response?

19 *MR. DRISCOLL:* Your Honor, I believe that the  
20 testimony, the earlier testimony, was that -- that the  
21 microcrystalline test had fallen into disfavor and that it was  
22 no longer in use. And I'm trying to find out why it's not in  
23 use.

24 *THE COURT:* All right. Well, I'm going to sustain the  
25 objection.

1           MR. DRISCOLL: All right.

2           THE COURT: We're assuming for purposes of  
3 determination of the reliability of the methodology used by  
4 Ms. Irby that she was testing at some location other than a DEA  
5 lab, she was not complying with DEA protocols, or there were no  
6 protocols; and therefore, what the DEA's perspective is with  
7 regard to this isn't relevant.

8           MR. DRISCOLL: Your Honor, I -- I -- may I exchange  
9 with the Court?

10          THE COURT: Sure.

11          MR. DRISCOLL: Your Honor, if the basic issue is the  
12 reliability of the tests -- and I believe that prior testimony  
13 has established that it is a method of comparison of known to  
14 unknown when you actually look at the substance itself. The  
15 testimony is that -- we have in the exhibits and in the  
16 testimony scientific tests that were performed by machines. I  
17 believe it's also been established that there has been no  
18 actual examination, confirmatory tests performed by a scientist  
19 on the substance except -- but for the scientist reading the  
20 results of the mass spectroscopy, etc. and there has been no  
21 hands-on examination of the tests other than the reagent test,  
22 which is a nonspecific test. It's a presumptive test.

23          THE COURT: Right.

24          MR. DRISCOLL: And so I'm trying to find out with  
25 regard to the -- with regard to the microcrystalline test and

1 the relevance of that and the industry standards concerning the  
2 microcrystalline test as to -- as to whether or not the  
3 instrumental examination of the substance creates that  
4 reliability, for the test to go forward, for the Court to make  
5 a ruling.

6 *THE COURT:* Well, you can ask that. But it doesn't  
7 have anything to do with DEA policy. And that's how your  
8 question was phrased.

9 *MR. DRISCOLL:* Thank you, your Honor. I'll reframe.

10 *MR. EDELMAN:* Your Honor, I'd going to join in the  
11 Government's objection and ask that this -- my client be  
12 allowed to withdraw from any further exchange between this  
13 counsel and this witness, if we're allowed to do such a thing.

14 *THE COURT:* I have no idea what you're asking,  
15 Mr. Edelman. I'm confused.

16 *MR. EDELMAN:* I don't want any further evidence that  
17 comes out of this witness to be held against my client with  
18 this exchange.

19 *THE COURT:* I'm not sure you can do that.

20 *MR. EDELMAN:* Me, either.

21 *THE COURT:* You allowed these defendants to join in  
22 your hearing, a new 702 hearing; and you had no opposition to  
23 that.

24 *MR. EDELMAN:* I understand.

25 *THE COURT:* And now we're at the hearing.

1           MR. EDELMAN: I understand.

2           THE COURT: And I think you waived any right to  
3 separate the interests of your client from the interests of the  
4 other defendants for purposes of this hearing.

5           MR. EDELMAN: I understand. I still don't -- I do  
6 still join with the Government's objection to that last  
7 question.

8           THE COURT: All right. Thank you.

9 BY MR. DRISCOLL:

10 Q. Mr. Oulton, are you aware of any directives that were in  
11 place at the time that these samples are tested by Ms. --

12           MS. MAGNELLI: Your Honor, again I'm going to have to  
13 object. "Directives" is basically asking the same question  
14 without saying the word "DEA." If she's -- if the Court is not  
15 going to consider whether she was following any protocol, then  
16 directives don't matter.

17           If the defense wants to ask the witness about  
18 microcrystalline tests in general, it's one thing.

19           I would also suggest it's irrelevant because that  
20 wasn't done here and Ms. Irby is not on the stand and she did  
21 the testing, and she would be the appropriate witness to  
22 testify as to why she didn't use it.

23           THE COURT: Thank you. Response?

24           MR. DRISCOLL: Your Honor, I objected to this witness.  
25 I objected to this witness' testimony completely. If the Court

1 would refer to page 143 of the prior transcript on the very  
2 grounds that it seems we're here today: that the Court is  
3 saying that the industry standards aren't relevant; that the  
4 American Society of Crime Lab Directors standards aren't  
5 significant; it's whether or not the testing procedure was  
6 reliable. And yet when I attempt to examine the witness  
7 regarding -- concerning reliability, it seems that I'm in a  
8 Catch-22 situation there.

9 *THE COURT:* Any reply?

10 *MS. MAGNELLI:* No, your Honor. I mean it is true that  
11 he objected to this witness; but it was always clear that he  
12 didn't perform the tests. He is looking at the data as another  
13 competent chemist and testifying about that. He doesn't know  
14 why Ms. Irby did or did not do the tests. He is talking about  
15 the tests that were done. He can also talk about SWGDRUG as  
16 the chair. So these have been what the defense has asked  
17 about. They asked about the microcrystalline test in the past.  
18 But at this point, I don't understand how it's relevant to this  
19 hearing. It wasn't done. He's answered questions about it.  
20 It's less discriminating. I would ask the Court to uphold the  
21 objection.

22 *THE COURT:* All right. We can have a series of  
23 objections with regard to relevance, and that can proceed  
24 throughout this hearing. Technically, the Rules of Evidence do  
25 not apply in this hearing. Technically, the Court sorts

1 through the evidence that's presented and only considers that  
2 is which is relevant. I have tried to share with you that  
3 which I believe is relevant to this determination; but from  
4 this point forward, I'm not going for entertain any more  
5 motions or objections for determination of relevance. I will  
6 simply determine that in my ruling.

7 Please proceed.

8 *MR. DRISCOLL:* Thank you.

9 *BY MR. DRISCOLL:*

10 Q. In your earlier testimony, you testified that the  
11 microcrystalline test -- you didn't say "test" -- the  
12 microcrystalline is considered a Category B examination I  
13 assume. It is corroborative information, but it's no longer  
14 considered a qualitative Category A technique?

15 A. I think I can clarify --

16 Q. Do you remember the testimony? That was the question.

17 A. It was similar to that. Not exactly that.

18 Q. I'll read and see if you remember it, then.

19 "The microcrystalline is considered a Category B. It  
20 is corroborating information, but it is not no longer  
21 considered a qualitative Category A technique."

22 Do you remember that answer?

23 A. It is considered a Category B; and yes, I do -- I remember  
24 that answer, yes.

25 Q. All right. By whom is it not -- no longer considered a

1 qualitative Category A technique?

2 A. By SWGDRUG. The members 20-plus members voted and voted  
3 that it falls under the Category B because it is less  
4 discriminatory.

5 Q. I beg your pardon?

6 A. Because it is less discriminatory.

7 Q. And when was that vote?

8 A. That was actually before I even became on the board of  
9 SWGDRUG; so it's been probably, I'm going to say, in the early  
10 2000's.

11 Q. Was that opinion communicated to your subscribing members?

12 A. Absolutely. In all forensic science meetings that we  
13 attended, that they attended at the time, it was communicated.  
14 The voices of the all the microcrystalline test analysts were  
15 able to be heard and considered. And ultimately we determined  
16 it fell under Category B.

17 And just to clarify, you don't actually look at the  
18 molecule itself under the microscope. You're doing something  
19 very similar to a color test. You add a substance to a  
20 chemical that produces a reaction. And you actually are  
21 looking at a certain formation of a crystal, so if it's a  
22 cross, if it's a pyramid, if it's this. That's what gives you  
23 the indication. But you're not looking at the molecule itself.  
24 You're looking at the formation of a crystal, which could be in  
25 some cases subjective. That's why it falls under Category B.



1 Q. Yes.

2 A. -- is that the question?

3 They use several items. They consider ISO 17025 in  
4 their accreditation program. They've actually drafted  
5 supplemental requirements that supplement the 17025.

6 And they also have a -- as far as discipline, I  
7 wouldn't say necessarily discipline; but there is a code of  
8 ethics that they promote. They would hold laboratories  
9 accountable to their accreditations. So if, for example, they  
10 didn't meet certain requirements, they would revoke  
11 accreditation. So there would be some forms of revoking  
12 accreditation when they're not meeting what they say they're  
13 supposed to meet.

14 Q. So I take it that the answer to your [sic] question, is  
15 that the supplement to ISO 17025 does set forth industry or  
16 discipline standards for the testing of methamphetamine.

17 A. They are additional requirements that ASCLD uses to  
18 accredit laboratories.

19 Q. And when someone testifies that they're in compliance or  
20 that their laboratory has an ASCLD certification, implicitly  
21 they're saying that their testing meets those standards set  
22 forth in the supplement to 17025. Is that correct?

23 A. The process of ASCLD/LAB is once they've accredited a  
24 laboratory, that is what that means: that they're meeting those  
25 set of standards. That laboratory is meeting those set of

1 standards.

2 Q. So the accreditation process incorporates the industry  
3 standards or the discipline standards that ASCLD has set forth  
4 in those documents. Is that correct?

5 A. I'm not sure about the way you phrased it, but ASCLD/LAB  
6 uses those documents to accredit laboratories.

7 Q. And those documents contain standards for which the lab has  
8 to conduct its testing; is that correct?

9 A. Yes, and it's a voluntary process to be accredited by  
10 ASCLD/LAB, and it is those standards that they choose to  
11 accredit to.

12 MR. BAKER: I don't have any further questions. Thank  
13 you.

14 THE COURT: Thank you.

15 Anyone else?

16 MR. EDELMAN: Nothing further from Mr. Beltran, your  
17 Honor.

18 THE COURT: Redirect?

19 **REDIRECT EXAMINATION**

20 BY MS. MAGNELLI:

21 Q. Mr. Oulton, I want to clarify a few things right off the  
22 bat, and then I'm going just to ask you a few questions about  
23 some of the questions you were asked on cross.

24 Just to clarify, we use the word "standard" a lot in  
25 this hearing. Can you clarify the difference between an ISO

1 standard, a standard that's used during active analysis, and a  
2 reference standard?

3 A. Yes. We do interchange those words quite a bit.

4           When I use the word -- when we've used the word  
5 "standard" an in accreditation standpoint, we call those lines,  
6 those items as standards. We actually call them clauses or the  
7 ISO 17025 requirements. So that's what we meant when we refer  
8 to those.

9           When we refer to reference materials, for example,  
10 using known verified methamphetamine hydrochloride, we commonly  
11 refer to those as standards. They're better referred to as a  
12 reference material, something that's been verified,  
13 authenticated, proven to be, and then used in further testing.

14           Did I cover them all?

15 Q. The last one is a standard one might use during actual  
16 testing.

17 A. I'll not sure I follow.

18 Q. I just want to make sure that we now have all the  
19 definitions of "standard" that you've been using.

20 A. Yes. I think -- well, those are ones I can think off the  
21 top of my head.

22 Q. When you say "reference materials," these are the types of  
23 things you talked about you can find in a science journal?

24 A. "Reference materials," I was referring to actually  
25 purchasing them from known vendors that sell methamphetamine,

1 for example, that we can use to compare to. And those would  
2 even be used in reference materials or reference journals. So  
3 we would go to scientific journals and see that they've used  
4 standards or reference materials to make their conclusions.

5 Q. Okay. I want to clarify the difference between ASCLD/LAB,  
6 International Organization of Standardization, and SWGDRUG.

7 Can you clarify those three entities succinctly?

8 A. I will try.

9           Starting with the Scientific Working Group for the  
10 Analysis of Drugs, they are an independent organization that is  
11 devised of several, 20-plus, forensic scientists from across  
12 the world. And their mission is to draft international  
13 standards for the identification of drugs. Their also mission  
14 is to promote them and go to meetings and discuss and talk to  
15 the community and ask for opinions, and we can weigh all of  
16 that when we make our recommendations.

17           ASCLD/LAB is an accrediting body that is a voluntary  
18 process that most labs in the U.S. are reaching out to  
19 accrediting bodies, asking them to come in to look at their  
20 operation, assess the quality of the laboratory, to show that  
21 we're following set recommendations or set standards, ISO 17025  
22 and supplemental requirements, those types of things.

23           In ISO, ISO clauses, ISO standards, as we call them,  
24 they would be done by another body that's an international body  
25 that drafts -- For example, ISO 17025 is for testing and

1 calibration laboratories. So a body has got together, devised  
2 those clauses, and wrote a standard. And it gets updated  
3 roughly every five years, to be incorporated. And ASCLD/LAB  
4 is -- just chooses that particular standard to accredit to.

5 For example, you've heard of ISO 9000 and 9001, and  
6 people even promote that I'm ISO certified or 9001 compliant.  
7 There are all sorts of accreditation standards out there, and  
8 accrediting bodies go in to assess their accreditation towards  
9 those standards.

10 Q. Again, just for clarification, a lab can use the SWGDRUG  
11 recommendations, but they're not accredited to SWGDRUG  
12 recommendations; is that right?

13 A. That's correct. ASCLD/LAB really only uses the two  
14 standards that they accredit to, the supplemental and the  
15 17025, not necessarily the Scientific Working Group, because  
16 they're recommendations, they're not necessarily standards.

17 However, they do -- When they go into the  
18 laboratories, they do verify that they are following was  
19 internationally accepted for the identification of drugs. So,  
20 for example, if they went in and found that a laboratory was  
21 only testing using a Category C test, that would not be  
22 sufficient. And I would expect the laboratory to obtain -- to  
23 receive a CAR, corrective action, because it's not sufficient  
24 alone to identify a drug.

25 Q. I want to get away a little bit from ASCLD/LAB and clarify.

1 SWGDRUG makes recommendations?

2 A. That's correct.

3 Q. And they're updated fairly regularly, maybe twice a year?

4 A. Twice a year we're updating, yes.

5 Q. Are they published on line?

6 A. They're publicly available.

7 Q. And any lab can take them and run their lab according to  
8 those SWGDRUG recommendations; is that right?

9 A. In fact, we're finding most labs actually copy and paste  
10 the recommendations and put the recommendations into their  
11 policies.

12 Q. And that doesn't mean that a third body like an accrediting  
13 body will come in and say you are accredited to SWGDRUG. That  
14 just means that lab chooses to use SWGDRUG recommendations, and  
15 that's an apart from any type of accreditation; is that right?

16 A. That's correct.

17 Q. Okay, sir. Do you have the exhibits at the stand?

18 A. I believe so.

19 Q. Would you look at Exhibit 2.

20 A. I have that in front of me.

21 Q. Can you turn to page 6 as it's identified by romanette?

22 A. I'm sorry. You said page 6?

23 Q. As identified by romanette. By Roman numerals.

24 A. Yes.

25 Q. Is there an electronic signature on that page?

1 A. There is.

2 Q. Do you recognize that?

3 A. I do.

4 Q. Whose is that?

5 A. That is my signature.

6 Q. And what position do you hold with SWGDRUG?

7 A. I'm the chair of SWGDRUG.

8 Q. And these recommendations that are you looking at: When  
9 were they issued?

10 A. This particular version was issued January 27, 2011.

11 Q. And were they good through April of 2011?

12 A. Yes, they were.

13 Q. And these are the actual recommendations that were posted  
14 on line; is that correct?

15 A. That's correct.

16 Q. And did you have any role in creating these  
17 recommendations?

18 A. I am one vote of 20-plus members, yes.

19 Q. And do you know whether or not Ms. Irby's lab testing was  
20 in compliance with these recommendations?

21 A. Yes. I've actually reviewed her packet that contained data  
22 and analysis and records and observations. And certainly in my  
23 opinion she has absolutely met the recommendations and then  
24 some. Three times what's required.

25 Q. Where a you do you mean by "three times what's required"?

1 A. Well, for example, to identify a drug, SWGDRUG recommends  
2 that you have to do at least a minimum of two tests. And in  
3 Ms. Irby's testimony, and as I was here listening, she had done  
4 in essence six independent tests that concluded the presence of  
5 methamphetamine.

6 Q. Now, you were talking about categories A vs. B vs. C; is  
7 that right?

8 A. That's correct.

9 Q. Now, why is it, based on your experience with SWGDRUG and  
10 creating these recommendations -- why are there so many in each  
11 category?

12 A. Why are there so many in each category? Well, we look --

13 Q. Why divide it the way it's been divided?

14 A. It was divided in discriminatory power. For example, an  
15 infrared spectrum is very, very unique to a molecule, so it  
16 gives us information about the molecule itself, it gives us a  
17 fingerprint, in essence, that can be compared to known  
18 reference material. So it's very, very definitive, unlike a  
19 color test, which would be the Category C, which gives us a  
20 color that turns a color that may, you know, five, ten, twenty  
21 other compounds might turn the same color, so it's not as  
22 discriminatory, for example, as an infrared.

23 Q. And can you compare the word, when you say "discriminatory"  
24 to "confirmatory"?

25 A. "Confirmatory" is another word that's probably interchanged

1 quite a bit. So confirmatory in some aspects, I think in this  
2 testimony, Ms. Irby testified was more referring to Category A  
3 tests as a confirmatory test to conclusively identify based on  
4 the highest discriminating power.

5 Other people might actually define "confirmatory" as  
6 actually all the tests because all of them technically confirm  
7 the presence of methamphetamine. Even it turned orange/brown  
8 with a color test, that's confirming that it's an amphetamine  
9 molecule.

10 The GC retention time that Ms. Irby testified to:  
11 That is confirming that again it is coming out at the same  
12 time; therefore, it is giving the same indication.

13 So it is an interchange of words. And to clarify, for  
14 most of what I read in the transcript, it was being used to  
15 describe Category A tests.

16 Q. And when you say they are all in a sense confirmatory, is  
17 that because -- Well, can you explain to me whether or not  
18 these tests can actually be taken out of context without  
19 looking at all the other tests?

20 A. Yes. Absolutely all tests -- that's the reason we do  
21 several tests and one of the reasons we came up with two  
22 independent tests, as opposed to just one discriminatory test,  
23 is a quality assurance measure. So if you've got one that  
24 shows that it's methamphetamine and another one shows that it's  
25 methamphetamine, you've got a very high assurance that it is

1 methamphetamine. If any one of those tests in aggregate show  
2 negative, that causes the analyst to stop and say, We've got to  
3 figure out what happened. Why did this particular test --  
4 let's say one of out of the six -- came out negative? We can't  
5 make the call until we've determined was it an instrument  
6 problem, was a reagent problem, what would have caused this  
7 negative test to not be?

8           So actually, all the tests are extremely important.  
9 For example, even with the mass spectrometer. We can't just  
10 look at a color test alone and make an identification. It has  
11 to be coupled with all the other tests. So the mass  
12 spectrometer gives us indication as to the molecule, as to the  
13 type of molecule it is, as to the fragmentation. That's  
14 coupled with the retention time that's associated with the gas  
15 chromatograph.

16           All of that is what actually makes the conclusion, not  
17 just any one of those tests. We need them all.

18 Q. All right. And again, for purposes of clarification, we  
19 talk about a lot about ISO 17025. You are a board member of  
20 ASCLD/LAB?

21 A. For approximately one more month.

22 Q. But you are currently; is that right?

23 A. I am, yes.

24 Q. Did ASCLD/LAB write ISO 17025?

25 A. They did not.

1 Q. Okay. And ISO has a supplemental requirement?

2 A. ISO does not. ASCLD/LAB has chosen to do supplemental  
3 requirements.

4 Q. Okay. And so those two combined are what they choose to  
5 accredit to?

6 A. Correct.

7 *MS. MAGNELLI:* Okay. Your Honor, I'd move into  
8 evidence or for consideration Exhibit 2.

9 *THE COURT:* Thank you.

10 *BY MS. MAGNELLI:*

11 Q. While I'm at it, let's look at Exhibit 3 real quick.

12 Are you familiar with Exhibit 3?

13 A. Yes, I am.

14 Q. How are you familiar with Exhibit 3?

15 A. I have read the document, and I actually know several of  
16 the authors.

17 Q. Okay. And have you looked at Ms. Irby's lab testing, the  
18 data packet as we are calling it, as compared to Exhibit 3?

19 A. I did do that prior to the May hearing, yes.

20 Q. You had done that.

21 A. Yes.

22 Q. You have done that. Yes?

23 A. Yes.

24 Q. And this document: It's available publicly on line?

25 A. Yes, it is. Yes.

1 Q. And do you know based on your knowledge, training,  
2 experience your knowledge of authors, in fact -- Well, let me  
3 ask you this: Do you know whether or not labs utilize this?

4 A. Of course, yes. UNODC does publish many recommendations on  
5 their web site. UNODC is actually one of the members on  
6 SWGDRUG. So we have a member that participates, and we work  
7 sort of hand in hand.

8 Their mission is quite different from ours in the  
9 aspect that they actually are really tailoring towards third  
10 world countries or laboratories with minimal, not state-of-the-  
11 art instrumentation. So they're -- their recommendations are  
12 really more for that.

13 Q. And do you know based on your review and your knowledge  
14 whether or not Ms. Irby's testing packet complies with the  
15 publicly available recommendations?

16 A. Yes. As I've read through this, I felt that she did  
17 without doubt follow all the recommendations that they had.

18 Q. Do you recall what her conclusion was?

19 A. She concluded methamphetamine.

20 Q. Do you recall the more specific conclusion?

21 A. She concluded that it was approximately 11 1/2 pounds of a  
22 mixture of D and L isomers of methamphetamine hydrochloride.

23 Q. Do you recall purity?

24 A. She reported 99 percent and -- I think it was close to 99.  
25 98.9 percent in the two exhibits that she analyzed.

1 Q. And again, for purposes of clarification, can you give us,  
2 I don't know, an example of an inanimate object that might be  
3 equal to size-wise?

4 A. I would say 11 1/2 pounds would be close to a basketball,  
5 roughly a basketball size of methamphetamine.

6 Q. All right. Do you recall Mr. Brown asking you about  
7 ASCLD/LAB reviewing five tests during the accreditation  
8 process?

9 A. Yes.

10 Q. I just want to clarify that really quickly.

11 When you testified that there were five reports, can  
12 you clarify whether you meant per chemist or per lab?

13 A. Yes. I actually meant five chemists -- or laboratory  
14 reports per chemist in the laboratories. So if there are 30  
15 chemists, they're going to pull 150 of all of them, and they're  
16 going to evaluate all of those results.

17 Q. And do you recall Mr. Driscoll questioning about whether  
18 Attachment 2 to Document 162, which was Exhibit 1, Ms. Irby's  
19 declaration, the actual lab testing packet attached to that --  
20 do you recall him asking you whether or not that was a  
21 freestanding document?

22 A. I do, yes.

23 Q. All right. Is that a standalone packet with regard to  
24 other DEA documents?

25 A. Yes, it is.

1 Q. Can you explain, please, to the Court what you mean by  
2 that?

3 A. What I mean by that, for example, even taking SWGDRUG's  
4 recommendations, is when they write a report or a case record,  
5 it is to include all of the information that another peer or  
6 competent examiner could review it and reconstruct what  
7 happened and to form a basis of conclusion.

8 In this case, it was extremely well-detailed as -- in  
9 the description of the evidence as it was received, the weight  
10 of it, how many independent bags that there were, how she  
11 proceeded with her testing. She did color testing, proceeded  
12 with GC mass spec. She did that. She went on and completed  
13 and made a composite. She did confirmatory testing. The  
14 method parameters are listed inside of her document.

15 Everything -- everything is there that another  
16 competent examiner or peer could actually evaluate to show that  
17 without question the substance contained methamphetamine.

18 Q. Would you agree with me that it would be similar to showing  
19 your work in a math problem so the teacher could see if you did  
20 all the calculations correctly rather than just putting the  
21 answer down?

22 A. I would absolutely agree. All the work is there that  
23 another person could evaluate.

24 Q. Do you recall both in May and today Mr. Driscoll asking you  
25 about sort of a -- the superiority of a microcrystalline test?

1 A. Yes.

2 Q. Okay. You testified that what you can see in the test are  
3 actually crystallized patterns of sort; is that right?

4 A. Yes. The methamphetamine forms a crystal with the reagent  
5 that turns a certain shape.

6 Q. Okay. Now, with the microcrystalline test in mind, how  
7 does that compare to the GCMS Ms. Irby used?

8 A. In this particular case, it's not as discriminatory as a  
9 mass spectrometry.

10 Q. What is not as discriminatory?

11 A. The microcrystalline test. That's why we concluded that it  
12 falls under -- That's not to say it's not a good test. It is a  
13 good test. It does have some specificity. But it's becoming a  
14 little bit less used in the field because it is not as --  
15 because it's almost an art. You have to recognize those  
16 crystals, the shape of them. And a lot of forensic scientists  
17 are not using that technique anymore because it -- in other  
18 words, it is not as specific or as discriminatory.

19 Q. It's reliable but outdated. Is that fair?

20 A. Yes. I would say it is reliable but outdated.

21 Q. And the GCMS: That produces a mass spectrum?

22 A. That's correct.

23 Q. And I want to clarify this term for the record again.

24 Would you agree that the mass spectrum is actually a graphical  
25 representation -- is that right -- of ions that sort of

1 separated during this testing?

2 A. That's correct.

3 Q. A picture almost?

4 A. It bursts the molecule into pieces, and each of those  
5 pieces are measured and represented in what the spectra results  
6 in.

7 Q. And why is a spectra so discriminating?

8 A. Because it actually gives us information about the molecule  
9 itself. It gives us a structural information, molecular ion  
10 information, all different types of pieces that a chemist can  
11 look at and evaluate.

12 Q. Based on your knowledge, training, and experience, do you  
13 know whether analysts in your field forensic chemistry -- do  
14 they get specialized training to look for patterns and find  
15 problems in mass spectra?

16 A. Yes, they do.

17 Q. Are they trained to look for spectral variabilities?

18 A. Yes, they are.

19 Q. Do chemists become familiar with the idiosyncrasies of  
20 their machines?

21 A. They absolutely do.

22 Q. And why are these things important?

23 A. They're important for several factors. One because we do  
24 perform a service for the court to prove what the substance is  
25 and we want to be 100 percent accurate without any question.

1 So this is why we provide them with a tremendous amount of  
2 training, so that they can develop these skills and experience  
3 to be able to make these conclusions.

4 Q. And are there some spectra that are somewhat similar and  
5 that training helps you identify the difference?

6 A. Yes.

7 Q. Can you give me an example?

8 A. Yes. For example, even in mass spectrometry, there are  
9 several other what we call radio isomers, molecules that are  
10 very similar in structure, in some cases same exact molecular  
11 weight that do give similar mass spectrum patterns. They  
12 absolutely do.

13 This is why we talked earlier about the aggregate of  
14 tests. The combination of that mass spectrometer with the gas  
15 chromatograph portion gives us a retention time that is -- that  
16 combination is unique. There is research that's being  
17 conducted as we speak; that's been conducted. There is  
18 research that even shows that the mass spectrum is actually  
19 different from some of the other ones.

20 For example, phentermine is a good example.  
21 Phentermine and methamphetamine have the same amount of carbons  
22 and hydrogens and nitrogens, same molecular weight; but they do  
23 produce similar mass spectrometer results; however, they  
24 completely separate on the gas chromatograph part. So that  
25 combination of those two tests makes it extremely unique and

1 very discriminatory.

2 Q. Now, as compared to the microcrystalline test, can you  
3 compare the IRATR that Ms. Irby did?

4 A. Yes.

5 Q. Can you compare the discriminatory power?

6 A. Yes. In an IR, that's one of the strengths about infrared,  
7 is that the pattern that it produces is extremely unique. So  
8 phentermine and methamphetamine, for example, are nothing  
9 alike, as were the mass spectrometers.

10 I won't speculate, but that could be why Ms. Irby --

11 Q. That's okay.

12 This technique, the attenuated total reflectance, the  
13 ATR: Is that a fairly recent technique?

14 A. It actually came around after I got off the bench. So I  
15 never got experience with it. So when I was doing testing, I  
16 left doing the testing in 1999, and that's when it was coming  
17 out in the DEA laboratories.

18 Q. Okay. But you've done the infrared?

19 A. Yes.

20 I actually have done the ATR since, because I do force  
21 myself to be proficiency-tested every year; so I have since  
22 experienced it. And I got to tell you, it's fantastic.

23 Q. So you could still go back and take the bench and do an  
24 analysis; is that right?

25 A. I do it every year, yes.

1 Q. All right. And do you know based on your knowledge,  
2 training, and experience whether analysts receive special  
3 training to analyze spectra that have a number of closely  
4 related compounds, get a feel for that discriminating feature?

5 A. We absolutely do. We provide this training.

6 Q. Have you ever seen another infrared spectrum based on your  
7 knowledge, training, and experience of a substance that gives  
8 an identical spectrum to methamphetamine?

9 A. I have not.

10 Q. All right. And finally, we'll do two more quickly. Can  
11 you compare the HPLC to the microcrystalline test, please.

12 A. HPLC would fall under the Category B, so it would fall  
13 under the same category as a microcrystalline test. HPLC is  
14 used to separate multicomponents; for example, if there were  
15 several things in this mixture. In this case, there was really  
16 only methamphetamine and one other trace component; but if  
17 there was three or four different compounds, it would actually  
18 separate them all.

19 And the point of that is for -- As Ms. Irby testified,  
20 she used the HPLC to do a quantitative analysis to determine  
21 the purity. So she wanted to make sure that she could isolate  
22 the methamphetamine by itself from all the other compounds.  
23 That's why she chose to use the HPLC. It separates the  
24 compounds. She compared it to a positive control at the same  
25 time, a known reference material of methamphetamine. She

1 compared it at the same time and determined it at 99 percent.

2 Q. All right. And I think I missed something. Is there  
3 something the IR can tell about methamphetamine that none of  
4 these other machines can?

5 A. Yes, there is.

6 Q. What is that?

7 A. The IR actually can help us identify the salt form. So,  
8 for example, it determines -- we can determine that it's  
9 actually methamphetamine hydrochloride.

10 Q. Isn't it true that the GCMS cannot do that?

11 A. That is correct.

12 Q. And why is that?

13 A. What happens in the injection port on the gas chromatograph  
14 is the hydrochloride part essentially breaks off, and it races  
15 for the finish line. So it's only the methamphetamine that's  
16 left at the end.

17 Q. All right. And finally, the GCFID, the flame ionization  
18 detector: Can you compare that to the microcrystalline test  
19 that you've been asked about?

20 A. Yeah. It's similar to the HPLC, except in the gas  
21 chromatograph it's done in a gas phase. It separates  
22 multicomponents.

23 In the flame ionization detector, it's actually what  
24 its name suggests: It's a flame that the compound goes into,  
25 it burns, it produces some electrical signals that the

1 instrument measures, so it can tell when something is coming  
2 out. So it can determine if there is multicomponents, and it  
3 gives us specific times. You hit "Start," and let's say it  
4 takes three minutes to come out, which makes it somewhat  
5 specific, because cocaine comes out completely different from  
6 methamphetamine, completely different from heroin; so we use  
7 these categories of testing to help us conclude that it is  
8 methamphetamine without question.

9 Q. All right. Does the microcrystalline test have an  
10 uncertainty associated with it?

11 A. Yes. Microcrystalline does. That's why it's more in the  
12 Category B, because it is in some ways an art. For example, I  
13 did microcrystalline tests when I first started; but there is a  
14 certain experience that you have to have, and it's being lost  
15 over time. And it's only because the instrumentation is  
16 getting so much more specific and so much better. Category A  
17 techniques give us molecular information. The microcrystalline  
18 gives us a formation of a crystal, so it doesn't give us the  
19 actual carbons and hydrogens. It doesn't tell us molecularly  
20 what it is. It identifies what it is based on the formation of  
21 a crystal.

22 Q. Okay. And are there some uncertainties with the results of  
23 some of the tests that Ms. Irby did?

24 A. She did. She reported several uncertainties.

25 Q. And why is that important?

1 A. Essentially, uncertainty actually provides an assurance  
2 that the results are within a tolerance or a range that we've  
3 identified. So she did it for the period of determination.  
4 She concluded that it's 99 percent plus or minus, because any  
5 time we take a measurement, as she described in her testimony  
6 about measuring cups of flour, there is always a little bit of  
7 granules that might have fell out, any time we take a  
8 measurement. She also reported uncertainty for weighing  
9 because there is also an uncertainty associated with weighing.  
10 For example, and I've done this myself: You get to a scale at  
11 home and you weigh yourself and let's say it's 180 pounds, and  
12 you weigh it and you get back on and it's 179.5. And that's  
13 the answer I go with.

14 Q. So is an uncertainty number akin to an error rate?

15 A. Not necessarily. Error rates are -- I think in my mind are  
16 being misapplied across the forensic sciences. Error rates --  
17 What they're really trying to refer to is, for example, like  
18 DNA. DNA would say that I've identified the DNA pattern with a  
19 certain percentage, one out of one million a chance that it's  
20 not that person. Uncertainty more so is referring to  
21 measurements that we are conducting. There is an uncertainty  
22 associated with every measurement that we do; and by reporting  
23 it, it gives us a better understanding as to where does the  
24 true value lie.

25 Q. So it adds to the accuracy of the results?

1 A. Yes.

2 Q. Now, when we talk about error rates, is there an error rate  
3 with regard, based on your knowledge, training, and experience,  
4 with regard to identification of a controlled substance?

5 A. SWGDRUG has come up with language that addresses this  
6 particular compound. There can be uncertainty associated with  
7 qualitative identification. For example, there are limitations  
8 with some of the methods. If you had a mixture of, let's say  
9 ephedrine or pseudoephedrine and you went to a mass  
10 spectrometer, the molecules are exactly the same. They're just  
11 isomers. So they would produce the exact same spectrum. So if  
12 you relied only on that test and the laboratory chose to report  
13 I've identified pseudoephedrine or ephedrine, there is an  
14 uncertainty because they didn't determine which one it was.

15 Now, the laboratory could then go and do additional  
16 testing to identify which one it was. So there is some  
17 uncertainty associated with it as long, as it is reported and  
18 accurately transferred. But what SWGDRUG says is as long as  
19 you apply appropriate method and, for unforeseen circumstances  
20 or human error -- and you do the right number of tests and you  
21 do the right tests and you understand those limitations, there  
22 is effectively no uncertainty in reported identifications.

23 Q. And based on your knowledge, training, and experience, did  
24 Ms. Irby apply appropriate methods?

25 A. She absolutely did. In fact, again, as I've said, three

1 times what's required.

2 Q. Okay. And do you see in your -- in the lab testing data  
3 based on knowledge, training, and experience any evidence that  
4 this substance was anything other than methamphetamine?

5 A. Absolutely not. All tests indicate the same thing.

6 Q. So you can accurately identify methamphetamine without  
7 using a microcrystalline test?

8 A. Absolutely, yes.

9 MS. MAGNELLI: Your Honor, I'd move for consideration  
10 Exhibit 3. I think I failed to do that earlier.

11 THE COURT: Thank you.

12 MS. MAGNELLI: Nothing further.

13 THE COURT: Thank you. Can this witness step down?

14 MS. MAGNELLI: Yes.

15 THE COURT: Thank you, sir. You may step down.

16 Further witnesses by the Government?

17 MR. BOMA: No, your Honor.

18 THE COURT: Are there going to be witnesses called by  
19 the defense?

20 MR. EDELMAN: Your Honor, if I may, it depends upon  
21 what the Court does next.

22 I believe at this point the Government has failed to  
23 meet its burden. Based upon the Court's prior statements and  
24 rulings and the requirements of the Government, they've asked  
25 the Court to allow them to present evidence of an opinion that

1 the substances that were -- may I go forward on this request,  
2 your Honor?

3 *THE COURT:* Well, you can if you'd like; but  
4 technically in a Rule 702 hearing, there is no opportunity to  
5 seek a judgment as a matter of law at the end of the  
6 presentation of the Government's case. And as a consequence,  
7 even if there were, I would defer in considering that argument  
8 until I had heard all of the evidence.

9 *MR. EDELMAN:* I expected you to say that, your Honor,  
10 and I tried to see if I could find any authority to conform  
11 with your statement, and I don't think I could find any. But  
12 as usual, I wasn't sure I was correct, but thought I would at  
13 least try.

14 So would you like me to stop, or continue trying?

15 *THE COURT:* You can make whatever record you want to  
16 make, but I will be deferring any determination as to whether  
17 the evidence is adequate until after I have heard all of the  
18 evidence that is presented.

19 *MR. EDELMAN:* Well, just to play it safe and to  
20 continue requesting, if I may continue and be brief, if the  
21 Court doesn't mind.

22 I think all of the evidence, or at least most of it,  
23 was a waste of time, based upon what the Court has commented  
24 on. There are no methods. The Court has indicated that -- The  
25 Court has indicated that it would not consider any methods of

1 the -- that the Government has presented or that the witness  
2 has considered, Ms. Irby, applying. And she stated that the  
3 only methods that she had considered pursuant to Rule 702 were  
4 methods that she memorized from her work at the DEA.

5           The Court also just indicated that she did not perform  
6 these tests that she formulated her opinion from -- was not  
7 from a DEA lab. There is no other lab. So consequently, there  
8 are no tests.

9           I don't know if it was from another facility or no  
10 facility at all; but regardless, if there is no methods, there  
11 is no -- they failed completely in sustaining their burden and  
12 we don't need to go further. All this testimony about  
13 accreditation and about Mr. Oulton sustaining that Ms. Irby  
14 performed this test and that test and all these other  
15 scientific tests and that some of them have been around for a  
16 hundred years are not relevant.

17           ASCLD/LAB accreditation is not relevant.

18           The recommendations of the UN 17025, of ISO, of any of  
19 these other accreditation or recommending facilities doesn't  
20 matter.

21           What matters is the methods that were utilized. There  
22 were no methods. It would be akin to baking a cake. You have  
23 a cake. How did you get to that cake? You mixed the  
24 ingredients. How did you mix the ingredients to get to the  
25 result? We have not been provided with any of that testimony.

1 And therefore, they have not met that burden. And I think it's  
2 only reasonable and common sense for this court now to -- to  
3 disallow that evidence.

4 *THE COURT:* Thank you.

5 We'll take a morning recess. When we resume, the  
6 Government can either respond to the motion that has been made  
7 by Mr. Edelman, or you can reserve your right to respond to  
8 that until the remainder of the evidence is presented.

9 We'll stand in recess for ten minutes, reconvene at  
10 10:40.

11 (Recess at 10:29 a.m.)

12 (Reconvened at 10:47 a.m.)

13 *THE COURT:* Please be seated.

14 Does the Government care to respond to Mr. Edelman's  
15 motion at this time, or would you rather address did in closing  
16 argument?

17 *MR. BOMA:* Your Honor, we will reserve argument.

18 *THE COURT:* Okay. Evidence, then, for the objectors  
19 to the opinion.

20 *MR. BROWN:* Your Honor, at this time I'd like to call  
21 Ms. Janine Arvizu.

22 *THE COURT:* Please step up and be sworn.

23 (**Janine Arvizu** was sworn.)

24 *THE COURTROOM DEPUTY:* Please be seated.

25 Please state your name and spell your first and last



1 of D5 -- are Ms. Arvizu's resumé.

2 *BY MR. BROWN:*

3 Q. Have you had a chance to review that document before you  
4 testified today?

5 A. Sometime ago, but yes.

6 Q. And does it accurately reflect your qualifications at the  
7 time it was earlier submitted, early in 2011?

8 A. Yes.

9 *MR. BROWN:* And, your Honor, I'd ask that the D5, the  
10 first three pages, be moved in as an exhibit for the Court's  
11 consideration.

12 *THE COURT:* Noted.

13 *MR. BROWN:* Thank you.

14 *BY MR. BROWN:*

15 Q. What does a laboratory quality auditor do?

16 A. I work for clients who use laboratory results to make  
17 important decisions. And they need to understand how  
18 scientifically valid the methods that were used are and how  
19 reliable the testing that was performed was so they understand  
20 how much confidence they can have in the results and ensure  
21 that the quality of the results is commensurate with the  
22 intended use of the data.

23 Q. And do you have an educational background that supports  
24 that experience and expertise?

25 A. I have a bachelor of science degree in biochemistry from

Janine Arvizu - Direct

1 California Polytechnic State University at San Luis Obispo, an  
2 ABD in chemistry from the University of New Mexico, which is  
3 all but dissertation, all the coursework and examinations and  
4 proposal defense but not defense of the dissertation.

5 Q. And do you have any professional certifications?

6 A. I am certified as a quality auditor by the American Society  
7 for Quality and have been formally trained in the assessment of  
8 laboratory quality systems.

9 Q. Do you have an experiential background, an employment  
10 background that deals with quality analysis?

11 A. Yes. I established and managed a full service analytical  
12 testing laboratory for the Department of Energy and began  
13 working on interagency quality initiatives during my tenure  
14 there, subsequently had my own quality assurance consulting  
15 firm. And in that capacity, I served as program manager for a  
16 contract to provide quality assurance services to the Navy,  
17 where we evaluated and assessed and audited the performance of  
18 both government and commercial laboratories that provided  
19 analytical services to the Navy throughout the country.

20 Q. Have you published in this field?

21 A. I actually authored the quality standard that the Navy used  
22 as the basis for its determination as to whether or not to use  
23 a given laboratory.

24 Q. And have you provided training in the field of quality  
25 auditing and analysis?

1 A. A pretty broad range of training, both training technicians  
2 in a lab, training laboratory staff, training the users of lab  
3 data, to training lawyers and judges in the analytical quality  
4 issues associated with data.

5 Q. Have you previously testified in courts in the United  
6 States concerning this field?

7 A. In the United States and outside, yes.

8 Q. And approximately how many times?

9 A. I always forget to count. Dozens.

10 Q. And have those included both state courts as well as  
11 federal courts?

12 A. That's correct.

13 Q. In what fields of endeavor have you been accepted as an  
14 expert witness?

15 A. My testimony has been generally in the field of the quality  
16 assurance of analytical measurements and has ranged from  
17 sampling issues through analytical and reporting issues.

18 Q. Have you had an opportunity to be present during the  
19 testimony of Ms. Irby and Mr. Oulton during the pendency of  
20 this hearing?

21 A. Yes.

22 Q. And have you had an opportunity to consider the testing  
23 practices and methods employed by Ms. Irby to determine whether  
24 or not as a quality auditor they meet accepted standards?

25 A. Yes. Based on both the written materials provided and on

1 her testimony.

2 Q. With respect to the types of things that a quality auditor  
3 will consider, is it typical for a quality auditor to consider  
4 in analyzing results from a laboratory a particular lab's  
5 policies and procedures?

6 A. Absolutely.

7 Q. As well, is it also typical for a quality auditor to  
8 consider scientific standards as established by professional  
9 organizations?

10 A. Yes.

11 Q. In the absence of either policies and procedures or  
12 established standards that are published within the scientific  
13 community, is it -- are you still capable of doing an analysis  
14 of a particular scientific result?

15 A. Yes.

16 Q. So, for example, if there was a forensic analyst who was  
17 operating a self-standing lab, more or less a one-man show, in  
18 your evaluation as a quality auditor, could you make a  
19 determination of whether or not that freestanding forensic  
20 analyst produced an accepted scientific result?

21 A. Yes.

22 Q. Now, with respect to that and without reference to  
23 particular standards that might have been established at a  
24 laboratory or scientific organizations, are there generally  
25 accepted standards within the scientific community for the

1 completion of a drug test by a forensic analyst?

2 A. Yes.

3 Q. I wanted to ask you in particular with respect to the  
4 testing that was performed by Ms. Irby whether or not in your  
5 opinion as a quality auditor -- well, let me before I do that:  
6 In the absence of policies and procedures and accepted  
7 scientific organizational standards, does that place more or  
8 less import on the documentation to support a test that a  
9 forensic analyst reports?

10 A. It places more importance on clear and very, very complete  
11 documentation of the practices, because I don't have any  
12 written -- written approved procedures to rely on. It's kind  
13 of like when you were in school and you had to write everything  
14 down in your laboratory notebook that you did; so essentially  
15 the burden is that that analyst would have to absolutely write  
16 down everything that in most production labs is  
17 procedurallized. The requirements and the controls are all in  
18 a procedure so they don't have to write them down every time.  
19 Well, if you don't have that, then you have to write it down  
20 every time to essentially memorialize that record.

21 Q. So, for example, if we say that a particular forensic  
22 analyst conformed with accepted policies and procedures within  
23 a scientific community, that would be a shorthand for you so  
24 long as you were familiar with and knew those particular  
25 policies and procedures?

1 A. Yes.

2 Q. So in the absence of that, you would expect the description  
3 of the scientific process that was engaged in to be out of  
4 necessity more detailed without reference to policies and  
5 procedures and accepted methods by an established organization?

6 A. That's correct.

7 Q. With respect to the testing that was completed by Ms. Irby,  
8 have you had a chance to consider whether or not it was done in  
9 conformance with accepted scientific standards within the  
10 forensic analyst community?

11 A. Yes.

12 Q. And can you tell the Court with respect to her testing  
13 whether or not it did or did not meet the accepted standards  
14 within the forensic analyst scientific community?

15 A. Did not.

16 Q. Now, are you a forensic analyst?

17 A. I am not.

18 Q. Have you done any forensic analysis in the past?

19 A. No.

20 Q. And why, then, are you qualified or not qualified to give  
21 an opinion as to whether or not the testing conducted by a  
22 forensic analyst was done in conformance with accepted  
23 standards within the scientific community?

24 A. The field of quality assurance in analytical chemistry and  
25 in this discipline is essentially largely independent of the --

1 its field of application. For example, the principles of  
2 quality assurance apply to pharmaceutical labs and food testing  
3 labs and the labs that test production materials for  
4 manufacturing facilities and environmental labs and forensic  
5 labs.

6 The principles of how -- what practices you need to go  
7 through and the computational issues associated with  
8 determining accuracy, precision, specificity,  
9 representativeness -- these quality principles for data -- are  
10 independent of the field of application.

11 In fact, when you look at a number of seminal  
12 reference texts in the field of quality assurance, there will  
13 be hundreds of pages of discussion of quality assurance issues,  
14 like I've just described, and no reference to the specific  
15 analytical techniques from which the data are derived because  
16 the principles are applicable universally.

17 Intended use of the data is obviously different from  
18 application to application, and that's part of your obligation  
19 as a quality practitioner to understand the intended use of the  
20 data.

21 Q. And have you been called upon to do this type of analysis  
22 in the past with respect to forensic analysis of a drug sample?

23 A. Yes.

24 Q. Approximately how many times?

25 A. Oh, I don't -- I'll say dozens, again because I've not

1    tried to actually count.

2    Q.   With respect to the testing of Ms. Irby, what, if any,  
3    practice requirements that are generally accepted within the  
4    scientific community of forensic analysis did she fail to meet?

5    A.   First, the most sort of overarching issue was a failure to  
6    have complete documented procedures.  And as we've already  
7    discussed, in the absence of that, you could write everything  
8    down; but clearly that wasn't done in this case.

9                So you either have to have in existence approved  
10   documented procedures that describe all the things I'm about to  
11   list, or you have to write it down each and every time.

12               In addition, subordinate to that, there was no  
13   documentation as to the performance characteristics of the  
14   methods, the measurement systems that she was using; that is in  
15   this case a series of analytical instruments.  Typically what's  
16   included in procedures are specifications that that instrument  
17   has to meet before you use it.  You don't get to just turn it  
18   on and assume that it's operating in a state of statistical  
19   control.

20               There are tests that have to be run, tuning that has  
21   to be performed, criteria that that instrument's performance  
22   has to meet before you run samples.

23               That's the first example of the kinds of information  
24   that's necessary.

25   Q.   Let me ask you:  So specifically you're talking about

1 technical performance requirements. You referenced tuning of  
2 an instrument. With respect to the -- one of the methods of  
3 testing that was used by Ms. Irby, the mass spectrometer, did  
4 she document and utilize technical performance requirements?  
5 A. There were none apparent from the written record that we  
6 received and none described in her testimony. The reason  
7 tuning is so important to a mass spectrometer is because how  
8 and when you tune that mass spec. impacts the quality of the  
9 data and the quality of the tuning -- of the mass spectra that  
10 you get out of that instrument.

11           When you're tuning, what you're doing is essentially  
12 adjusting the voltage of the ion source. And it sounds very  
13 obtuse, but what it does is it affects the number of ions that  
14 are produced and the relative abundance of those ions that are  
15 produced. And so if you want to compare two spectra, it's  
16 important that you understand the tuning conditions under which  
17 those spectra were generated.

18           We have no evidence, no procedure that says we tune  
19 using this compound -- because there is a variety of different  
20 compounds available that you can tune to. We don't know what  
21 they tuned to or to what criteria, so we can't have confidence  
22 in making comparisons to other mass spectra.

23 Q. So, for example, if the tuning was not documented, that  
24 is -- is or is not an essential step in mass spectrometry  
25 testing?

1 A. If it was not done -- That's an essential step. If it was  
2 not documented -- that's part of insuring reliability.

3           So I'm not sure I'm answering your question directly  
4 enough; but if that it was done but not documented, then an  
5 independent user has no basis for assessing whether or not it  
6 was reliably done, because tunes can fail. Tunes do fail, in  
7 fact, with some frequency; and then you have to go back and  
8 retune the instrument.

9           We don't know whether that happened in this case  
10 because it was neither testified to nor documented as to  
11 whether there were even any requirements for a tune.

12 Q. From the vantage point of a quality auditor, if it's not  
13 documented, do you assume that it was done, it was not done, or  
14 neither?

15 A. In the absence of any evidence to the contrary, we assume  
16 it was not done.

17 Q. Just staying on the tuning aspect for a moment, is voltage  
18 important as a facet of a properly working mass spectrometer?

19 A. Yes, because it affects the mass spectrum that is produced.

20 Q. Does tuning an instrument like a mass spectrometer detect  
21 whether the voltage is at proper levels?

22 A. Yes. Essentially it sets conditions. For example, if you  
23 tune using PFTBA, which is just a specific compound that's  
24 frequently used as a tuning, and you check various ions and you  
25 achieve the stated criteria for those ions, then another user

1 can know that that mass spectrum that you generated was  
2 generated against an acceptable PFTBA tune that morning. So  
3 when you do an acceptable PFTBA tune that morning, you can have  
4 more confidence; that is, it's more reliable that that's a  
5 valid comparison.

6 Does that make sense?

7 Q. Within the scientific community, is there an acceptable  
8 frequency of testing for something specific as voltage through  
9 tuning of an instrument?

10 A. Yes. That's generally made on a program-by-program basis,  
11 depending on the importance of the data. Typically you'll see  
12 numbers on the order of every 12 hours or daily -- are commonly  
13 applied. In the instrument manufacturer's website, for  
14 example, it impresses upon in the training materials the  
15 importance of doing a tune if you're going to be identifying  
16 unknowns with the instrument in that operating condition.

17 Q. So in the absence of proof, from the quality assurance  
18 standpoint, that the mass spectrometer was tuned in conformance  
19 with the established time frames accepted within the scientific  
20 community, do you have an acceptable result, one that you can  
21 rely on in terms of the ultimate positive for methamphetamine  
22 chloride [sic], or do you not, from a scientific standpoint,  
23 have an acceptable, reliable result?

24 A. The absence of any either requirements for a tune or  
25 evidence of a tune renders any associated results unreliable.

1 Q. Now, I want to move on to ask you about another type of  
2 standard accepted within the scientific community with respect  
3 to stabilization period. What does stabilization period refer  
4 to?

5 A. A stabilization period is the fact that at night --  
6 typically at night in a lot of laboratories, they'll turn  
7 instruments off. And in order to get things running the next  
8 day in a production lab, you'll go in and turn the instrument,  
9 you'll turn on the vacuum pump. It takes a period of time for  
10 the -- in the case of a mass spec. for the vacuum pump to do  
11 its work and bring it to an acceptable operating pressure; so  
12 it's something that's typically addressed procedurally in terms  
13 of ensuring that everything that is calmed down and in a state  
14 of statistical control and ready to start running samples.

15 Q. Which of the type of testing instruments that Ms. Irby  
16 employed -- and I believe there were six of them -- which of  
17 them is required to have an appropriate stabilization period  
18 before it should be utilized?

19 A. Well, I've already mentioned mass spectrometry.

20 It's not so much an issue for some of the other  
21 instruments. I really wouldn't call it a stabilization period,  
22 for example, for an HPLC. It's just achieving a stable  
23 analytical system.

24 So that's written in terms of what we just mentioned,  
25 technical performance requirements for the instrument.

1 Q. And from evaluating the data supplied by Ms. Irby and  
2 listening to her testimony, can you determine whether or not  
3 stabilization could -- or was an issue with respect to any or  
4 all of her testing?

5 A. Again, no information as to whether there were any  
6 requirements or what the actual conditions were for any of the  
7 instruments.

8 Q. So with respect to, then, whether or not the -- That should  
9 or should not have been included in the data or information  
10 provided?

11 A. That's the kind of thing that either as a requirement is  
12 either addressed procedurally or in a case-by-case basis in  
13 detail in the record.

14 Q. And from the standpoint of a quality auditor, would you  
15 assume that the results generated in the absence of such  
16 documentation that no interference occurred because of an  
17 inadequate addressing the stabilization period -- Do you have a  
18 reliable result, or an unreliable result?

19 A. It renders them on the unreliable side.

20 Q. With respect to the specific testing conducted by Ms. Irby,  
21 do you have an opinion whether or not she actually did or  
22 properly documented that the equipment was in proper working  
23 order and where required was calibrated and adjusted properly?

24 A. I do have an opinion based on both the record and her  
25 testimony, and that is that she was relying on known reference

1 standards, which, as we heard a little bit earlier this  
2 morning, means the analysis of standards that were run some  
3 period of time previously but not on the same day that you were  
4 turning it on and running it that day; and she relied on those  
5 rather than using known positive controls at the time the  
6 testing of these samples was performed.

7 Q. For any of her testing, did she use non-positive controls?

8 A. She did for the color tests and she did for the -- let's  
9 see. HPLC, it was calibration standards, not controls. Those  
10 are two different things. You cannot use a calibrator as  
11 control sample.

12 And she used -- let's see -- known controls for the GC  
13 in which she made an isotopic determination, when she was  
14 looking at D and L isomers.

15 Q. With respect to the infrared spectrometer, did she?

16 A. She did not.

17 Q. With respect to the GCIRD, did she?

18 A. No.

19 Q. And with respect to the GCFID, did she?

20 A. That's the one that she did. That's the D/L isomer  
21 determination.

22 Q. So with respect to the infrared spectrometry and the gas  
23 chromatograph, is there a standard in the scientific community  
24 for use of a known reference standard?

25 A. Yes: that each time you run unknown samples on the

1 instrument -- it's referred to in the discipline as a  
2 "batch" -- that each time you run unknown samples, you also  
3 include a known sample, a known reference sample, what we've  
4 been calling a "standard," to verify the performance of the  
5 instrument. It is not acceptable practice to verify that your  
6 instrument is working properly by running an unknown sample and  
7 getting the expected result. That -- that's really  
8 counterintuitive scientifically that you could verify that  
9 things are working okay because I got the result I expected  
10 when I ran an unknown sample.

11           If you get the results you expect when you run a known  
12 sample, that's scientifically verification that the instrument  
13 is working properly.

14 Q. Ms. Irby, though, did testify didn't she, with regard to  
15 the infrared spectrometer, that she used a library reference  
16 standard; correct?

17 A. That's correct.

18 Q. So within the scientific community, is that an acceptable  
19 substitute for using a known reference standard to use a  
20 library reference standard?

21 A. She used library reference standards, I believe, for the IR  
22 spectra. The problem with that is that I have no performance  
23 data to understand the resolution of the instrument at the time  
24 she was running it.

25           In the -- some of the other instruments, GC mass spec.

1 and others, she did not include a -- not use library searches  
2 but relied on a reference standard that had been run many weeks  
3 or months previously. That is specifically a practice that is  
4 contraindicated by the generally accepted scientific community,  
5 if you're testing unknowns.

6 Now, if you've got an analytical lab that supports a  
7 production operation, say a hops laboratory, for example, since  
8 that was one discussed earlier in this hearing, you have a  
9 known, essentially, product in stream -- it's a very defined  
10 stream of samples that are coming in -- it's not that you're  
11 looking for any possible compound that may be at issue. So you  
12 have a different quality assurance expectation in that kind of  
13 a circumstance.

14 In this circumstance, when you have essentially what  
15 is a complete unknown sample, the burden of proof on the  
16 scientists is to treat that like an unknown sample and to  
17 verify the -- any identifications through contemporaneously  
18 analyzed standards. That's required in published standards.

19 It's certainly my observation in practice at  
20 analytical laboratories all over the world. I think the FBI  
21 lab had that as a requirement as early as 1997, is the first  
22 time -- first that I'm aware that it was published specifically  
23 for controlled substance analysis; that you contemporaneously  
24 must analyze the reference standard at the same time as the  
25 samples.

1 Q. So would the library reference standard under these  
2 circumstances, given the level of documentation that you  
3 reviewed, be an acceptable substitute for using a known  
4 reference standard with the infrared spectrometer?

5 A. No.

6 Q. With respect to the known standards that, for example, you  
7 said were used on the gas chromatograph by Ms. Irby, why is the  
8 source of a known reference standard important?

9 A. Reference standards, because scientifically they're the  
10 basis for drawing a conclusion -- so how much confidence you  
11 can have in the origin and purity of that material drives  
12 essentially your confidence in the conclusions that you draw  
13 based against it. So that's why reference standards need to be  
14 traceable, as has already been discussed here. And that means  
15 that essentially there is a paper trail or pedigree that  
16 demonstrates that that material is of known and documented  
17 purity.

18 Q. So with respect to that which was described by Ms. Irby  
19 either in her testimony or in the written materials that were  
20 produced, did she utilize a reliable, in terms of the accepted  
21 scientific community -- a reliable known reference standard?

22 A. You can't draw that conclusion based on either the  
23 materials provided or on the testimony that was given in this  
24 case.

25 Q. Have you investigated whether or not there are reference

1 standards commercially available for methamphetamine chloride  
2 [sic]?

3 A. Oh, sure. Easily available. You can look it up on the  
4 record.

5 Q. Are there manufacturers that supply that?

6 A. Yes.

7 Q. And have you done so?

8 A. Yes.

9 Q. Have you investigated it?

10 A. Yes.

11 Q. And do these known reference standards, to kind of use a  
12 shorthand -- do they have a shelf life to them?

13 A. Absolutely.

14 Q. And what is it? What are we talking about when we talk  
15 about a shelf life?

16 A. The shelf life that everybody's familiar with on the carton  
17 of milk that you have at home. It doesn't mean that the milk  
18 spoils the day after, but it might, depending on your storage  
19 conditions, because if you didn't store that milk in the  
20 refrigerator, it's shelf life is going to be dramatically  
21 shorter than what was specified on the container.

22           So shelf life is a manufacturer's -- and this is an  
23 accredited manufacturer of certified reference materials; for  
24 example, Cerilliant in the case of meth: They will certify or  
25 essentially attest to the quantity of meth in a standard and to

Janine Arvizu - Direct

1 the identification of the material -- that is, in fact it's  
2 really meth -- for a period -- their shelf life is three years  
3 if it's appropriately stored. And that means it's stored  
4 unopened at temperature of 20 degrees centigrade or less and in  
5 the dark. So those are the conditions under which that  
6 manufacturer can essentially certify that this is going to be  
7 what we tell you it is for that period of time.

8 Q. So if it's first used on August 18, 2011, does that mean in  
9 August 18, 2014, that it should no longer be used?

10 A. Yes.

11 Q. And so would testing a known reference positive standard  
12 like that -- would testing it every three years to see whether  
13 or not it was -- still had the characteristics of  
14 methamphetamine hydrochloride -- would that be an adequate  
15 substitute or override for the shelf -- the manufacturer's  
16 shelf life?

17 A. It may be. And there is conditions that have to be placed  
18 on that for that to be acceptable, because the problem is  
19 you're using it during that period; right? So there are  
20 periods of use during that three-year period where it's not  
21 sealed, where it's exposed to the light, where it's exposed to  
22 the atmosphere. Poor lab practices can introduce contaminants  
23 to the materials, so it may or may not be. And there can be  
24 within a lab's quality systems a means of essentially  
25 requalifying a standard, but it's a fairly rigorous process

1 against another NIST certified material.

2 Q. Than an I apologize if I asked you this: Did Ms. Irby  
3 adequately document or provide information in this proceeding  
4 that would lead you to believe that the reference standard that  
5 she employed in the GCFID testing -- I'm sorry -- in the gas  
6 chromatograph testing was acceptable and reliable from the  
7 standpoint of a quality auditor?

8 A. No. It was not possible to verify the traceability of any  
9 of these materials. And by her testimony, she did not remember  
10 whether or not the materials were purchased as certified  
11 reference materials from a commercial accredited supplier or  
12 prepared in-house by one of the DEA laboratories.

13 Q. I want to ask you with respect to the analysis of Ms. Irby  
14 whether or not she submitted or provided information adequate  
15 for the criteria and/or requirements for approval or rejection?

16 A. She did not.

17 Q. What are we talking about there?

18 A. Okay. That's essentially in very specific terms the  
19 criteria that laboratory analysts use to draw conclusions about  
20 results. In the case of qualitative identification, for  
21 example, using mass spectrometry, typically that is very  
22 explicit direction as to, in order to draw the conclusion that  
23 an unknown sample is methamphetamine, these ions must be  
24 present at a specified relative abundance; and if they're not  
25 then you can't call it meth.

1           It's not a question of just looking at the spectrum  
2 and saying, Yeah, it looks like meth to me. There are actually  
3 quantitative, very specific criteria that must be met; and  
4 those are set in advance. You don't get to sort of change the  
5 criteria as you go along. And those criteria typically include  
6 identification of the base peak, identification of other  
7 qualifying ions that were specifically chosen because of their  
8 specificity to the compound in question.

9   Q. And in terms of the data provided, for example, with mass  
10 spectrometries, did she provide the base peak that was evident  
11 in the methamphetamine hydrochloride -- that she determined was  
12 methamphetamine hydrochloride?

13   A. I'm not sure I understand your question. Can you try me  
14 again?

15   Q. Was the data that she provided adequate to confirm her  
16 analysis with regard to criteria and requirements to determine  
17 what the substance was?

18   A. No. There was simply the conclusion, but the empirical  
19 basis for that conclusion was not documented and again not  
20 procedurally specified; so she didn't either note on the data  
21 that she confirmed meth based on these specific ions being  
22 present at the expected M over Z ratio, which is just value,  
23 and at expected relative abundance. She just said, It's meth.

24   Q. Now, with respect to how you determine what an appropriate  
25 standard is within the scientific community, is your testimony

1 consistent with the accepted standards within the scientific  
2 community at large?

3 A. Yes.

4 Q. And how -- what resources did you use or how did you kind  
5 of research or investigate or form those opinions so that you  
6 can say to this court, Hey, I am able to articulate what is the  
7 accepted standard within the scientific community?

8 A. Because these standards have been adopted by, dare we say  
9 it, the standard organizations. It sets very specific  
10 expectations for what constitutes a reference material, what  
11 constitutes the kinds of quality control necessary to verify  
12 results.

13 I'm not sure I'm answering your question.

14 Q. Have you discussed with other -- with scientists these  
15 types of standards and requirements for valid forensic testing?

16 A. Sure. Valid analytical testing in the more general sense  
17 as well as in specifically to the forensic community. And it's  
18 actually -- it's actually written down on -- the sort of an  
19 introduction to Mass Spec. 101 on the website for the  
20 instrument manufacturer; that it explains to you why these --  
21 You don't have to dig deep to find these kinds of requirements  
22 and these kinds of guidelines.

23 Q. We talked about positive controls and actually the  
24 manufacture of positive controls. The type of testing that  
25 Ms. Irby employed in some aspects did require the use of

1 positive controls?

2 A. The use of positive controls is always required.

3 Q. And she did not; correct?

4 A. Did not, with the exceptions that I've already mentioned.

5 Q. Thank you.

6 I want to ask you about mass spectrometry as a  
7 confirmatory technique for methamphetamine. Is this a  
8 difficult determination with respect to methamphetamine  
9 hydrochloride as a unique substance, or is it one that's  
10 relatively simple?

11 A. It's seriously complicated by some facts that are specific  
12 to that class of compounds. Mass spectra -- If you look at  
13 mass spectra for organic compounds, for things we encounter  
14 routinely, caffeine and a variety of other compounds, it's what  
15 I'll call a "data rich spectrum." There are a lot of ions,  
16 lots of little lines on the spectrum; and that gives you more  
17 information to assess how specific and how unique that test  
18 result is.

19 The problem with mass spec. on methamphetamine is that  
20 if you look at that spectrum, its got this one big peak -- and  
21 I could pull it out of one of the exhibits that has -- I think  
22 already been introduced. Ms. Irby's declaration has it  
23 attached. But it has one large peak at a specific and very low  
24 mass-to-charge ratio. Its on the left side of the spectrum.  
25 Well, that's where smaller fragments are found.

1           There is not a lot of information at the right end of  
2 the spectrum, which is where the higher molecular weight  
3 fragments, the more information-rich fragments are found, the  
4 ones that are more specific for purposes of identifying an  
5 unknown.

6           The problem with meth is you simply don't have enough  
7 information to draw a conclusion about whether or not it's meth  
8 unambiguously if all you're doing is direct injection of a  
9 straight unadulterated meth molecule, because that is a peak  
10 representing dimethylamine that can come from all different  
11 kinds of compounds, not just meth.

12           And in the scientific literature it's -- and in  
13 practice in forensic labs all over the country and as  
14 guidelines from a lot of standards organizations explain to  
15 you, when you don't get an information-rich mass spectra, you  
16 have to do something. You have to behave like an analyst and  
17 you have to do something to the molecule to make it more  
18 amenable to analysis.

19           In the case of meth, an example of what you could do  
20 is can derivatize it, basically put some other -- chemically  
21 bond some other things to that molecule so that when you break  
22 that apart, instead of just giving that one peak, you get a lot  
23 of peaks with higher mass so it's more descriptive, more useful  
24 for distinguishing meth from something else.

25           That's what's done in practice widely. That's off --

1 that's what's -- If you're going to do direct, just  
2 straightforward with no derivatization, you've got to  
3 understand that that's only a tentative indication. It does  
4 not confirm the presence of meth because you simply don't have  
5 enough information.

6 Q. And in Ms. Irby's testimony, does she describe confirming  
7 it under circumstances where she hadn't done the heightened  
8 examination that you described?

9 A. That's correct. In addition, you can't confirm it the way  
10 she did GC mass spec. because she didn't run a contemporaneous  
11 standard; so she had no retention time information to use as  
12 the basis for saying the GC is consistent with meth and the  
13 mass spec. is consistent with meth.

14 So she not only had issues with the mass spec. part,  
15 but the GC part, chromatographic retention times change over  
16 time as the conditions of the column change in accordance with  
17 conditions, so you can't use a chromatographic retention time  
18 from months ago as the basis for identifying something as meth  
19 today.

20 Q. So --

21 A. So she didn't have enough information to conclude  
22 conclusively that it was meth after her GCMS analysis.

23 Q. So she had peaks in data to tentatively indicate that it  
24 was methamphetamine hydrochloride; correct?

25 A. That's correct.

1 Q. And there is a --

2 A. Not hydrochloride. Just methamphetamine. Sorry.

3 Q. And that there is a technique that can be used in order to  
4 amplify the molecule so that you get a more definitive result?

5 A. That's correct.

6 Q. She did not employ that technique?

7 A. She did not.

8 Q. So from the standpoint of -- and are these statements that  
9 you're making with regard to testing methods -- are they  
10 generally -- the testing methods that you've just described:  
11 Are they generally accepted within the scientific community  
12 regarding forensic analysis of methamphetamine?

13 A. Yes.

14 Q. Did she conform or not conform, then, in her conclusions  
15 about what the substance was with the mass spec. testing with  
16 generally accepted notions within the scientific community?

17 A. For tentative identification, possibly, but not for  
18 confirmation.

19 Q. As a general proposition, did the quality standards -- is  
20 the quality of Ms. Irby's testimony sufficient to meet the  
21 standards within the generally accepted scientific community?

22 A. No.

23 Q. And with respect to the production of data, meaning  
24 providing to an independent examiner an amount of data and a  
25 quality of data, is that -- that which was produced by

1 Ms. Irby -- is that consistent with generally accepted  
2 standards within the scientific community?

3 A. No.

4 Q. And based upon that, do you have an opinion as to whether  
5 or not the quality of examination in terms of the results meet  
6 either generally accepted scientific standards of Ms. Irby's  
7 testing -- within Ms. Irby's testing?

8 A. I believe they do not.

9 Q. Now, you heard Mr. Oulton testify, for example, today that  
10 the number of tests employed by Ms. Irby exceeded the standards  
11 as established by policy recommendations of specific  
12 organizations.

13 A. Yes.

14 Q. And would you agree that in light of the fact that you do  
15 not believe that specific tests met the quality standards  
16 generally accepted because of the number of tests that were  
17 done that within the general scientific community that we can  
18 say that we have a reliable opinion that the substance tested  
19 was in fact methamphetamine?

20 A. That was a long question. Let me see if I understood it.

21 Q. So if you have --

22 A. Doing essentially an unreliable -- a whole series of  
23 unreliable tests taken as a group makes them more reliable?

24 Q. So you've heard the statement "The whole is only as good as  
25 the sum of its parts"; correct?

1 A. Yes.

2 Q. And in your testimony today, you have in particular  
3 discussed parts; correct?

4 A. Yes.

5 Q. Are there enough -- and some of those parts, for example,  
6 what we just discussed as far as reaching tentative conclusions  
7 on one test and saying tentatively it looks like  
8 methamphetamine, that's probably acceptable but conclusively  
9 it's not; but if we kind of look at the whole, what we're left  
10 with from a posture of a quality auditor, do we have enough  
11 within the those six tests to say within the standards of the  
12 generally accepted community that what we have here is reliable  
13 testing methods and standards?

14 A. Yeah, you're trying to -- The problem is we, as analytical  
15 chemists, have to draw a conclusion based on each discrete  
16 test. And if there is a degree of unreliability associated  
17 with those discrete tests but I have no way of quantifying  
18 that, I don't really have a way of assessing quantitatively the  
19 effect -- the overall impact of doing a lot of unreliable tests  
20 in series.

21           However, I will state that I don't believe that a  
22 conclusion that I'm a hundred percent accurate in qualitative  
23 identification without exception by virtue of doing more is  
24 scientifically supportable.

25           MR. BROWN: Thank you. I have no further questions,

1 your Honor.

2 *THE COURT:* Thank you.

3 Any further examination, direct examination of this  
4 witness?

5 *MR. EDELMAN:* Yes. I have a few, your Honor.

6 *THE COURT:* Would you please go to the lectern.

7 *MR. EDELMAN:* Yes.

8 **DIRECT EXAMINATION**

9 *BY MR. EDELMAN:*

10 Q. Good morning, Ms. Arvizu.

11 A. Good morning.

12 Q. I don't think you testified today that you also testified  
13 on April 19 here in the same case. Do you remember that?

14 A. I do.

15 Q. And you testified with regards to the same sample of  
16 methamphetamine. Do you remember that?

17 A. Well, I wouldn't call it the same sample but a sample from  
18 the same case.

19 Q. And same batch, let's say, of methamphetamine. Do you  
20 remember that?

21 A. A sample of methamphetamine from the same case.

22 Q. Okay. Methamphetamine was seized in this case. I think it  
23 was 12 separate packages.

24 A. That's correct.

25 Q. And you remember that those 12 separate packages were then

1 made into one composite. Remember that at some point?

2 A. There was a series of discrete sampling and composite  
3 preparations over the course of the two testing events.

4 Q. And it was never segregated for the sample or broken up  
5 into the same 12 samples for the testing -- for the tests now?

6 A. That's correct. In this case, that's not possible given  
7 what was previously done to the samples.

8 Q. And you criticized -- and that was done by Ms. Chan, a  
9 chemist out of DEA lab; is that correct?

10 A. That's correct.

11 Q. And you criticized those -- that tests -- those tests; is  
12 that correct?

13 A. I did a data quality assessment. I wouldn't call it  
14 criticize, but --

15 Q. Okay. And do you remember the Court disallowed or  
16 suppressed the results of the opinion of Ms. Chan?

17 A. That's my understanding.

18 Q. You were here when the Court issued a ruling. Do you  
19 remember?

20 A. Yes.

21 Q. And do you remember -- have you compared the -- your review  
22 of the information that you examined of Ms. Chan's bench notes  
23 and the scientific results of devices with the bench notes and  
24 scientific devices utilized by Ms. Irby?

25 A. Yeah. The level of information provided from both separate

1 analytical circumstances is very similar. The actual practices  
2 were a little bit different, some slight differences in  
3 analytical techniques that were used, obviously differences in  
4 the materials subject to analysis; but many, if not most, of my  
5 conclusions were the same simply because they shared the common  
6 characteristic of not running positive controls.

7           There were some differences. There was some blank  
8 contamination in the mass spec. the first time around. There  
9 was no blank run with the quantitation that was performed in  
10 this -- in this set of testing. That's a critical deficiency  
11 that would render those results completely unacceptable. So  
12 there were some differences. But by and large, most of the  
13 practices appear to be the same; namely, relying on reference  
14 standards that were run weeks or months previously.

15 Q. That's what I wanted to get at. Ms. Chan's sampling or  
16 testing was done, I think, in November or December of 2010;  
17 correct?

18 A. That's my recollection.

19 Q. And the testing-- and testimony and the hearing was on  
20 April 19, 2010 [*sic*]?

21 A. Yes, in April.

22 Q. And the results of the Court's ruling on April 19, 2011?  
23 Excuse me.

24 A. 2011, yes.

25 Q. And Ms. Irby's testing was done after the Court's ruling?

1 A. Yeah, just a matter of days after, if I recall.

2 Q. And does it appear that Ms. Irby changed any of her testing  
3 based upon the Court's ruling, took any of the suggestions of  
4 the Court or corrected any of her methods for lack of a better  
5 word to satisfy the Court's --

6 A. I don't know what Ms. Irby's practices were before that.  
7 But certainly in the testing she performed, it did not address  
8 any of the deficiencies that were identified in court.

9 Q. Thank you. All right.

10 Now, briefly: So we still had that composite that we  
11 were dealing with that Ms. Irby tested?

12 A. Yes.

13 Q. All right. Than that made the test, regardless of what  
14 equipment we used, invalid?

15 A. It impacts your ability to draw conclusions about how  
16 representative the results are of what was received.

17 Q. And do you remember in the Chan testimony on April your --  
18 the Chan hearing on April 19 -- Do you remember if you  
19 testified that a visit to the lab would have been helpful for  
20 you to validate the actual -- that Ms. Chan or that the  
21 laboratory actually conducted any of the methods or procedures  
22 that Ms. Chan may have testified to?

23 A. I don't remember if I testified about that; but certainly a  
24 visit to a lab as auditor is always helpful in understanding in  
25 a very practical sense practices in that laboratory.

1 Q. Were you ever invited to the DEA lab for either set of  
2 tests?

3 A. No.

4 Q. And in the Chan hearing, we talked about the ISO 17025  
5 standards, and I don't think Mr. Brown referred to those  
6 standards. He was referring to the generally accepted  
7 scientific standards.

8 Are those ISO standards the same or similar to the  
9 generally accepted scientific standards that you were referring  
10 to in Mr. Brown's questions and your answers?

11 A. Yeah, generally accepted scientific standards. That is the  
12 mechanism through which those standards were promulgated and  
13 distributed internationally, is through publication of  
14 standards such as that.

15 Q. The ISO?

16 A. Correct.

17 Q. Okay. And was there sufficient documentation in Ms. Irby's  
18 paperwork to reconstruct her practices and protocols, etc.?

19 A. No, there was -- there were no procedures. And as I  
20 already testified, the level of detail necessary to understand  
21 completely the actual practices performed in the laboratory  
22 were not documented or available by reference in a procedure.

23 Q. And do you remember assisting me in preparing my requests  
24 for discovery in this case?

25 A. Yes.

1 Q. And did we request that information?

2 A. Yes.

3 Q. And did we receive it?

4 A. Only in part.

5 Q. And had you received that information, might you be able to  
6 have been better able to reconstruct the testing methods and  
7 procedures to be able to satisfy the criteria set forth in the  
8 Rule 702?

9 A. Well, sure. If there are procedures that establish  
10 criteria, performance criteria that must be met prior to  
11 analysis and those kinds of things, then that would certainly  
12 improve the reliability of the results reported; but in the  
13 absence of those procedures, I can't just assume that those  
14 practices were all acceptable.

15 Q. Do the ISO standards allow laboratories and the chemist to  
16 memorize methods or to use some sort of a document to rely upon  
17 when performing the tests?

18 A. Quality standards don't prevent anybody from memorizing  
19 their procedures, but they do require that procedures be  
20 documented and approved and they do explicitly list the kinds  
21 of things which must be included in those procedures, many of  
22 which we've talked about today.

23 Q. And is there any reason why they have to be secret?

24 A. I can't imagine why in a forensic arena. There are  
25 certainly disciplines where the proprietary nature of a testing

1 method is a business advantage. For example, if they're  
2 developing new instrumentation or developing methods that  
3 they're trying to patent. So there are circumstances where I,  
4 as an auditor, have had -- where an audited entity has had a  
5 proprietary interest in keeping their procedures secret from  
6 competitors. And that's readily addressed through some sort of  
7 agreement to do that. So, you know, they still share them with  
8 me as an auditor.

9 Q. Is there a reason that you can think of for a forensic -- a  
10 public entity forensic lab to keep something like their  
11 procedures and methods secret?

12 A. If there is, I can't think of what it might be.

13 MR. EDELMAN: Thank you. I have nothing further.

14 THE COURT: Thank you.

15 Any further direct examination? I'm not seeing anyone  
16 stand up, so probably this is a good time for us to take our  
17 noon hour recess. When we reconvene this afternoon -- and  
18 we'll reconvene at 1:30 -- we will begin with cross-examination  
19 of Ms. Arvizu.

20 We'll stand in recess until then.

21 (Recess at 11:45 a.m.)

22 (Reconvened at 1:34 p.m.)

23 THE COURT: Please be seated.

24 When we recessed, we were getting ready for  
25 cross-examination of Ms. Arvizu. Are you ready to proceed?



1 A. This appears to be the contemporaneous record of the  
2 testing performed by Ms. Irby on the work in this case.

3 Q. And are you familiar with a term, maybe a term of art, with  
4 reference to scientific reports; and that term of art is a  
5 self-supporting document or a self-sustaining document?

6 A. Familiar with it.

7 Q. And what is your understanding of the use of that term?

8 A. A document that essentially stands of its own weight or a  
9 set of documents that essentially presents a complete picture  
10 of the analyses in question.

11 Q. And you have been present throughout these proceedings and  
12 specifically present when Mr -- predecessor witness Mr. Oulton  
13 testified?

14 A. Yes.

15 Q. And do you recall Mr. Oulton testifying concerning the  
16 exact documents that I've invited you to review and his  
17 testimony being that that -- those three pages, 19, 20, and 21,  
18 are self-supporting documents?

19 A. I don't know if his specific reference was to the three  
20 pages or to Ms. Irby's entire declaration. I didn't hear  
21 carefully enough to know how they qualified that exhibit.

22 But this exhibit in its entirety does not constitute a  
23 complete auditable record of the work that was done in this  
24 case.

25 Q. And what if you combine the three pages, page 19, 20, and

1 21 of Exhibit 1, with the declaration? Then do we have a  
2 document or a body of work that you consider consistent with  
3 your understanding of the term self-supporting document, a  
4 self-supporting document?

5 A. No.

6 Q. And why don't we have a self-supporting document, contrary  
7 to the testimony of Mr. Oulton, please.

8 A. From the information presented, you don't have the level of  
9 detail necessary that would typically be provided in a  
10 procedure. There is not traceability documentation  
11 demonstrating the traceabilities or the scientific acceptance  
12 of the standards that were used. Those are examples.

13 Q. And so when you were present and recall, if you recall, my  
14 questions of Mr. Oulton regarding the source of what was --  
15 what is alleged to be a known sample, what's lacking is the  
16 condition of that source, that source reference material; the  
17 age of the material; the conditions of, for instance, whether  
18 it was in the light or dark or whether it had been accessed  
19 before, those sorts of things, are absent in the exhibit and in  
20 the declaration?

21 A. That's correct.

22 Q. And these insufficiencies render the documents not  
23 self-sustaining or self-supporting; am I correct?

24 A. That's correct.

25 *THE COURT:* Is there anyone else who wants to engage

1 in direct examination?

2 All right. We're moving to cross-examination now.

3 *MS. MAGNELLI:* Thank you, your Honor.

4 **CROSS-EXAMINATION**

5 *BY MS. MAGNELLI:*

6 Q. Ms. Arvizu, picking up on that point briefly, you just  
7 testified that that document is not self-supporting, it does  
8 not have an auditable record; is that right?

9 A. Correct. It does not establish a complete audit trail.

10 Q. Okay. And that's how you're looking at it, as an auditor;  
11 correct?

12 A. That's correct.

13 Q. Not at a chemist; is that correct?

14 A. Oh, I'm a chemist auditor.

15 Q. I understand that. But you're not looking at it a chemist.  
16 You testified that you're working as an auditor; is that right?

17 A. In conducting an assessment of laboratory work product, my  
18 training as an auditor is specifically to the assessment of  
19 laboratory work. For example, as an auditor, I would not be in  
20 a position to go audit bridge construction because that's not  
21 my area of expertise. My area of expertise is specifically  
22 related to analytical measurements.

23 Q. It's actually related to energy and environmental type of  
24 analysis, isn't it?

25 A. That can be one of them, yes.

1 Q. But you have no training in forensic chemistry whatsoever?

2 A. I have training in the underlying disciplines. As I  
3 understand forensic chemistry, it is the practice of chemistry  
4 intended for introduction in courts of law, the practice of  
5 toxicology in introduction of courts of law, the practice of a  
6 variety of scientific disciplines as applied to the legal  
7 system. And that's not a legal answer, but that's my very  
8 practical answer.

9 Q. Well, you've testified before that you've never analyzed a  
10 controlled substance; correct?

11 A. I have not.

12 Q. And you've testified before that you have never audited for  
13 accreditation a forensic lab; isn't that correct?

14 A. I have audited the work product of innumerable forensic  
15 labs. I have not conducted an on-site audit for accreditation  
16 purposes.

17 I thought I heard you say "accreditation" in there.

18 Q. You did. Yes, ma'am.

19 A. Okay.

20 Q. So is it safe to say that when you've audited data from  
21 forensic labs, it's been for defense; is that right?

22 A. Yes, ma'am.

23 Q. Okay. So you've never actually walked in, independent of  
24 either the defense or the government, and audited a lab for  
25 accreditation for a third party?

1 A. A forensic lab?

2 Q. Yes.

3 A. No.

4 Q. Okay. So again today you're testifying as an auditor -- is  
5 that right -- and not a forensic chemist?

6 A. That's correct.

7 Q. So when you talk about these documents not being  
8 self-supporting, you're not actually reviewing them as another  
9 competent chemist might review them. You're reviewing them for  
10 auditing purposes; isn't that right?

11 A. No. As an auditor, what I'm doing essentially is  
12 conducting what's called a data audit and making a  
13 determination as to whether the final result reported by a  
14 laboratory stands of its own weight; if that complete audit  
15 trail exists to support exactly what happened to that lab, that  
16 sample from the time it was originally collected to the time  
17 the result was reported; is there a complete and consistent  
18 audit trail to understand who did what to it when and in  
19 accordance with which criteria.

20 Q. So you're looking at the final result to see if that's  
21 reliable?

22 A. Yes.

23 Q. Okay. Do you understand that that is not at issue here  
24 today?

25 A. I don't make any claim to understand the legal issues. I

1 simply am trying to testify to the scientific reliability of  
2 the results that were reported in this case.

3 Q. Now, auditors don't actually make scientific conclusions;  
4 isn't that right? They look at a standard and see whether or  
5 not a lab follows that or not?

6 A. In the case of auditing for, for example, if you're  
7 auditing on behalf of an accrediting agency, that's exactly  
8 what you do. But you can't divorce yourself from the  
9 underlying science, because that's the difference between --  
10 You've heard testimony earlier about ISO 9000 and ISO 17025.  
11 The big difference between those two standards is the technical  
12 performance part of 17025. You need to demonstrate technically  
13 and prove technically that you're capable of making those  
14 conclusions.

15 Q. Okay. So you just mentioned ISO 17025, and we've heard  
16 testimony that there is a supplemental requirement; is that  
17 right?

18 A. Yes.

19 Q. And you don't have that supplemental requirement?

20 A. I do not.

21 Q. Okay. So you actually don't have all the standards that  
22 ASCLD/LAB accredits to; is that right?

23 A. I do not.

24 Q. And you would not qualify as a technical assessor for  
25 ASCLD/LAB; isn't that correct?

1 A. That is correct.

2 Q. Because you don't have any experience in a crime lab or in  
3 the forensic chemistry discipline?

4 A. That's correct, as applied by ASCLD/LAB.

5 Q. Correct. As ASCLD/LAB?

6 A. Yes.

7 Q. Okay. So I want to talk about ASQ for a moment. You, I  
8 think, indicated you were still certified by ASQ?

9 A. Yes.

10 Q. Is that right?

11 A. That's correct.

12 Q. And you are a member of their energy and environmental  
13 division; is that correct?

14 A. No.

15 Q. You are listed on their web assignment as member of the  
16 energy and environmental division. Is that an error?

17 A. It must be, because I just renewed a matter of a couple of  
18 weeks ago, and I am not; so I don't know if there was a problem  
19 on their end.

20 Q. Okay.

21 A. But I'm a senior member of ASQ. I'm not affiliated with a  
22 particular section.

23 Q. Okay. And ASQ does not audit for accreditation forensic  
24 labs; is that right?

25 A. That's correct.

1 Q. Were you at some point --

2 A. They're not an accrediting agency.

3 Q. Correct. They don't audit forensic labs.

4 A. No.

5 Q. All right. And were you a member of their energy and  
6 environmental division at some point?

7 A. I was in years past, yes.

8 Q. Okay. I'm going to have to apologize. I'm going a little  
9 bit out of order, and this might not make a lot of sense; but  
10 I'm trying to encompass everything that was asked of you as  
11 well as my own questions, so bear with me, please.

12 I want to talk a little bit about your education. You  
13 were asked several questions about the educational background  
14 that you have to support -- or in your CV. Do you remember  
15 that?

16 A. I'm sorry. I missed part of your question.

17 Q. Your educational background: You were asked about that --

18 A. Yes.

19 Q. -- in terms of your CV, and you were asked about your CV?

20 A. Yes.

21 Q. Now, it's my understanding that you have an undergraduate  
22 degree in biochemistry?

23 A. That's correct.

24 Q. From 1976?

25 A. Yes, that's correct.

1 Q. And biochemistry: That deals with the chemical processes  
2 of a living organism?

3 A. Yes.

4 Q. Okay. Now, you talked about an ABD in chemistry from the  
5 University of New Mexico; is that right?

6 A. Yes.

7 Q. And you've actually testified about that in numerous cases;  
8 is that right?

9 A. Testified about what? I'm sorry.

10 Q. Having an ABD in chemistry.

11 A. Yes.

12 Q. Okay. Now, you've testified that's an all but  
13 dissertation, and I just want to understand a little bit. From  
14 your CV, it's not clear what years you attended the University  
15 of New Mexico. Could you tell us, please.

16 A. Oh, dear. Let's see. I think I started -- I didn't start  
17 in '76. There was a year delay, so I would have started in  
18 '77, I guess. I'm really, really bad with dates, unless there  
19 is some big event I can tie it to. And I think I was there --  
20 well, I just -- until I went to Idaho.

21 Q. Okay. And your CV lists EG&G in Idaho starting in 1982?

22 A. Okay. Yeah. EG&G was the operating contractor for the  
23 Department of Energy's laboratory there.

24 Q. And that's in Idaho. Is that correct?

25 A. Yes. I left straight from graduate school to go there.

1 Q. So you were at UNM for about five years?

2 A. Yes.

3 Q. And you testified about the dissertation. How many  
4 semesters did you actually completely of the coursework?

5 A. I don't know. I don't remember specifically how long I  
6 took courses. I'm guessing it was probably about two years'  
7 worth of coursework --

8 Q. Okay.

9 A. -- on a full-time basis.

10 Q. Okay. And correct me if I'm wrong, but for the graduate  
11 chemistry department at UNM, after you finish the coursework,  
12 you take a series of examinations; is that right?

13 A. That's correct.

14 Q. And after the examinations, you write a research proposal,  
15 and that can either be accepted or declined?

16 A. That's correct.

17 Q. Did you write a research proposal?

18 A. Yes.

19 Q. What was the topic?

20 A. It dealt with fluoro -- amino fluorene compounds and their  
21 similarities to some other compounds near them on the periodic  
22 chart. It basically was a further application of some of the  
23 work that I had done.

24 Q. Okay. And was that accepted?

25 A. Yes.

1 Q. And was it accepted the first time?

2 A. Yes.

3 Q. And who was your research advisor?

4 A. Robert Paine, P-A-I-N-E.

5 THE COURT: Counsel, is this for discovery purposes?

6 MS. MAGNELLI: Not at all, your Honor. These are for  
7 qualifications.

8 THE COURT: Well, please move along.

9 BY MS. MAGNELLI:

10 Q. And you said you had an ABD, and in the first hearing you  
11 testified that you are a candidate for the Ph.D. program; is  
12 that right?

13 A. It's -- what it is is it's admitted to candidacy once  
14 you've completed all the exams, course work and exams and  
15 qualifiers.

16 Q. Okay.

17 A. ABD is just an indication that I didn't defend a  
18 dissertation.

19 Q. Isn't it true that UNM doesn't actually offer or bestow a  
20 certificate, title, designation, or degree called ABD?

21 A. That's correct. That's correct.

22 Q. And isn't it true that UNM requires Ph.D. candidates to get  
23 their Ph.D. within five years?

24 A. I believe it's ten, but there is a time limit.

25 Q. Okay. So would it be correct to say your ABD status has

1 expired?

2 A. It's not -- it's not a degree, so I don't know how that --  
3 what I'm trying to convey is that I completed the academic  
4 coursework through admission to candidacy but did not defend.

5 If that -- may be a term of art. That may not be the best way  
6 to do it, but --

7 Q. Well, let me ask it this way: Isn't it sort of a loose  
8 term that's used between research advisors to talk about their  
9 current Ph.D. candidates, someone that has an all but  
10 dissertation but is an active candidate for Ph.D.?

11 A. I've not heard it used in that way. I was actually advised  
12 to do it this way.

13 Q. Would it surprise you to know that the UNM graduate  
14 chemistry department considers that a loose term that's only  
15 used on campus?

16 A. Only on UNM campus? That would surprise me a lot.

17 Q. All right.

18 A. Because I see it used in throughout the country.

19 Q. You see it used on people's CVs?

20 A. Oh, yeah.

21 Q. Would it surprise you to know that your status has expired?

22 A. Oh, not at all. My status of being eligible for a Ph.D.,  
23 you mean?

24 Q. Yes?

25 A. Yes, that's correct.

1 Q. Okay. And just to be clear, you've never worked in a  
2 forensic lab in any capacity as quality manager or anything?

3 A. That's correct.

4 Q. You've never managed a forensic lab?

5 A. No.

6 Q. And as you testified, you're not familiar with the law,  
7 you're not an attorney?

8 A. Not at all.

9 Q. You're not a member of the law enforcement community?

10 A. No.

11 Q. And when was the last time you actually did an analysis?

12 A. Oh, it's been many years. It would have been when I was in  
13 Idaho.

14 Q. And you were in Idaho from approximately 1982 to 1992; is  
15 that right?

16 A. Yes.

17 Q. And you were part of the environmental chemistry unit  
18 there?

19 A. That's correct.

20 Q. And the Department of Energy's Idaho national laboratory is  
21 one of 17 DOE labs across the country; is that right?

22 A. I actually don't know how many there are, but there are a  
23 number of them.

24 Q. Okay. Within DOE, it's a 900 square mile facility, isn't  
25 it?

1 A. It's very, very large.

2 Q. It's huge?

3 A. Yes.

4 Q. Okay. So they really don't have -- When you say that you  
5 managed -- established and managed an analytical lab, DOE would  
6 consider that a unit because the whole thing is a lab; isn't  
7 that right?

8 A. Oh, I see your question. Yes, because we call them  
9 national labs. I certainly would never mean to suggest that I  
10 managed INL. My management was directed specifically to the  
11 analytical testing laboratory, which was 40-some people.

12 Q. Okay. When it began, it was 1985. You had about four  
13 people; is that right?

14 A. Yeah. I don't remember the specific dates, but it started  
15 with about four people.

16 Q. Okay. And the machines that you had -- the only ones that  
17 would mimic the ones here were the GC and GCMS; is that  
18 correct?

19 A. We also had FTIR, is my recollection.

20 Let's see. We did not have LC -- HPLC. And we did  
21 not have are a GC interface to the IR. We just had them as  
22 separate instruments.

23 Q. So the other tests that Ms. Irby ran, you've never actually  
24 run them before; is that right?

25 A. I've never run a color test. I've run liquid

1 chromatography, I've run GC and IR and mass spec. but not their  
2 instruments.

3 Q. But you've never run the hyphenated techniques?

4 A. GC mass spec, yes.

5 Q. Just the GCMS?

6 A. Just the GCMS. Not the GCIR. I said they were separate.

7 Q. The IRATR: The ATR is actually something that was  
8 developed after you left actual analysis; is that right?

9 A. Yeah. The ATR component of the IR, it's really just like a  
10 component. It's not a separate instrument like the GC.

11 Q. And that came about after you left analysis?

12 A. Yes.

13 Q. Okay.

14 A. Actually, I believe it was in the literature and out there.  
15 It's just we didn't have one.

16 Q. And when you were doing analysis, you were doing things  
17 like environmental contaminants, waste, things of that nature?

18 A. Yes.

19 Q. Again pardon me for skipping around a little bit.

20 You talked a little bit about data quality  
21 assessments. Is that your term?

22 A. No.

23 Q. Okay. The data quality assessment: This is really just  
24 looking at paperwork; correct?

25 A. That's correct. It's based on simply the written record.

1 Q. And you've talked about you were not able to reconstruct  
2 practices, protocols, things of that nature. What you mean is  
3 the documentary record. You couldn't, in other words reverse-  
4 engineer and figure out the protocols based on the documents.

5 A. That's correct.

6 Q. So you're not talking about the actual testing that was  
7 done. You didn't try to recreate the actual testing.

8 A. Did I physically try to re-create?

9 Q. Yes?

10 A. No, ma'am.

11 Q. And in the world of auditing, you're familiar with the ISO  
12 17025 requirements. Each clause is mandatory; is that correct?

13 A. Yes.

14 Q. None of it is optional whatsoever?

15 A. That's correct.

16 Q. And if you don't, if a lab does not comply with the clause  
17 or fix it, if they're not complying, they don't get accredited;  
18 is that correct?

19 A. That should be the case. That's correct.

20 Q. So it has to be perfect execution of the protocols to get  
21 accredited.

22 A. Well, as has been discussed -- and I don't -- I think it  
23 was in the previous hearing -- it does not guarantee that  
24 everything a laboratory does is perfect, certainly. An  
25 assessment for purposes of an accreditation is simply an

1 assessment to see whether the systems are in place and whether  
2 they have the theoretical capability of meeting the  
3 requirements on an ongoing basis. Doesn't mean that that  
4 actually happens, and it's certainly not intended to be some  
5 guarantee that it happens all the time.

6 Q. Okay. Just to be clear, quality assurance is actually  
7 itself no guarantee of reliability; isn't that right?

8 A. Oh, certainly.

9 Q. Okay. And, in fact, the identification of drugs,  
10 controlled substances: That predates quality assurance or  
11 accrediting bodies; isn't that right?

12 A. I don't -- it certainly predates the recognition in the  
13 forensic community that quality assurance is an important  
14 issue. But quality assurance has actually been around for a  
15 very long time.

16 Q. More than a hundred years?

17 A. I would imagine so.

18 Q. But you don't actually know?

19 A. I don't know the exact start date, no.

20 Q. So it's possible that the identification of controlled  
21 substances completely predates quality assurance principles?

22 A. The principles -- I guess I've never tried to study the  
23 history of quality assurance that far. But the principles are  
24 so foundational to the analytical measurement process that I  
25 would expect that the principles have been around for a very,

1 very long time packaged --

2 Q. Differently?

3 A. Perhaps not.

4 Q. You talk about -- you just talked about that the

5 assessments. The accrediting is just sort of a checkmark, the

6 theoretical capability that a lab has of providing reliable

7 results; correct?

8 A. Yeah, because you're looking as an auditor, as an

9 assessor -- you're looking at whether or not they have

10 procedures and whether they follow the procedures. It doesn't

11 mean you check their entire body of work to make that

12 determination. It's very much a spotcheck exercise to see

13 whether or not the required procedures exist.

14 And I should mention it's not generally a hundred

15 percent check against whether every required procedure exists

16 but whether the required procedures exist and whether there is

17 empirical evidence, actually documentary evidence, that that

18 procedure has been followed.

19 Q. And that is an assessment. You go in you make the

20 assessment and you accredit for the future. You don't accredit

21 retroactively.

22 A. That's correct.

23 Q. So if you actually go into a DEA lab today would have no

24 bearing on whether or not -- on the results at all from either

25 testing in this case; is that right?

1 A. If I was going in on behalf of an accrediting agency, no,  
2 it certainly would not.

3 Q. Well, even auditors -- they're not going to -- there is not  
4 going to be necessarily all the documents you need from that  
5 particular date; isn't that right?

6 A. Well, that's actually what auditors frequently are asked to  
7 do, to go back and assess what systems and practices were in  
8 effect at the time a given piece of work was performed.

9 Q. And with regard to this lab Ms. Irby worked at, you happen  
10 to know that they do use the ISO 17025 standards; right?

11 A. That is the standards that is the basis for their  
12 accreditation, yes.

13 Q. And you also heard testimony about SWGDRUG; is that right?

14 A. Yes.

15 Q. And you've heard testimony that what Ms. Irby did was in  
16 compliance with SWGDRUG?

17 A. I've heard that testimony, yes.

18 Q. Correct. Now, you testified in very general terms that her  
19 testing in fact did not meet accepted standards in the forensic  
20 community do you recall that?

21 A. Yes.

22 Q. Where in SWGDRUG Exhibit 2 did she fail?

23 A. Sorry. Mine has little Post It notes, but this one  
24 doesn't, so it may take me a little bit to find the specific  
25 examples.

1 Q. Sure.

2 A. Okay. Here's one. This is at the top of the page. It's  
3 page 25 of 63. At the bottom of the page, it says page 14.

4 Q. Okay. I'm with you.

5 A. Okay. Are you there?

6 Q. Yes.

7 A. We've heard a lot of testimony about Category A and  
8 Category B, and I certainly don't take any exception to that.  
9 That's a reasonable means of segregating these techniques by  
10 their specificity in general. But just like the rest of life,  
11 science is not always about absolutes. And even though mass  
12 spectrometry appears in Category A as the most highly  
13 discriminatory type of technique, there is some qualifying  
14 information in the section that's titled "Title 2,  
15 Characterizing Analytical Techniques."

16 Q. Yes, ma'am.

17 A. May I read?

18 Q. Please.

19 A. Okay. It says, "however, the classification of a technique  
20 may be lowered if the sample analyte or mode of operation  
21 diminishes its discriminating power."

22 Q. Okay.

23 A. And then they give as an example of diminished  
24 discriminating power "includes a mass spec. technique which  
25 only produces molecular weight information." That's a very

1 generic description that doesn't exactly say what I testified  
2 to earlier in terms of methamphetamine. As the analysis was  
3 performed in this case, it is not a very discriminating  
4 technique because you don't get the information.

5 Q. I'm sorry. Let me stop you so I can understand. What  
6 information, what specific ions are you looking for in a mass  
7 spec. to identify meth?

8 A. That's exactly the question that the laboratory has never  
9 answered.

10 Q. No, ma'am. I'm asking you.

11 A. The problem is that in order to make a mass spectral  
12 determination, you need a base peak that you can identify and  
13 confirm between the sample and the standard and you need  
14 qualifying ions, fragments that comport with that reference  
15 standard's breakdown products.

16 Q. Okay. Let me ask it another way because I don't want to  
17 waste the Court's time.

18 A. Okay.

19 Q. What are the specific mass-to-charge ions that a chemist  
20 looks for on a methamphetamine spectrum?

21 A. A direct injection? I don't know other labs that are  
22 actually qualitatively identifying meth on the basis of this  
23 kind of direct injection because of the problems that I've  
24 described. Typically they derivatize the molecules first, and  
25 then they get more information, and then they can identify

1 specific ions.

2 Q. So you don't know.

3 A. I don't know --

4 MR. BAKER: Objection, your Honor. That's totally a  
5 misstatement of what she said.

6 MS. MAGNELLI: It's not a misstatement.

7 THE COURT: Counsel, the record says what the record  
8 says. What you hear it to say and what it says may be two  
9 different things. It says what it says.

10 MS. MAGNELLI: Let me ask a different way.

11 BY MS. MAGNELLI:

12 Q. What are the specific numbers on the bottom of the mass  
13 spectrum chart that a chemist looks for? Whether or not you  
14 can use it to identify meth, what are the actual numbers  
15 they're looking for?

16 A. Well, the base peak, if you do a direct injection of  
17 methamphetamine, is at an ion charge ratio of 58, which  
18 conforms to a specific fragment of -- I believe it's  
19 dimethylamine. I'd have to sit down with a periodic chart and  
20 look up to the weights to be sure of that. But that's  
21 really -- that's really the problem. Dimethylamine fragments  
22 are not unique or other fragments with that particular ion are  
23 not unique to methamphetamine.

24 Q. Okay. And you're not familiar with any literature that  
25 would say otherwise?

1 A. I'm familiar with the UNODC guidance document that the  
2 state introduced earlier that specifically address this issue  
3 and says that that's the problem: It lacks sensitivity, so  
4 they recommend doing derivatization so you get more  
5 information.

6 Q. Are you familiar with the Sachs and Woo paper?

7 A. Which Sachs and Woo paper?

8 Q. That says you can in fact identify methamphetamine from  
9 mass spectra only with certain conditions?

10 A. If this is the same paper that I heard testimony about in  
11 the earlier trial, I'm not sure that that's the case. That was  
12 not working from an unknown but working backwards from a known,  
13 essentially trying to identify what they did see. That's a  
14 very different circumstance than starting with an unknown.  
15 They're essentially working from the other direction.

16 Q. Well, let me ask it this way: When combined with the GC,  
17 which is what we have here, the hyphenated technique, that  
18 retention time on the GC -- that makes it unique, doesn't it?

19 A. No, ma'am, it doesn't. And the reason for that is because  
20 they didn't run a known standard to see what the retention time  
21 was for methamphetamine on the day they ran these unknowns.  
22 And retention times are notoriously subject to change over  
23 time. I don't remember, without looking back, how far ago the  
24 reference standard was run; but literally over a period of days  
25 or even hours, if the instrument has been shut off, retention

1 times are known to change. And you can't identify a compound  
2 based on a retention time unless you have a contemporaneously  
3 analyzed standard.

4 Q. Are you aware of the fact that instruments in this case  
5 don't get turned off?

6 A. That may be the case.

7 Q. Would that change your testimony?

8 A. No. No.

9 Well, I should -- they may not be turned off as a part  
10 of routine operation. A lot of labs run shift work and run  
11 24-hour-a-day operation, but they still get turned off for  
12 maintenance and changing out columns and that sort of thing.

13 Q. Are you aware of fact that they ran a standard over time in  
14 this case and it didn't change?

15 MR. DRISCOLL: That's not evidence in, your Honor.

16 MR. BAKER: I may have missed it; but I don't think  
17 there has been any testimony of that, your Honor.

18 THE COURT: Thank you.

19 MS. MAGNELLI: Court's indulgence.

20 Sorry, your Honor. I got distracted.

21 BY MS. MAGNELLI:

22 Q. All right. Let's talk about contemporaneous standards for  
23 a second. You've testified actually quite a bit about running  
24 positive controls contemporaneously; is that right?

25 A. Yes.

1 Q. Now, you've testified -- you testified in April that it was  
2 the best practice, and you testified today that it was an  
3 absolute requirement. So which is it?

4 A. If the expected work product, the results, need to be  
5 reliable in terms of the qualitative identifications of the  
6 species in the unknown, then it's a requirement.

7 Q. And where is that requirement?

8 A. There is not a law. It's scientific practice. It's  
9 scientific practice as I described earlier today in the  
10 training materials for the instrument's use in this case. It's  
11 addressed in the standards that you just asked me to look in,  
12 in SWGDRUG. In SWGDRUG, it says -- let's see. It's in a  
13 couple of places. This isn't the only one. Let's see.

14 6.1.4 on page 32 of 63: "Laboratories shall monitor  
15 the analytical processes using appropriate controls and  
16 traceable standards."

17 Now --

18 MR. EDELMAN: I'm sorry. What page was that again?

19 THE WITNESS: I'm sorry. Page 32 of 63 on the top,  
20 page 21 on the bottom. This is still Exhibit 2.

21 BY MS. MAGNELLI:

22 Q. Where does it say "contemporaneously"?

23 A. It doesn't say that contemporaneously. It says you have to  
24 monitor it. And again, depending on the intended use of the  
25 data, you may have to monitor it with more or less frequency.

1           The UN document specifically requires analysis at the  
2 same time using the same standards and the same instrument.

3 Q. Can you show me where that reference is?

4 A. Yes.

5           Okay. It's on -- this is Exhibit 3.

6 Q. Yes, ma'am.

7 A. And on page 46 at the top of the page.

8 Q. Is that 46 of the total or 46 --

9 A. It's the header information. 37 is the document page  
10 number, but 46 is the one in the header.

11 Q. Thank you, ma'am. Please continue. Where does it say  
12 contemporaneous?

13 A. About two-thirds of the way down under "Results," it  
14 says -- this is in reference to using GCMS as an analytical  
15 technique for meth. "Identification is accomplished by  
16 comparing the retention time and mass spectrum of the analyte  
17 with that of a reference standard." And it continues: "All  
18 compounds identified by GCMS and reported by the analyst must  
19 be compared to a current mass spectrum of the appropriate  
20 reference standard preferably obtained from the same instrument  
21 operated under the same conditions." And it goes to reference  
22 that there are libraries, but those should be for reference  
23 purposes only.

24 Q. It never says "contemporaneous," does it?

25 A. It calls them "current."

1 Q. It says "current." But current doesn't have to say at the  
2 same time; it just means it's still good, doesn't it?

3 A. Well, "current," when you're talking about "obtained from  
4 the same instrument under the same conditions," we can't  
5 exactly reproduce instrumental conditions. We, as analysts --  
6 we can't -- we can reproduce settings, but we can't reproduce  
7 the actual performance characteristics, the actual  
8 characteristics of that instrument. The only way that can be  
9 done is by doing it contemporaneously. That's what "current"  
10 means.

11 Q. It doesn't say "same time," does it? Same instrument, same  
12 conditions. Does not say "same time," does it?

13 A. It does not say same time.

14 Q. Now, I had asked you earlier -- and we really didn't get  
15 anywhere -- what exactly -- what clauses exactly did Ms. Irby  
16 clearly fail to follow in SWGDRUG?

17 A. 6.1.1. "Laboratory shall have and follow documented  
18 analytical procedures," for example.

19 Q. Let me ask you this: You don't have those procedures;  
20 correct?

21 A. I do not.

22 Q. But you know ISO 17025 exists; correct?

23 A. I do.

24 Q. And you know that this particular lab is accredited to that  
25 standard?

1 A. I do.

2 Q. And they require analytical procedures; is that right?

3 A. They do.

4 Q. Okay. So it's not that they don't exist. It's just that  
5 you don't know what they are; is that right?

6 A. I will tell you as an auditor auditing accredited  
7 laboratories, it would not be the first time that I did not see  
8 labs that did not have procedures during a period when they had  
9 active accreditation. Again, accreditation doesn't guarantee  
10 that it's all done in accordance with the standards. It simple  
11 is an acknowledgement that the systems exist.

12 Q. I understand that. But let me ask you more specifically:  
13 You don't know whether or not she violated this clause because  
14 you don't have the procedures; is that right?

15 A. That's correct.

16 Q. Thank you. Can you point me to another clause that she  
17 violated in the SWGDRUG protocols?

18 A. It's going to be the same kind of an issue where it talks  
19 about -- 6.1.4 on that same page.

20 Q. Yes, ma'am.

21 A. "Laboratory shall monitor the analytical processes using  
22 appropriate controls and traceable standards."

23 If it was Ms. Irby's contention that her infrequent  
24 use of standards was sufficient, then there are should have  
25 been a data set provided along with this to demonstrate that

1 her measurement system was in a state of statistical control at  
2 the time this work was done.

3 That was not provided, so there is no basis for saying  
4 that this was acceptable.

5 Q. Let me ask you this, then, as a follow-up: That is  
6 assuming an auditing function. That has nothing to do with a  
7 court of law and what the Government might be required to  
8 provide; is that right?

9 A. I'm sorry. I don't understand the question.

10 MR. DRISCOLL: Objection. It requires a legal  
11 conclusion on the part of the witness.

12 MS. MAGNELLI: Your Honor, I'm trying to make the  
13 distinction that this is just about auditing.

14 THE COURT: She's already testified to that.

15 BY MS. MAGNELLI:

16 Q. Let me ask this question, then: I understand that it is  
17 your opinion that Ms. Irby should have produced this document  
18 in association with the lab data packet; correct?

19 A. Yes.

20 Q. That doesn't actually mean -- or you don't know whether or  
21 not that doesn't exist. You just know you don't have it; is  
22 that right?

23 A. That's correct.

24 Q. Okay. Can you point to me another clause that she  
25 violated, she actually violated?

1 A. Section 7.1, Instrument Performance. "Instrument shall be  
2 routinely monitored to ensure that proper performance is  
3 maintained."

4 And subsection 7.1.1: "Monitoring should include the  
5 use of reference materials, test mixtures, calibration  
6 standards, blanks, etc."

7 Q. And how did she violate that?

8 A. Again, by her testimony, she did not perform routine  
9 monitoring. In some cases, it was monitoring results from, if  
10 I recall correctly, months previous.

11 Q. Okay. She did reference certain logs and other materials;  
12 is that correct? You recall that?

13 A. She made reference in a couple of cases to the existence of  
14 logs, yes.

15 Q. Okay. So again, it's not that you know she violated this  
16 clause; it's that you don't have the materials with regard to  
17 this clause?

18 A. It's possible that it's -- it's certainly within the realm  
19 of possibility that those logs exist; that they're very  
20 complete; that they demonstrate a state of statistical control.  
21 It's possible.

22 It's possible that the DEA's standard, how high you  
23 have to go over the bar in order to draw a conclusion, is low  
24 enough that it doesn't require the use of a positive control.

25 Q. The fact is you just don't know. You don't have the

1 documents. You don't know?

2 A. I don't know. And from her testimony, I couldn't tell that  
3 any such standards existed.

4 Q. But it would be inaccurate to say that they don't exist.

5 What is accurate to say is, is that you don't know one way or  
6 another if these things you're pointing out exist.

7 A. That's correct. It's possible that they exist.

8 Q. And whether or not those documents are required for an  
9 audit really has nothing to do with the science of the testing.

10 A. It has a great deal to do with the reliability of the  
11 testing.

12 The underlying scientific principles of GCMS or any of  
13 these other techniques are not affected by this, but the  
14 reliability of the application of that technique is absolutely  
15 driven by these things.

16 Q. Let me ask you another way: When you were taking all your  
17 chemistry courses, did they teach you quality assurance  
18 principles at the same time?

19 A. You know, very little quality assurance makes its way into  
20 a conventional undergraduate curriculum in chemistry.

21 Q. So really my point is, is the quality assurance principles  
22 are separate and apart from the science behind the  
23 identification of a controlled substance. Wouldn't you agree  
24 with that?

25 A. That's true. If by "science" you mean the underlying

1 physical chemistry principles that essentially make valid --  
2 because I have no -- absolutely do not take any exception to  
3 the fact that GCMS, for example, is a robust and effective  
4 analytical technique. No question. It's well proven, it's  
5 well-understood. Scientists have been using it for years very  
6 successfully. And I've seen tremendously both valid and  
7 reliable results produced from that kind of instrument. But  
8 simply because you run it on a GCMS doesn't make it good  
9 science, doesn't make it reliable.

10 Q. I understand. I'm trying to establish that there is --  
11 there are two worlds at play here. There is the world of  
12 quality assurance, which is what you're talking about; and then  
13 there is the world of science. Is that right?

14 A. I hope they're not completely separate, because it's  
15 quality assurance as applied to science.

16 Q. I understand. Quality assurance, as you say, though, can  
17 be applied to numerous different types of disciplines.

18 A. And it is.

19 Q. Correct. But there is a real distinction: When you're  
20 learning science, you're not learning these quality assurance  
21 principles at the same time. There is a difference.

22 A. That is true. That's very true.

23 Q. Now, you talk about -- we talk about the GCMS a lot; but  
24 really, there are six tests here; right?

25 A. Yes.

1 Q. And it's not your opinion, is it, that these six tests  
2 don't identify methamphetamine? That's not your opinion, if  
3 I'm understanding you correctly.

4 A. They're capable of so doing to differing degrees of  
5 confidence.

6 Q. Now, is there any indication in the testing packet that  
7 this substance was anything but methamphetamine?

8 A. No. That's not the nature of my assessment.

9 Q. I noticed in your submissions to Mr. Edelman, your February  
10 and May letters, which were submitted for the Court's  
11 consideration, you talk about how -- how methamphetamine  
12 hydrochloride was identified by the GCMS. But today you  
13 acknowledge that you can't actually identify the hydrochloride  
14 with the GCMS. So which is it?

15 A. You can't identify the hydrochloride in the mass spec. even  
16 if you do derivatize it.

17 My concern about the confidence with meth  
18 identification and using the GCMS is the fact that it does not  
19 produce a specific data-rich spectrum. Its not got anything to  
20 do with the hydrochloride.

21 Q. I understand. I think my question goes more to the fact  
22 that after Mr. Oulton testified, your opinion seemed to change.  
23 Is it now -- do you now agree that you cannot identify the  
24 hydrochloride by GCMS?

25 A. Oh, no, you cannot identify the hydrochloride. You can

1 identify methamphetamine, if you derivatize it; and then there  
2 is not a problem.

3 Q. And we talked about the color test a little bit. We've  
4 talked about the GCMS extensively.

5 The infrared: That is a highly discriminating, unique  
6 test, isn't it?

7 A. If the substance in question is very pure, it has the  
8 ability to be -- and if the instrument is operating in a high  
9 resolution mode, then it absolutely has the ability to be a  
10 highly discriminating technique.

11 Q. And the substance here you've heard is 99 percent pure?

12 A. Well, we'll have to talk about the quantitation that was  
13 done.

14 Q. Well, you've heard that result; correct?

15 A. I've heard that result, yes.

16 Q. So that's the kind of purity you would be talking about in  
17 your last sentence?

18 A. Yes.

19 Q. And just to skip forward, all the other techniques that  
20 Ms. Irby used: Those are all capable of combining to identify  
21 methamphetamine; is that right?

22 A. Oh, yes.

23 Q. So there is really nothing wrong with the methods; isn't  
24 that correct?

25 A. That's correct. Not the methods. What -- there is not a

1 problem with is the instrumental techniques that were applied  
2 in this case; however, the method as practiced in the  
3 laboratory is what's the problem.

4 Q. So you would agree that methods were used here in this  
5 case; correct? Ms. Irby used methods?

6 A. She did use methods. We just don't know what they were  
7 because we don't have their procedures.

8 Q. Well, let me clarify what I mean by "method." Even when  
9 you look at the United Nations documents, it talks about method  
10 parameters, and those would be the settings for the  
11 instrumental techniques for the machines?

12 A. That's correct.

13 Q. So methods can be the actual tests she performed. That can  
14 be a method?

15 A. That's my point. The -- we don't have that level of  
16 procedural detail associated with her performance or her use of  
17 the GCMS.

18 Q. Okay. Let me ask one different time, because you're not  
19 actually answering my question.

20 A. I think I am.

21 Q. No, you're not.

22           Regardless of how the machine was operating, how GCMS  
23 was operating, GCMS all by itself is a method?

24 A. No.

25 Q. Whether or not it was operated correctly, it's a method.

1 It's considered a method under the United Nations documents,  
2 because they have method parameters.

3 A. There are method parameters, but it's specific to the  
4 application of that instrumental technique to a specific  
5 analyte and specific conditions. It's a narrower world. GCMS  
6 is huge. It can be done -- used to analyze innumerable  
7 compounds and innumerable species. But when I come in and ask  
8 you in the laboratory, "What's your method for determining meth  
9 using GCMS," that implies not just the fact that it's a GCMS;  
10 it's how the measurement is performed in your laboratory.

11 Q. Okay. Now, I understand your answer. You are answering  
12 from an auditing position and not a science position; is that  
13 right?

14 A. No. That's science.

15 Q. Well, the method, how it's operated and the protocols and  
16 procedures: That's a quality assurance principle, isn't it?

17 A. The policies -- we haven't talked about policies much, but  
18 policies are higher order lab-level goals than an objectives,  
19 essentially. The procedures are how things happen in practice,  
20 the recipe if you will for how things happen in a laboratory.

21 Method is a very generic kind of term; but generally  
22 asking me "What's your GCMS method," going to a lab and asking  
23 "What's your GCMS method," is not specific enough. It doesn't  
24 give enough information for the analyst even to answer the  
25 question, because GCMS as an analytical technique can be

1 applied to so many different applications.

2           So I guess I'm having a hard time understanding your  
3 question.

4 Q. I understand. My point -- I'll ask one more time and then  
5 move on. Walking into a lab and asking, "What's your GCMS  
6 method," is not my question. I'm using "method" as you noted  
7 earlier you were here during Mr. Oulton's testimony. Terms are  
8 used interchangeably a lot in this realm, aren't they?

9 A. Yes.

10 Q. Isn't it true that in the forensic community, the term  
11 "method" can simply be applied to the fact that this method --  
12 an analyst used this method and that method could mean simply a  
13 reference to the instrumental technique. That is in the  
14 literature in the forensic community. That term is used in  
15 numerous ways, isn't it?

16 A. That's certainly possible that it is that broad or that  
17 generic.

18 Q. Okay.

19 A. I'm not suggesting that that doesn't ever happen.

20 Q. Okay. Thank you.

21           You've talked -- I'm almost done. I swear.

22           You've talked about things that have been done widely  
23 in practice in forensic labs. You talked about standards in  
24 the forensic community. Isn't it true you're not actually part  
25 of that forensic community?

1 A. No, I'm not.

2 Q. And one more point on the forensic lab, just so I can make  
3 my record: You talk about in your CV that you did some work  
4 for the Navy; correct?

5 A. Yes.

6 Q. Okay. And you actually never audited any of the naval  
7 criminal investigative services labs; correct?

8 A. That's correct.

9 Q. And those were the labs that did all the forensics for the  
10 Navy?

11 A. That's correct.

12 Q. Okay. Essentially in -- throughout your testimony, what  
13 I'm understanding -- and please correct me if I'm wrong -- is  
14 that from an auditing perspective, you don't have sufficient  
15 information to say whether or not the test results were  
16 reliable or not; is that correct?

17 A. In general, yes; but my degree of discomfort in terms of  
18 the reliability results -- of the results is further  
19 diminished- or I guess my degree of discomfort is increased by  
20 the fact that I'm aware that they do not run contemporaneous  
21 positive controls and that they quantified meth in this case  
22 without including a blank in that batch, which if there had  
23 been a problem with contamination, they would never have  
24 detected it. And that's arguably probably the most important  
25 place to include a blank, in analysis such as this.

1 Q. I just want to make sure I understand that question because  
2 it seemed two-pronged -- or answer. It seemed two-pronged to  
3 me.

4 A. It was.

5 Q. You're not giving an opinion, then. You're talking about  
6 your level of discomfort. Is it true that you don't have an  
7 actual opinion as to whether or not the test results are  
8 reliable or unreliable?

9 A. No, I definitely have an opinion. And my opinion is that  
10 the lack of contemporaneous positive and negative controls for  
11 the measurements reported in this case and the lack of  
12 procedures to verify the validity of the scientific method  
13 together or even separately render the results unreliable.

14 If a client asked me, "Are these reliable," I have to  
15 tell them no. There is no objective scientific basis for  
16 concluding that they're reliable when there are no positive and  
17 negative controls and no written procedures.

18 Q. You just said scientific conclusion, but you're not giving  
19 a scientific conclusion today; correct? You are testifying as  
20 an auditor, not a chemist; correct?

21 A. The practice of data quality assessment, the practice of  
22 conducting a data audit on analytical measurements, relies on  
23 scientific principles and is, I believe, a scientific  
24 conclusion.

25 Q. And that's your opinion it's a scientific conclusion?

1 A. That's correct.

2 Q. And your opinion that the standards need to be  
3 contemporaneous is also your opinion; is that right?

4 A. I'm sorry?

5 Q. The contemporaneous standards you just testified goes to  
6 your level of comfort. The fact that -- the testimony that  
7 they should be run contemporaneously is your opinion; is that  
8 correct?

9 A. It is my opinion. It is shared by innumerable scientists  
10 practicing in the field. It's stated explicitly in the UN  
11 documents. It's stated explicitly in the Society of Forensic  
12 Toxicology documents. There are a lot of places where it is  
13 stated explicitly as a requirement.

14 Q. But not in SWGDRUG.

15 A. Not in SWGDRUG, no.

16 Q. And you have cited SWGDRUG as one of the -- as one of the  
17 well-accepted principles or one of the well-accepted bodies and  
18 recommendations in the forensic communities.

19 A. It's widely utilized in the field.

20 Q. I'm sorry?

21 A. It's widely utilized in the field.

22 Q. Okay. Two last questions: You talked about no positive or  
23 negative controls that -- about Ms. Irby's testing. But you  
24 were here when she testified; correct?

25 A. I was.

1 Q. And you recall her testifying that she did a negative  
2 control on the IRATR; correct?

3 A. She did negative controls for all the tests except the  
4 quantitation, the test where she determined how much meth was  
5 present.

6 Q. And the quantitation is the HPLC. Am I right?

7 A. That's correct.

8 Q. Wasn't it her testimony that no blank was required because  
9 blanks are used to show contamination and the HPLC is used to  
10 show purity?

11 A. No. I'm sorry. The HPLC is being used in this case to  
12 determine or to quantify how much meth is present. And the  
13 problem with not including a blank when you're doing a  
14 quantitation is that if there is a contaminant present, the  
15 blank -- you don't have a blank, so you won't be able to detect  
16 it, so your results will be biased. Your results will indicate  
17 the presence of methamphetamine at a higher level than maybe  
18 was originally present, or even present when it really wasn't.

19 Q. But you do recall her testifying about that?

20 A. Oh, I certainly recall her testimony, yeah.

21 Q. So you just disagree with it?

22 A. She simply stated, as I recall, that a blank was not  
23 required in this instance.

24 Q. If I may, what she said on page 51 of that transcript was  
25 "The blank is normally there for -- the blank is there to show

1 contamination. This is qualitative vs. quantitative. I'm only  
2 doing it to show what the purity is, and it's not a requirement  
3 to run a blank in this instance."

4 Do you recall that testimony?

5 A. I do.

6 Q. So you just disagree with her?

7 A. I absolutely disagree with her. That represents a complete  
8 misunderstanding of the purpose of a blank.

9 Q. And yet you're not a forensic chemist.

10 A. No, I'm not a forensic chemist.

11 Q. And with regard to the contamination, did you hear the  
12 testimony that this amount of meth is about the size of a  
13 basketball?

14 A. Yes, I heard that testimony.

15 Q. So really, can you contaminate 99 percent pure meth?

16 A. Absolutely you can, because that conclusion that it was  
17 99 percent pure was based on an HPLC test where she never ran a  
18 blank. So quantitatively, that is not a scientifically  
19 supportable conclusion, because when you run a method, ever, by  
20 virtually any technique, you run the technique with a blank to  
21 see what response or signal the method gives you for a blank.  
22 And then you run it with known standards, so you can compare  
23 the two and develop a calibration curve and quantify an  
24 unknown. But if you don't bother to run that blank, you have  
25 no basis for assigning -- just assuming on the basis of no

1 empirical evidence that there was no contamination present in  
2 that batch.

3 Q. Isn't that why the chemist ran a known positive control  
4 test first?

5 A. I'm not sure I understand your question. Isn't that why  
6 they run?

7 Q. Didn't she run a positive control test before the HPLC?

8 A. She ran calibration standards.

9 Q. She ran positive controls on several of the tests, didn't  
10 she?

11 A. Are you still talking about HPLC?

12 Q. No. HPLC was the last test she did.

13 A. Okay. Yes, I'm sorry. She ran positive controls months  
14 earlier. Are you suggesting that that would tell her whether  
15 she had contamination?

16 Q. What I'm asking is: Did she run positive controls before  
17 she got to the HPLC?

18 *MR. DRISCOLL:* Objection. I think the form of the  
19 question. The form of the question is whether -- what the  
20 witness' recollection is rather than what the testimony is. If  
21 the question is what is the testimony, I think it should be  
22 offered to the witness so the witness can review it and recite  
23 it to counsel.

24 *MS. MAGNELLI:* I'll withdraw it.

25 Court's indulgence.



1 type?

2 A. I -- I frankly don't remember if she asked me if I had been  
3 to the Western Regional Lab or a lab of that type specifically.

4 I have not been to the Western Regional Lab. I've  
5 certainly been to a number of laboratories that test controlled  
6 substances.

7 Q. And if given the opportunity to visit the Western Regional  
8 Lab for the purpose of either -- preparing an examination along  
9 the lines of quality control, would you accept that invitation?

10 A. Certainly.

11 Q. And with respect to such a request, are you aware that in  
12 this case that that was a request made on your behalf for you  
13 to go and visit the lab?

14 A. I believe I've heard that, yes.

15 MR. BROWN: Okay. And just for the reference, I'll  
16 direct the Court and Counsel to the hearing of April 1, 2011,  
17 at page 9, the bottom of the page. There is an indication that  
18 the Government would not allow such a visit.

19 BY MR. BROWN:

20 Q. I want to ask you about the supplemental requirements of  
21 SWGDRUG 17025?

22 A. Yes.

23 Q. Those are promulgated by ASCLD/LAB; correct?

24 A. That's correct.

25 Q. You were asked questions --

1 A. Well, no, not SWGDRUG. The supplemental requirements to  
2 ISO 17025. If there was a "SWGDRUG" in there, that's not  
3 appropriate.

4 Q. When they refer to supplemental -- okay -- those are  
5 supplemental to what?

6 A. They are supplemental to 17025.

7 Q. Thank you. And the origination of 17025 is at SWGDRUG;  
8 correct?

9 A. No. 17025 is the international standard promulgated by the  
10 International Standardization Organization.

11 Q. Those supplemental requests you were asked about, you were  
12 asked in particular -- you haven't seen them; correct?

13 A. That's correct.

14 Q. Are they available to the general public?

15 A. They are not.

16 Q. Are they published on line?

17 A. No.

18 Q. Are there -- is there a method by which you could request  
19 those and receive them?

20 A. It's been attempted but so far not successfully.

21 Q. You've tried to get those?

22 A. I've actually tried to get the ASCLD/LAB standards in the  
23 past. Many, many years ago, I was successful; but  
24 subsequently, they stopped answering my e-mails.

25 Q. These are substantive standards of scientific examination

1 for the particular purpose of forensic analysis, and you're not  
2 allowed access to them?

3 A. I don't know if they're substantive or not because I've  
4 never seen them. I've heard them described as clarifying or  
5 providing examples of how ISO 17025 applies in the forensic  
6 field. Until this case and until the testimony in this case,  
7 I've never heard them described as adding additional or more  
8 stringent requirements to 17025.

9 Q. If you were given the opportunity today by Ms. Magnelli or  
10 Mr. Oulton to review those, would you like that opportunity?

11 A. Sure.

12 Q. In your capacity as an auditor, do you as a matter of  
13 practice attempt to physically recreate a test?

14 A. No.

15 Q. You were asked a question regarding the history of quality  
16 assurance testing, examination within the forensic community.  
17 Do you recall that question?

18 A. Yes.

19 Q. Are you familiar with in general, because I think you said  
20 you're not a historian about quality assurance -- but are you  
21 in general familiar with the historical record of quality  
22 assurance in different disciplines of scientific analysis?

23 A. Yes.

24 Q. As compared to forensic analysis, have other disciplines  
25 been more willing to accept quality assurance examination, or

1 less willing?

2 A. Oh, other disciplines have adopted generations before the  
3 forensic field essentially attempted to start to address  
4 quality assurance principles within the forensic laboratory  
5 community. It was well-established conventional practice,  
6 inculcated into the culture, if you will, of pharmaceutical,  
7 food, environmental laboratories for many, many years before  
8 the forensic laboratory community essentially got on board.  
9 That's pretty much happened within about the last -- just about  
10 the last decade or so.

11 Q. You were asked about auditing protocols in general and the  
12 standards related to auditing protocols and indicated that the  
13 stringency or the type of standards might depend upon the  
14 intended use of the data.

15 A. It is a fundamental precept of quality assurance that when  
16 you're essentially setting the bar and how rigorous a  
17 measurement needs to be and how much quality control needs to  
18 be associated with a given measurement that you cannot make  
19 that determination without knowing the intended use of the  
20 data.

21 It's the same premise as method validity. Method  
22 validity is determining through empirical testing that a method  
23 is appropriate for its intended use. Results that may be  
24 perfectly acceptable in one application may be deficient or  
25 unreliable in another application.

1           So it's really important that you understand the  
2 intended use of the data before you set the bar for how much  
3 quality control is necessary to ensure reliability.

4 Q. So just to kind of take us away from the forensic analysis  
5 for a second; but to demonstrate what I think you're talking  
6 about, would you expect the rigorousness of auditing protocols  
7 and standards to be different if we were examining a  
8 manufacturing process such as applying paint on the handle of a  
9 screwdriver vs. the coloring involved in maybe an infant  
10 pacifier?

11 A. Absolutely.

12 Q. And why?

13 A. Because the intended use is so very different. An infant  
14 pacifier is going into the infant's mouth, and so it's  
15 absolutely important that there could be nothing that could  
16 present a health hazard to that child associated with the  
17 materials of construction.

18           So that puts boundaries and sets expectations for the  
19 quality control necessary to be very, very confident to use  
20 very proven, valid, and reliable methods and to do them  
21 reliably in the laboratory, as opposed to the fact that if the  
22 paint chips off of a screwdriver, it's not probably an issue of  
23 significant health or physical impact, for example.

24 Q. In this proceeding, have you tried to apply that type of  
25 principle in your quality control analysis?

1 A. Absolutely.

2 Q. And how have you analyzed this type of proceeding along the  
3 pendulum of seriousness, as low, medium, high being, I guess,  
4 subject to the most stringent standards of quality control  
5 analysis?

6 A. My understanding of forensic data, having testified in a  
7 variety of cases, is that the consequences of the outcome of  
8 the hearing, the intended use of the data is so important that  
9 the court needs to know whether or not results are reliable  
10 before they'll allow them to be introduced n court.

11 That's a standard of reliability that exceeds paint on  
12 a screwdriver or "can I dump this down the drain or not."  
13 That's a higher threshold, if you will, with more stringent  
14 expectations.

15 And I typically, especially in the last decade, see  
16 that more and more frequently in the protocols that I review  
17 from forensic labs. They are starting to step up to the bar  
18 and set the bar a little bit higher to ensure that they meet  
19 that threshold.

20 Q. There was discussion about the use of a positive control  
21 standards and whether "current" as was reflected in those UN  
22 standards, was synonymous with "contemporaneous." Do you  
23 recall that discussion?

24 A. Yes.

25 Q. And I think it was your understanding, applying principles

1 that are generally accepted within the scientific community,  
2 that this type of forensic testing would be contemporaneous.

3 A. Yes. It's a little clearer that the point is that you're  
4 trying to ensure that the measurement system applied to  
5 unknowns can be used as indicator of the method's performance.  
6 You can use the performance on knowns to -- as a reliable  
7 indicator for the performance on unknowns. The only way that  
8 makes sense scientifically to interpret "current" is that it's  
9 the same instrument, the same time, using the same conditions.  
10 They said it a little differently than that.

11 Q. I believe in quoting the standards -- I believe you said,  
12 "same instrument under the same conditions"; correct?

13 A. Yes.

14 Q. Again, you construed that as being contemporaneous?

15 A. Yes.

16 Q. And do you recall that Ms. Irby had authored an affidavit,  
17 I believe, or declaration that was admitted in this proceeding,  
18 Exhibit 1, could be 8H; and it was referenced in the prior  
19 hearing, in particular, for the Court and Counsel, the May 27  
20 hearing at page 106. And I'll just quote from that at line 6.

21 A. Can you quote? Because I'm not finding it.

22 Q. That's okay. You can listen to me -- where she testified,  
23 quote, in reference to her declaration -- I'll just read it.  
24 It says, quote, "There are some other instrumental techniques,  
25 including chromatographic methods where the data is not

1 necessarily invariant between different instruments and at  
2 different times. In these instances, it is prudent to include  
3 a positive control when the data is collected for an unknown  
4 sample for the alleged purposes of comparison," unquote.

5 Do you recall her authoring that and also stating  
6 that?

7 A. I do.

8 Q. And that referred to a positive control sample being used  
9 appropriately on the same machine where it had been previously  
10 tested; correct?

11 A. Correct.

12 Q. And do you recall her also testifying -- and I can refer  
13 the Court and Counsel to the following page, line 11, following  
14 page 107, quote: "So when I ran that standard 618, it had  
15 already been verified to be what it was on another instrument  
16 that is able to confirm that; so now that standard is able to  
17 be used in the laboratory," end of quote.

18 Is that different from what she stated?

19 A. Yeah. She's talking about two completely different things.  
20 In one case she's talking about verifying the composition of a  
21 standard that's received by the lab, which is a required  
22 practice. You don't just use them. You verify them before you  
23 use them.

24 In the other, she's talking about analysis of  
25 unknowns. And her statement that chromatography changes over

1 time so it's prudent to include a positive control is very  
2 true. Unfortunately, she didn't so in her GCMS spec or in  
3 her -- well, she did -- she didn't do a control, she only did a  
4 calibrator in her HPLC and in her CGIR.

5 Q. So the standards that were used here had not necessarily  
6 been used on the same machine, the same instrument?

7 A. They were not necessarily used on the same machine and,  
8 they were certainly not used at the same time under the same  
9 conditions.

10 Q. And I believe that Ms. Magnelli was asking you and saying  
11 that in the absence of logs of such reference standards being  
12 tested, you can't say that this testing methods are unreliable?  
13 Do you recall that, in the absence of seeing the logs?

14 A. Yes.

15 Q. Now, in discovery, with respect -- and I'll refer the Court  
16 and Counsel to Mr. Edelman's motion for additional discovery,  
17 Document D1, as previously marked, paragraph 9B, at page 6, did  
18 Mr. -- do you recall Mr. Edelman requesting copies of bench  
19 notes, log books, communication logs, and any other records  
20 pertaining to the case samples or instruments and records  
21 describing the condition of the evidence?

22 A. Yes.

23 Q. Would that type of a request have encompassed seeing logs  
24 identifying the standards that were used?

25 A. Yes.

1 Q. So they were requested. Did you know they were requested?

2 A. Yes.

3 Q. Did you want to see them?

4 A. Yes.

5 Q. Would they have helped answer Ms. Magnelli's question about  
6 whether or not these results and the methods were reliable, if  
7 you had seen those logs?

8 A. Yes.

9 Q. But she asked you, and you said, I can't say they're  
10 unreliable but -- because I haven't seen the logs; right?

11 A. Right.

12 Q. But you asked for the logs and didn't get them?

13 A. Correct.

14 Q. And so this questioning where you were asked kind of to  
15 distinguish answers where "I don't know" vs. "they don't  
16 exist": How does a quality control analyst address this  
17 dilemma where you've asked for data, it's not provided? From a  
18 quality control analyst's standpoint, does that mean that the  
19 data should be accepted, it's unreliable, or how do you  
20 otherwise go about analyzing that?

21 A. It means that its reliability has not been demonstrated  
22 because there is no -- It's like you said: I don't know if  
23 they didn't exist or they simply weren't provided. But in any  
24 case, the reliability has not been demonstrated; and I have to  
25 advise my client that the results are unreliable.

1 Q. You were asked about the HPLC test at the end of your  
2 examination by Ms. Magnelli and the fact that that was done for  
3 a quantitative analysis and determined to be 99 percent pure.

4 A. That's correct.

5 Q. And in this -- I think you testified to the fact that no  
6 blank was used, no negative --

7 A. That's correct.

8 Q. -- control was used.

9 And there was some discussion, I guess, of whether it  
10 was needed or not. And is it your opinion it was needed?

11 A. Absolutely.

12 Q. Is there a danger of a false positive in the event where it  
13 is not utilized?

14 A. The danger is either a false positive or high bias in the  
15 results.

16 Q. And do you recall --

17 MR. BROWN: And I'm referring, Counsel -- I evening  
18 Ms. Magnelli actually referred you to this portion on the  
19 May 27 transcript on page 51, and I'll refer to the specific  
20 line of 10 through 16. And I'm not sure. I apologize, your  
21 Honor. I'm not sure who the questioner was at that point.

22 BY MR. BROWN:

23 Q. But the question was: "All right. And did you use a blank  
24 before you started the procedure?"

25 And the answer of Ms. Irby was, quote, "I did not on

1 this instrumentation. The blank is normally there for -- the  
2 blank is there to show contamination."

3 And I'm going to stop there.

4 Agree with that statement, or disagree?

5 A. That's correct. If contamination occurred, the blank is  
6 there so you'll know about it.

7 Q. "This is qualitative vs. quantitative. Agree or disagree?"

8 I'm quoting again.

9 A. I'm not sure what she's referring to in this, but HPLC, as  
10 she used it, was for purposes of quantitation.

11 Q. I'll continue. "I'm only doing it to show what the purity  
12 is, and it's not a requirement to run a blank in this  
13 instance," end of quote. Agree or disagree?

14 A. Disagree.

15 MR. BROWN: Thank you. Nothing further.

16 THE COURT: Any further redirect?

17 MR. DRISCOLL: Please, your Honor.

18 **REDIRECT EXAMINATION**

19 BY MR. DRISCOLL:

20 Q. Madam witness, in the course of examination by the United  
21 States, cross-examination by the United States, a series of  
22 questions were asked. And to summarize, these questions went  
23 to your thoughts on whether quality assurance principles are  
24 separate and apart from science. Do you recall that series of  
25 questions?

1 A. I certainly do.

2 Q. I would invite you, if you need to, to reexamine United  
3 States Exhibit 1. You and I reviewed parts of it before. And  
4 it consists of the declaration of Ms. Irby, page 1 through 76.

5 A. Yes.

6 Q. You're familiar with that exhibit in its whole?

7 A. Yes.

8 Q. You're also familiar and testified earlier this afternoon  
9 relative to pages 19, 20, and 21.

10           Reviewing those, would it be safe to say that the  
11 declaration, the exhibit, and the specific pages contained  
12 therein render an opinion, the opinion of a scientist,  
13 Ms. Irby; and in her opinion, she proffers there or offers it  
14 to a reasonable degree of scientific certainty that the  
15 identity of the substance was methamphetamine and that it's  
16 very, very, very pure?

17 A. That is her opinion, yes.

18 Q. And would it be safe to say the quality assurance  
19 principles that you've testified exhaustively about here today  
20 go to the reliability of the opinion?

21 A. Yes.

22 Q. And your testimony interfaces quality assurance principles  
23 with science, in that before we get to an opinion that a court  
24 can rely on, the opinion must be based on reliable methods?

25 A. Yes. Decades of experience in fields of metrology have

1 demonstrated that the only way to consistently ensure reliable  
2 results is through a rigorous quality assurance program.

3 Q. And so by extrapolation, quality assurance is at the very  
4 core of science, in that reliable quality assurance predicts a  
5 scientific opinion that a court or a finder of fact can rely  
6 on?

7 A. Reliable quality assurance enhances the reliability of  
8 results; so good quality assurance gives you a means of  
9 providing results with confidence.

10 Q. And conversely --

11 A. And the converse is true: In the absence of a robust,  
12 well-documented quality assurance system, you simply don't have  
13 that degree of reliability for the results. It doesn't mean  
14 that labs out there aren't going to get it right. The science  
15 might work. But you can't consider them reliable unless you  
16 have this objective empirical evidence that it's working at the  
17 time you're running your unknowns.

18 Q. And that empirical evidence is not contained in Exhibit 1,  
19 pages 1 through 87?

20 A. That's correct.

21 *MR. DRISCOLL:* Thank you.

22 No further questions. Thank you, your Honor.

23 *THE COURT:* Thank you.

24 *MR. BAKER:* Your Honor, if I may just ask just one or  
25 two questions.





1 entire case.

2           And I would offer that Ms. Irby's Exhibit 1,  
3 Ms. Irby's declaration and her notes, actually does include the  
4 fact that positive controls were done on virtually every  
5 instrument that she used. Now, whether they were done  
6 contemporaneously, that's a different question; but they were  
7 in fact done on every technique she used.

8           For example, the mass spectrometer, the GC mass  
9 spectrometer, she compared to a reference material that was run  
10 several weeks prior. And it actually shows that the retention  
11 time, the GC portion, is remaining consistent. So it's  
12 actually good evidence to show that that technique is robust,  
13 it is maintaining the retention time throughout.

14           Some of the tests for the GCIRD, in that particular  
15 case there was -- excuse me -- it was compared to another  
16 positive control, and that one was roughly a year before. And  
17 it shows that the retention time again was maintaining  
18 consistent throughout that period of time. So it was compared  
19 to that positive control.

20           As for the HPLC, same thing. Yes, in this case, the  
21 way that she -- she attacked or the way she conducted her  
22 analysis is at that particular point she had already had five  
23 tests done to show the presence of methamphetamine was  
24 confirmed. And then she wanted to do the quantitative testing,  
25 so at that point she felt or she testified that it was no

Scott Oulton - Direct

1 longer required to do positive controls -- or excuse me --  
2 blanks or negative controls, because at that point she already  
3 knew what the substance was, she was no longer fearing the  
4 possible contamination. But she did actually run standards --  
5 or excuse me -- reference materials in connection with her  
6 unknowns, and those were run before and after her runs. So  
7 it's a known amount of material that she put on the instrument,  
8 she looked at the measure, she looked at the retention time  
9 that was there, and she compared that again to those things.

10           So, yes, it was used as a calibrator; but it was also  
11 used as a positive control to show that the instrument was  
12 producing a result at the anticipated result over time.

13 Q. And you just talked about contamination, and there has been  
14 a little bit of testimony about that. Based on your knowledge,  
15 training, and experience, how would you -- how would you  
16 contaminate a basketball size of methamphetamine?

17 A. Quite frankly, I have no idea. This essentially was a pure  
18 methamphetamine, 11 1/2 pounds. To contaminate it would be  
19 virtually impossible that I'm aware of any other way other than  
20 replacing it with something that was there.

21 Q. Let me ask you this: If there was something else found,  
22 would it affect result?

23 A. Yes. Well, in this particular case, all of tests indicated  
24 that there really was nothing else there. There was a -- in  
25 the mass spectrometer, she found a very small component of

Scott Oulton - Direct

1 dimethyl sulfone but wasn't able to identify it because it was  
2 such a small level.

3           But in every one of her techniques, she showed the  
4 presence of nothing else present except for methamphetamine.  
5 In, for example, the infrared, the IR, she included one of the  
6 things that Ms. Arvizu testified to -- is that it's in a high  
7 purity. And that's absolutely correct. If in a high purity,  
8 it doesn't have the influence from other compounds that were  
9 there.

10           If you look at the sample that she ran, that spectra  
11 produced -- that particular sample that she compared it to, it  
12 is essentially pure methamphetamine. If there was anything  
13 else in there, you would see those other absorbances  
14 contributing from those other compounds that are part of that  
15 spectra. If you look at that spectra, compared to the  
16 reference materials she did, it's essentially a very, very good  
17 match.

18           If you look at reference materials, you're going to  
19 find that every methamphetamine hydrochloride standard matches  
20 exactly the spectra that she got from this particular sample.

21           *MR. BROWN:* Your Honor, I'm going to object at this  
22 point. It seems that the testimony of the witness is either in  
23 the nature of a summary of the evidence that has previously  
24 come before, does not state anything new, and it seems to be  
25 weighted to now a conclusion about what the results were as

Scott Oulton - Direct

1 opposed to the focus of the inquiry of a 702 hearing.

2 *THE COURT:* Your objection is noted for the record.

3 *MR. BROWN:* Thank you.

4 *BY MS. MAGNELLI:*

5 Q. Was there anything else you wanted to add before I move on?

6 A. Essentially just all the individual tests showed that it  
7 was essentially pure methamphetamine.

8 Q. All right. I want to break this down just a little bit.

9 You heard Ms. Arvizu talk about ion 58 on the mass spectrum of  
10 the GSMC [*sic*]. Excuse me. Do you recall her identifying that  
11 ion?

12 A. Yes.

13 Q. What did she ID that as?

14 A. I believe she said it was a dimethylamphetamine.

15 Q. Is that accurate?

16 A. No, actually. It does not -- it would actually have three  
17 carbons and the nitrogen.

18 Q. Is that something a trained analyst would know?

19 A. Certainly a competent analyst in drug chemistry would know  
20 that.

21 Q. Are you familiar with the Sachs and Woo paper that talks  
22 about identifying methamphetamine from mass spectra alone?

23 A. I am. In fact, I've had Sachs and Woo come to our  
24 laboratory when I managed the San Diego DEA Laboratory, discuss  
25 her paper and how they can differentiate between the regional

1 isomers of amphetamine- and methamphetamine-type related  
2 compounds.

3 Q. And is the point of that is that there is literature out  
4 there that says that mass spectra alone can be used to identify  
5 meth?

6 A. There is literature out there that does say that, yes.

7 Q. There was testimony about derivatives. Do you recall that?

8 A. I do.

9 Q. And do you recall that there was testimony that the --  
10 adding a derivative essentially would make it bigger so that  
11 the results would be more prominent essentially?

12 A. Yes. Essentially you're adding something to it to make it  
13 bigger, and it gives you more detail in the spectra, as was  
14 testified earlier. But nowhere is it a requirement. It is  
15 what used to be done in the past before the research was  
16 conducted to show that it actually is and can be identified.  
17 There is even ongoing research. I have several chemists before  
18 I left San Diego laboratory that are working on another  
19 research project that's doing something very similar to that.

20 Q. So the current state of science is that that's not  
21 necessary; is that right?

22 A. That's correct.

23 Q. There was testimony about shelf life. Do you recall that?

24 A. I do.

25 Q. And what can you tell us about shelf life with regard to

1 Ms. Arvizu's testimony?

2 A. There is actually, when we purchase or anybody purchases a  
3 reference material -- there would be a shelf life associated  
4 with that. It is our procedure -- excuse me. It is our  
5 practice to actually have that be verified every three years,  
6 as Ms. Arvizu testified to. It is verified.

7 In fact, for the most part, we run through it so  
8 quickly, we never make it past a year before we're buying new  
9 material to put into the -- especially for the main drugs that  
10 we analyze.

11 Q. And there was testimony about in various terms -- about the  
12 composite that was formed. Do you recall that?

13 A. Yes.

14 Q. And do you know or do you have an opinion based on your  
15 knowledge, training, and experience as to whether or not a  
16 composite, the kind that was formed here, is a good thing  
17 forensically?

18 A. Yes, I do. In this particular case, the first analyst  
19 actually combined all of the units. Once she had identified  
20 that it contained methamphetamine in each one, you combine them  
21 all. And the purpose of that was to determine the purity. And  
22 the best way to get the purity is actually to combine all the  
23 units, mix it, homogenize it, run through all of the testing  
24 that we have to do. And it gives us the most accurate result  
25 based on the whole. So that is a viable technique in the

1 science community.

2 Q. And there was testimony about method validation. Do you  
3 recall that?

4 A. Yes.

5 Q. I want to talk generally about the science. These six  
6 tests, these methods: Have they been validated as methods that  
7 can identify controlled substances?

8 A. Yes, they have.

9 Q. And there was some testimony about the color test and how  
10 it simply is presumptive. Do you recall that?

11 A. Yes.

12 Q. The color test is essentially a field test; is that right?

13 A. It's a field test much like a police officer would use in  
14 the field.

15 Q. And do you know any of lab that would use that solely to  
16 identify for purposes of a court hearing the drug?

17 A. No, I do not.

18 Q. Now, you heard -- you have some experience with quality  
19 assurance; is that correct?

20 A. Yes, I do.

21 Q. In fact, are you a certified auditor for ASCLD/LAB?

22 A. Yes, I am.

23 Q. And when you leave your four-year position in a month, will  
24 you be qualified to audit again?

25 A. Yes, I will.

Scott Oulton - Direct

1 Q. And you maintain proficiency as a quality auditor as well?

2 A. Yes, I do.

3 Q. What kind of auditor are you?

4 A. I'm a technical assessor.

5 Q. What does that mean?

6 A. It means I have relative experience and competency in the  
7 field that I participate in, and I would be invited into a  
8 laboratory for evaluate, to assess another laboratory's  
9 controlled substances section.

10 Q. And are the requirements that you have four years' minimum  
11 experience in a crime lab?

12 A. That is correct.

13 Q. In a specific discipline in forensic chemistry?

14 A. Yes. That is correct.

15 Q. Give me an example of something you cannot do.

16 A. For example, toxicology is very similar, same type of  
17 chemistry. They use the mass spectrometer. But I've never  
18 done any toxicology work, so I would not qualify as an assessor  
19 in that particular because I have no experience, nor would I  
20 offer any testimony -- or any assessing in that particular  
21 field.

22 Q. You also have significant background in science; is that  
23 right?

24 A. That's correct.

25 Q. And you've already testified about that, so I don't want to

Scott Oulton - Direct

1 go through it. So you have experience in both science and  
2 quality assurance?

3 A. That's correct.

4 Q. Are those two worlds separate and apart?

5 A. In my opinion, yes.

6 Q. Why?

7 A. Well, for example, before we -- before even laboratories  
8 got into the quality assurance aspects, we were conducting  
9 testing using the science that's been reliably applied over the  
10 years and years and years to show that we can identify a drug  
11 based on the science that existed. So now to now say that that  
12 is all excluded is a little bit ridiculous, in my opinion.

13           The quality assurance aspect is very important. We  
14 chose -- at least the laboratory systems -- we have chosen to  
15 become accredited in these standards because we do believe in  
16 the quality assurance aspects. But in no way can it nullify  
17 the fact that what we did before that existed was not correct  
18 [sic].

19 Q. So for purposes of reliability, the methods that were  
20 used -- they are reliable in terms of identifying controlled  
21 substances in the scientific community; correct?

22 A. That's correct.

23 Q. And based on your testimony, based on your knowledge, your  
24 training, experience, the lab testing done, Ms. Irby's results:  
25 Can you tell whether they were reliably applied to the facts of

1 this case?

2 A. Yes, I can.

3 Q. And were they?

4 A. Yes, they were.

5 Q. And that's from a scientific perspective; is that right?

6 A. That's correct.

7 Q. And scientifically, are there sufficient facts and data  
8 upon which to draw an opinion?

9 A. Yes.

10 *MS. MAGNELLI:* Court's indulgence.

11 Nothing further.

12 *THE COURT:* Any further cross-examination of this  
13 witness?

14 *MR. BROWN:* May I?

15 *MR. EDELMAN:* Yes, your Honor, I have a few questions.

16 **CROSS-EXAMINATION**

17 *BY MR. EDELMAN:*

18 Q. Mr. Oulton, did I understand that you said that in a few  
19 weeks or months, you're going to be a quality control auditor?

20 A. Essentially, I'm on the board of the ASCLD/LAB and I serve  
21 a four-year term. During that term, I was not allowed to do  
22 assessments because I was on the board. But I come off the  
23 board, my four-year term expires in September, in which case I  
24 will be then put back into the quality assessing, ability to do  
25 so.

1 Q. So that's a yes?

2 A. Yes.

3 Q. And when you do that quality control assessment, you will  
4 then go into a particular laboratory; is that correct?

5 A. Correct.

6 Q. You'll actually enter it, go into it, look at the lab?

7 A. First they would have provided us with the information; and  
8 then, yes, we go into the laboratory to assess how they meet  
9 the standards that they're being assessed to.

10 Q. Again, that's a yes?

11 A. Yes.

12 Q. And you will watch the people, the chemists there  
13 performing some of the chemical tests and using the devices and  
14 the equipment that we've talked about today; correct?

15 A. For a quality audit assessment of a laboratory, yes.

16 Q. You will look at their paperwork, their log books; correct?

17 A. Yes.

18 Q. You will examine their manuals; correct?

19 A. That's correct.

20 Q. And you will examine their manuals that set forth their  
21 methods; correct?

22 A. That's correct.

23 *MR. EDELMAN:* Thank you.

24 *THE COURT:* Anyone else?

25 *MR. BROWN:* Just briefly, your Honor.

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

**CROSS-EXAMINATION**

BY MR. BROWN:

Q. Mr. Oulton, the quality control responsibilities of the Western Regional Lab rest with Ms. Irby?

A. She is one component. The quality manager was actually Bryan Henderson.

Q. And so she's supervised by Bryan Henderson, did you say?

A. That's correct.

Q. So in the chain of command, what's her title?

A. Her title is the quality assurance specialist. She reports to the manager, which is Bryan. And she essentially assists with the quality assurance program.

Q. And in that capacity, should she have familiarity with the source of positive controls that are shipped to the lab?

A. She would know where the records are located to be able to identify where they were sourced.

Q. But should she know where the samples actually came from?

A. Off the top of her head?

Q. Right.

A. Off the top of her head, I think she would have to go back to the laboratory, look at the source documents, and to figure out this one came from Sigma-Aldrich, or this one came from Special Testing. Unless she knew off the top of her head. I don't know.

Q. Does the positive control samples utilized within the DEA

1 labs -- are those all commercially generated, or are those are  
2 all created at the DEA -- by the DEA?

3 A. It's a combination of both. We actually purchase them from  
4 companies, and we have some that are manufactured and verified  
5 by our special testing research laboratory.

6 Q. Do you know how much the DEA pays to ASCLD/LAB to conduct  
7 the audits --

8 *MS. MAGNELLI:* I'm going to object.

9 *BY MR. BROWN:*

10 Q. -- on an annual basis?

11 *MS. MAGNELLI:* This goes way beyond the scope of  
12 direct.

13 *THE COURT:* Sustained.

14 *MR. BROWN:* I have nothing further. Thank you.

15 *THE COURT:* Thank you.

16 Thank you, sir. You may step down.

17 *MS. MAGNELLI:* Your Honor, if I may, one question on  
18 redirect.

19 *THE COURT:* Why?

20 *MS. MAGNELLI:* Because I want to clarify something  
21 Mr. Edelman asked.

22 *THE COURT:* Ordinarily, we do not have re-redirect  
23 here.

24 *MS. MAGNELLI:* I directed him on rebuttal, your Honor.  
25 I just had one question.





1           MS. MAGNELLI: I just want to address how the evidence  
2 fits of those, for the record.

3           Whether the particular theory can be and has been  
4 tested. Your Honor, I would submit that these theories, all  
5 six of them -- they have been tested, they can be tested, they  
6 are repeatedly tested in the world of science. They're tested  
7 in courtrooms all over the world. And the science, as you  
8 heard, has been around for more than a hundred years. And  
9 actually, DEA, or the lab Ms. Irby is at, tests them on a daily  
10 basis. She herself has tested them hundreds and hundreds of  
11 times. She's used them.

12           Whether the theory has been subjected to peer review  
13 and publication. The Government has previously provided a  
14 bibliography which is just a sampling of literature on the  
15 science behind the drug and on the ability of these particular  
16 instrumental techniques to identify drugs. Every individual  
17 lab report such as Ms. Irby's -- her lab report was subjected  
18 to a technical and administrative review. It was reviewed  
19 internally.

20           The known or potential rate of error. Your Honor,  
21 there has been testimony about the uncertainty associated with  
22 measurement and purity. That is evident on the lab report.  
23 It's right there in front. And the testimony shows that that  
24 actually lends some accuracy to the results.

25           As the testimony also shows, Ms. Irby has undergone

1 training and proficiency tested [*sic*]; and the law is clear  
2 that what is important under *Daubert* is the reliability of the  
3 scientific methodology at issue, not the reliability of the  
4 laboratory performing the test, which was specifically at issue  
5 under *U.S. vs. Ewell* out of the Northern District of  
6 New Jersey.

7           Furthermore, as long as the process is generally  
8 reliable, the courts have held that any potential error in the  
9 analysis can be brought to the attention of the jury through  
10 cross-examination and testimony of qualified experts.

11           The existence and maintenance of standards controlling  
12 the techniques' operation. First of all, as the Court knows,  
13 the *Daubert* factors are flexible. In other words, standards  
14 are not required. Notably, there are numerous types of  
15 forensic expert testimony that are admissible, although they  
16 spring from fields without uniform standards, such as  
17 fingerprint experts in *U.S. vs. Baines* out of this Circuit.  
18 Despite argument that the method of fingerprint analysis had  
19 not been tested that there were no established error rates;  
20 that there were no uniform and objective standards, and that  
21 there was an absence of professional literature to support the  
22 admission of testimony of fingerprint examiners, the court  
23 found that the Government had still met its preponderance  
24 burden under *Daubert* because the reasoning and methodology  
25 underlying latent fingerprint evidence was scientifically valid

1 and properly applied.

2           So in other words, even in disciplines where there is  
3 a total lack of standardization, that in and of itself is not a  
4 bar to admissibility. However, the discipline at issue here,  
5 the forensic identification of controlled substances, is  
6 something with standards. There are recommendations. The  
7 Government has provided a myriad of those.

8           And just to note with regard to the questioning about  
9 the fact that Ms. Arvizu didn't have access to protocols and  
10 procedures, that's a Rule 16 issue. The Government is not  
11 required to provide the defense's expert with the basis of  
12 their testimony or opinions. Moreover, the fact that the  
13 Government did offer some of those protocols and procedures,  
14 they were specifically rejected by the defense. I think that's  
15 worth noting.

16           However, those don't even matter, because we have all  
17 the other requirements and the testimony of Mr. Oulton, where  
18 he could testify about SWGDRUG, as well as a competent chemist.

19           Finally, whether the technique has achieved general  
20 acceptance in the relevant scientific or expert community.  
21 There is an abundance of evidence that these techniques have  
22 achieved general acceptance. The testimony is clear that  
23 Ms. Irby went beyond even the SWGDRUG requirements, which have  
24 also been acknowledged as widely accepted. And it's clear that  
25 really the community at issue here is the forensic scientific

1 community, not the quality assurance community.

2           The validity of the tests performed in this case is  
3 not reasonably in question here. They have been described as  
4 robust, as well-documented; and regardless, *Daubert* wouldn't  
5 limit the scientific testimony to results obtained through a  
6 single or superior method of inquiry.

7           Finally, your Honor, there is really no allegation  
8 that the substance was anything other than methamphetamine;  
9 that these methods were not viable. In fact, Congress has  
10 specifically endorsed the use of GCMS under 18 U.S.C. 3583(d)  
11 with regard to the testing of urine for drugs under supervised  
12 release.

13           There has been no argument of specific testing error  
14 in this case. There has been some disagreement between the  
15 experts, but that is not a bar to admissibility.

16           Ms. Irby didn't just take the stand and say this is  
17 more than five keys of 99 percent pure meth hydrochloride. She  
18 testified in detail about her steps. She explained her  
19 training and her knowledge. She explained some science  
20 journals and reference materials.

21           And even if there was a viable argument of error,  
22 *Daubert* doesn't require perfect execution of protocols.  
23 Experts can disagree. Things can actually be done incorrectly,  
24 and none of that really matters under *Daubert*.

25           And that really brings me to my final point, your

1 Honor: The point of *Daubert* is that differing opinions --  
2 they're okay. It's about keeping out junk science. It's not  
3 about saying one method is superior to the other or this  
4 expert's opinion is superior to the other opinion. And the  
5 fact of the matter is that there is case law that says even if  
6 you have protocols and there is imperfect execution of those  
7 protocols, it doesn't matter under *Daubert* because that's not  
8 the ultimate purpose of *Daubert*.

9           And as I was saying, really my final points is this  
10 mixing of apples and oranges when we talk about quality  
11 assurance and *Daubert*. Under quality assurance principles, if  
12 you fail to follow one clause of a standard, then you fail,  
13 period. You must have perfect implementation of those, and the  
14 testimony was that they are all mandatory.

15           Under *Daubert*, that is not true. You don't have to  
16 have that level of perfection. You have to have the same type  
17 of intellectual rigor in the courtroom that you would have back  
18 in your lab. It's a much different standard.

19           What -- The testimony about reliability under quality  
20 assurance does not supplant legal reliability. Evidentiary  
21 reliability is not the same as reliability under quality  
22 assurance.

23           The fact of the matter is the standard is  
24 preponderance of the evidence. There is an abundance of  
25 evidence that the Government has met that standard. And while

1 we -- while we believe that the lab -- the recommendations, the  
2 SWGDRUG recommendations are unnecessary to a determination  
3 here, those are in evidence at this point. The Government has  
4 provided those and provided the testimony of compliance with  
5 those. And there is nothing really to say that there was no  
6 compliance. Even testimony from Arvizu was general,  
7 nonspecific, and really didn't relate to the actual testing  
8 itself.

9 Finally, the Government would incorporate its  
10 arguments from its previous filings, the attachments to those  
11 filings, and maintains that Ms. Irby's testimony is reliable  
12 under Rule 702, the guiding principles of *Daubert*, and should  
13 be admitted at trial for the jury to weigh.

14 Thank you.

15 *THE COURT:* Thank you.

16 For the defense?

17 **CLOSING ARGUMENT**

18 *MR. EDELMAN:* Thank you, your Honor.

19 This quest began with my filing of my motion for  
20 additional discovery, which was quite extensive. It was not  
21 complied with, as I alleged at our hearing many months ago.  
22 And I recall the Court asking me what was complied with and  
23 what wasn't. And I couldn't answer you because it was way  
24 above my ability to respond. And quite frankly, I was taken by  
25 surprise.

1           And the Court, as I recall, said, Well, it was the  
2 Government's responsibility to prove at a 702 hearing that they  
3 met their obligations and criteria set forth in Rule 702. We  
4 had that hearing on April 19, 2011. And the Government failed  
5 to meet their obligations, and the evidence was suppressed.

6           At the end of that hearing, Mr. Boma at that time  
7 offered to in a general statement -- to give me the information  
8 I had requested without being specific. And I rejected that  
9 offer. That is the only time that the information that  
10 Ms. Magnelli said -- that it was offered. It has not been  
11 offered since. It has not been provided since. There was some  
12 discussion on May 27 to offer some information off the record  
13 subject to a -- as I recall, subject to some protective order  
14 that was never prepared, presented, or offered. And we have  
15 not seen anything. We have not seen any log books. We have  
16 not been permitted or offered to go into the lab. We have not  
17 seen any documentation to assist Ms. Arvizu to offer any more  
18 specific opinion as to whether or not the Government has or has  
19 not met any methods or followed any methods in Ms. Irby's  
20 testing of these controlled substances.

21           I would submit to you it is not whether or not the  
22 controlled substances are drugs, are controlled substances, are  
23 methamphetamine; it's how the Government got there; whether or  
24 not they followed methods that are reliable and their methods  
25 have been and their procedures have been reliably applied.

1           And of course, there are no methods. The Court has  
2 indicated that Ms. Irby has not applied any methods at a DEA  
3 lab. And the Court, as I understand it, would not consider any  
4 DEA methods.

5           *THE COURT:* Let me clarify for you, because you've  
6 repeatedly said that; and that is not what I have said. The  
7 methods that Ms. Irby used were the methods she described. You  
8 have confused methods with protocols. Protocols are what DEA  
9 laboratories require as background procedures; and those, I'm  
10 not considering because those have not been disclosed.

11           So the methods that we're talking about are what  
12 Ms. Irby did.

13           *MR. EDELMAN:* I apologize. It is my confusion.

14           Then that may be another issue. I don't believe she  
15 describes the -- described adequate methods. I don't believe  
16 they are sufficient, according to Ms. Arvizu, that are  
17 described by the -- by ISO, by SWGDRUG -- that are sufficient  
18 to meet 702 criteria.

19           With all due respect, I -- I can't disagree with what  
20 the Court just said; but I reviewed the Court's transcript, and  
21 I had to look up what methods -- the term "methods" are because  
22 I was confused as to the term "methods," "protocols,"  
23 "procedures," "principles." And I was quite confused to what  
24 all that meant.

25           It's my understanding that you have tests. You're

1 testing these drugs. It was best described to me as the  
2 preparation of a cake. You have eggs, you have sugar, you have  
3 flower, you have chocolate, you have baking soda. You have  
4 these methods, these procedures, these protocols. You have  
5 some way in which you have to prepare all of these ingredients  
6 to come to the conclusion, to have a cake. There is different  
7 ways to do it so that you have the cake. You can have  
8 different kinds of cakes. If you don't have the cake that is  
9 intended by the sufficient reliability because of the -- as  
10 Ms. Arvizu suggested because of the importance of what we're  
11 talking about here, then it's not reliable.

12           And Ms. Arvizu suggested that the industry requires a  
13 higher standard than that performed by the -- the DEA  
14 laboratory. And they're hiding behind these procedures, these  
15 protocols. They're hiding these documents for some reason.  
16 And it is unclear why they're hiding behind these protocols,  
17 these methods, why Ms. Irby memorizes these procedures and  
18 these protocols or however you want to term them or call them.

19           And I would submit to you that the Government has not  
20 met that burden. And as a result, this evidence should be  
21 suppressed, because as the gatekeeper, you have to keep it out.  
22 It has to be suppressed. It's not -- it's not an issue of  
23 weight. It's an issue of admissibility.

24           Thank you.

25           *THE COURT:* Thank you.

**CLOSING ARGUMENT**

1  
2           *MR. BROWN:* With respect to how this evidence should  
3 be treated, obviously I think that what we've tried to do  
4 through the testimony of Ms. Arvizu is address each individual  
5 test and give the Court information why each individual test  
6 was not properly documented and is not reviewable from a  
7 quality control analyst's standpoint in a fashion that can lead  
8 one to be confident in the results.

9           It seems that the Government wants to emphasize that  
10 the result that there is no other conclusion but this must be  
11 methamphetamine. And it seems that it's a basic tenet that in  
12 order to address that -- that conclusion -- that you have to  
13 understand the underlying underpinnings of each specific  
14 scientific test.

15           In addressing those individual tests, what is quite  
16 interesting in my listening to the testimony and Mr. Oulton's  
17 recent testimony is that in discussion with the one tells where  
18 the blank was not used, I think we all agree with the absence  
19 of a blank could cause some form of contamination.

20           His reasoning for giving the stamp of approval to  
21 Ms. Irby's testing methods was that she didn't need to use a  
22 blank because the four previous tests that had been conducted  
23 were all positives.

24           That's not what Ms. Irby testified to. She testified  
25 you never need to use a blank.

1           And what that is is it's telling of the way in which  
2 the protocols get interpreted individually by individual  
3 scientists. And it should be the type of confusion or  
4 disagreement amongst the DEA's own forensic analysts that lead  
5 to a lack of confidence that the result of that one individual  
6 quantitative test is in fact accurate. But that is just one  
7 example of why in other facets these other tests were not done  
8 to sufficient scientific protocol.

9           And I think it is a difficult question for the Court,  
10 because what does appear is that in the scientific community,  
11 you do get somewhat of a general standard. It doesn't  
12 necessarily comport with the specific individual forensic  
13 analyst's completion of a test. And so the Court is left with  
14 a situation where it must choose from the competing scientific  
15 opinions which one is entitled to perhaps more confidence.

16           And I think that the testimony of Ms. Arvizu -- she  
17 isn't a forensic analyst, doesn't sound like she's ever going  
18 to be a forensic chemist; but she's a quality control analyst.  
19 And the ultimate question for this court is whether the testing  
20 was done in a sufficient and adequate manner so that we can  
21 have confidence in terms of the quality. It's clear that  
22 Ms. Arvizu's opinion is that it was not. I'd ask the Court to  
23 adopt that in making its ruling.

24           Thank you.

25           *THE COURT:* Thank you.



1 factual clarification regarding Mr. Edelman's argument from the  
2 last hearing, because there are inaccuracies in his argument as  
3 to what occurred at the May 27 hearing.

4 *THE COURT:* I have a transcript.

5 *MR. BOMA:* Your Honor, if I might make a proffer for  
6 the record.

7 *THE COURT:* Okay.

8 *MR. BOMA:* Mr. Edelman implies that the DEA  
9 procedures -- which they were relevant excerpts from the DEA  
10 laboratory manual -- were not turned over.

11 Well, there is a reason for that. We were prepared to  
12 turn those over to the defense under a requested protective  
13 order that they not be disclosed outside the defense camp, if  
14 you will. And the defense initially said that they would like  
15 those materials. And the Court offered a continuance of the  
16 hearing or allowed the defendants to proceed without those  
17 laboratory excerpts. And the defense elected consciously  
18 not -- to continue the hearing and not to receive those offered  
19 DEA laboratory manual excerpts.

20 So I think what Mr. Edelman said was factually  
21 inaccurate. Thank you.

22 *THE COURT:* Thank you. Is there anything further?

23 Then the matter will be deemed submitted. I will not  
24 make the mistake of confusing everyone with an oral ruling.  
25 Instead I will issue a written ruling. It will be issued

1 within 30 days. And at the time of the issuance of written  
 2 ruling, I will advise you of the next hearing to be conducted  
 3 in this case.

4 Thank you all. We'll stand in recess.

5 (Recess at 3:56 p.m.)

6 \* \* \* \* \*

7 **INDEX**

8 <b>Item</b>	<b>Page</b>
9 WITNESSES	
10 Scott Oulton	
11 Cross-Examination Continued By Mr. Driscoll	189
12 Cross-examination By Mr. Baker	200
13 Redirect Examination By Ms. Magnelli	202
14 Janine Arvizu	
15 Direct Examination By Mr. Brown	228
16 Direct Examination By Mr. Edelman	257
17 Direct Examination By Mr. Driscoll	264
18 Cross-examination By Ms. Magnelli	267
19 Redirect Examination By Mr. Brown	308
20 Redirect Examination By Mr. Driscoll	320
21 Redirect Examination By Mr. Baker	323
22 Scott Oulton	
23 Direct Examination By Ms. Magnelli	324
24 Cross-examination By Mr. Edelman	334
25 Cross-examination By Mr. Brown	336

1 WITNESSES (Continued)

2 (Scott Oulton)

3 Redirect Examination By Ms. Magnelli 338

4 CLOSING ARGUMENTS

5 By Ms. Magnelli 339

6 By Mr. Edelman 345

7 By Mr. Brown 349

8 By Mr. Baker 351

9 Rebuttal Argument By Mr. Boma 351

10 PLAINTIFF'S EXHIBITS

11 Exhibit	Offered	Received	Refused	Reserved	Withdrawn
------------	---------	----------	---------	----------	-----------

12 2	211				
------	-----	--	--	--	--

13 3	224				
------	-----	--	--	--	--

14 DEFENDANTS' EXHIBITS

15 Exhibit	Offered	Received	Refused	Reserved	Withdrawn
------------	---------	----------	---------	----------	-----------

16 D5	229				
-------	-----	--	--	--	--

17 \* \* \* \* \*

18 **REPORTER'S CERTIFICATE**

19 I certify that the foregoing is a correct transcript from  
 20 the record of proceedings in the above-entitled matter. Dated  
 21 at Denver, Colorado, this 24th day of August, 2011.

22

23

S/Paul A. Zuckerman

24

Paul A. Zuckerman

25