

IN THE SUPERIOR COURT FOR THE STATE OF VERMONT  
IN AND FOR THE COUNTY OF CALEDONIA, CRIMINAL DIVISION

STATE OF VERMONT,

Plaintiff,

v.

DALE B. SMITH, ET AL.,

Defendants.

Docket No. 382-6-12 Cacr

9:08 a.m.

February 7, 2013

Volume I of II

Pages 1 - 194

MOTION HEARING  
BEFORE THE HONORABLE MARY MILES TEACHOUT  
JUDGE OF THE SUPERIOR COURT

APPEARANCES:

FOR THE PLAINTIFF: Greg Nagurney, Esq.  
SHEEHEY FURLONG & BEHM, PC  
30 Main Street  
P.O. Box 66  
Burlington, VT 05402-0066

FOR THE DEFENDANT: David Sleight, Esq.  
Kyle L. Hatt, Esq.  
SLEIGH LAW  
P.O. Box 278  
364 Railroad Street  
Suite E  
St. Johnsbury, VT 05819

ELECTRONIC REPORTER: \*\*ELECTRONICALLY RECORDED\*\*  
By B. Sargent/J. Chase

TRANSCRIPTION COMPANY: AVTranz  
845 North 3rd Avenue  
Phoenix, AZ 85003  
(800) 257-0885  
www.avtranz.com

Proceedings recorded by electronic sound recording; transcript  
produced by court-approved transcription service.

**AVTranz**

www.avtranz.com · (800) 257-0885

I N D E X

<u>WITNESS (ES)</u>	<u>DIRECT</u>	<u>CROSS</u>	<u>REDIRECT</u>	<u>RECROSS</u>
---------------------	---------------	--------------	-----------------	----------------

FOR THE STATE:

Kirk Kimball	150	164	171	172
Amanda Bolduc	173	-	-	-

FOR THE DEFENDANT:

Janine Arvizu	13	113	--	--
---------------	----	-----	----	----

MISCELLANEOUSPAGE

Defendant's Opening Statement	5
State's Opening Statement	9
Defense Rests	148

EXHIBITSID EVD

STATE'S EXHIBIT 1	Simulator prep log	94	94
DEFENDANT'S EXHIBIT A	Rules	12	12
DEFENDANT'S EXHIBIT M	CV of Janine Arvizu	14	14
DEFENDANT'S EXHIBIT N	BAC chart	40	40
DEFENDANT'S EXHIBIT B	Guidelines for forensic science	48	54
DEFENDANT'S EXHIBIT C	Forensic toxicology guidelines	49	54
DEFENDANT'S EXHIBIT D Prime	Accreditation document	49	54
DEFENDANT'S EXHIBIT D	ASCLD/LAB policy on measurement uncertainty	50	54
DEFENDANT'S EXHIBIT E	ASCLD/LAB policy on traceability	50	54

DEFENDANT'S EXHIBIT E Prime	Chemistry and toxicology checklist	51	54
DEFENDANT'S EXHIBIT F	Rod Gullberg article	59	60
DEFENDANT'S EXHIBIT P	Redeployment cert record	67	67
DEFENDANT'S EXHIBIT Q	Quality control docs (CD)	68	69
DEFENDANT'S EXHIBIT R	Certificate of analysis	79	79
DEFENDANT'S EXHIBIT S	Quality control document	29	84

1 (Proceedings commence at 09:08 a.m.)

2 THE COURT: We're here for a hearing, Defendant's  
3 motions to exclude breath test, and a number of different  
4 cases, some of them from Caledonia County, but also some from  
5 Essex County, and in addition by special assignment some from  
6 Orleans, Orange, Windsor, and Chittenden Counties.

7 And I think it probably makes sense to, for the  
8 record, review all of these cases to make sure that the cases  
9 and files that I have match those that you believe that you're  
10 hearing.

11 First the Caledonia cases: Nicholas Guyer, 12-1-12  
12 and 68-12-11; Dale Smith, 25-6-12, 382-6-12; Steven Gaboriault,  
13 28-7-12, 393-7-12; Brian Lavigne, 32-7-12, 444-7-12; Gregory  
14 Hovey, 36-8-12, 499-8-12, 62-12-11, 737-11-11; Jere Kendall,  
15 37-7-11, and 433-7-11; James Tidyman, 4-1-12, 56-1-12; Andrew  
16 Schibly, 50-11-12, 746-11-12; Keenan Chenail, 549-9-11; Rose  
17 Coughlin, 55-11-12, 761-11-12; Gregory Crum, 552-8-12; Shannon  
18 Budziak, 57-11-11, and 697-11-11; Wilfred McAllister, 60-11-12,  
19 and 820-11-12; George Lyon, 61-11-12, and 837-11-12; Dale  
20 Stone, 63-12-12, 855-12-12; Dennis Bessette, 64-12-12, and 872-  
21 12-12; Dylan Bertolini, 66-12-12, and 887-12-12.

22 (Judge reads off Essex County, Orleans County, Orange  
23 County, Windsor County, Chittenden County cases from calendar)

24 THE COURT: Are any of you aware of any  
25 discrepancies?

1 MR. NAGURNEY: No, Your Honor.

2 MR. SLEIGH: No.

3 THE COURT: Okay.

4 And present for the Defendants are Attorney Sleigh,  
5 Hatt, and Christman?

6 MR. SLEIGH: Correct. Yes, Your Honor.

7 THE COURT: And for the State, Greg Nagurney?

8 MR. NAGURNEY: Yes, Your Honor.

9 THE COURT: And Glen Barnes?

10 MR. BARNES: Yes, Your Honor.

11 THE COURT: And I -- Mr. Nagurney and Mr. Barnes, you  
12 are here on behalf of all of the State's attorneys in all of  
13 these counties?

14 MR. NAGURNEY: That's correct, Your Honor. Yes.

15 THE COURT: All right.

16 Mr. Sleigh.

17 MR. SLEIGH: Thank you, Your Honor.

18 I don't know how the Court would like to proceed. If  
19 you want brief opening statements or explanation of how we see  
20 the issues, or if you'd like to just jump right into the  
21 testimony?

22 THE COURT: I think a brief introduction would be  
23 helpful.

24 DEFENDANT'S OPENING STATEMENT

25 MR. SLEIGH: Okay. The legislature required the

1 Department of Health to generate rules that would govern breath  
2 alcohol analysis. As of March of 2012, the responsibility for  
3 supervising and administering the breath alcohol program was  
4 shifted from the Department of Health to the Department of  
5 Public Safety. That act, Act 56 as it's referred to, that  
6 shifted the responsibility, said that the then existing rules  
7 that had governed breath testing under the Department of Health  
8 would remain in effect until such time as the Department of  
9 Public Safety generated new rules. Act 56 instructed the  
10 Department of Public Safety to issue its new rules, and by my  
11 understanding, they are now finally in the rule-making process.

12 So I say that only to let the Court know that the  
13 rules in effect now have been in effect for all times pertinent  
14 to all the cases that are docketed here.

15 The rules require that the breath testing method and  
16 instrumentation meet certain precision and accuracy  
17 requirements. The seminal case of State versus Rolfe says that  
18 if the State can prove that those somewhat foundational  
19 requirements are met, the analysis generated by the  
20 instrumentation would be admitted with the defendant free to  
21 contest its weight. However, Rolfe said that the State had the  
22 burden of establishing those foundational facts and that the  
23 defense had an opportunity to introduce evidence contrary or to  
24 rebut, if you will, the State's assertion of the foundational  
25 facts.

1 I've talked this case -- these cases over with Mr.  
2 Nagurney and I think we agree, at least procedurally, that in  
3 each of these cases, the State has filed an affidavit saying  
4 that the machine, the DataMaster T, meets the precision and  
5 accuracy requirements set forth in the Rule. It's our  
6 intention today to produce evidence as allowed by Rolfe to  
7 contest whether the State can actually establish those  
8 precision and accuracy criteria.

9 Now the heart of the argument is this, Your Honor.  
10 The Department of Health and the Department of Public Safety  
11 have undertaken certain efforts by which they say they have  
12 credibly demonstrated that the DMT meets these precision and  
13 accuracy requirements. We're going to try to show the Court  
14 that the methods by which the Department of Health and the  
15 Department of Public Safety undertook to establish the criteria  
16 did not meet minimum scientific standards.

17 Now, there's nothing in the Rule that says that the  
18 Department of Public Safety and the Department of Health have  
19 to abide by any standards at all in proving that the machine  
20 meets the criteria. But it's our position that there's an  
21 implicit criteria that the agencies use their expertise in a  
22 way that would meet minimum scientific standards in order to  
23 establish foundational and scientific facts. And we will call,  
24 I believe, one witness to testify about the state of the  
25 minimum standards applicable to testing laboratories, and

1 establish that the Department of Health and the Department of  
2 Public Safety's efforts to establish the precision and accuracy  
3 criteria did not meet any recognized minimal scientific  
4 standard, and thus their assertion that they've established  
5 this -- those facts should be discredited.

6 THE COURT: Thank you. That's helpful. I also would  
7 like you to address the procedural aspect of it. In other  
8 words, you filed this as a motion to exclude. What -- what  
9 exactly is the basis for that? Is that like a motion in limine  
10 where you're saying that the expert -- well, you articulate it  
11 yourself.

12 MR. SLEIGH: I look at it as sort of a 104 hearing,  
13 Your Honor. In other words, what I'm saying is that if the  
14 State were to offer the breath alcohol test results in these  
15 cases, they would -- should not be admitted because the State  
16 has not and cannot prove in a reasonable way the criteria that  
17 are a condition preceding to the admissibility of the test,  
18 i.e., so-called foundational facts. That is, in this instance,  
19 whether they're precise and accurate as required by the Rule.

20 So I think the short answer to your question is it's,  
21 in essence, a motion in limine, based on foundation -- a lack  
22 of foundation claim.

23 THE COURT: Okay. So this is a preliminary hearing  
24 on the admissibility --

25 MR. SLEIGH: Right.



1 THE COURT: -- of the evidence.

2 All right. Mr. Nagurney?

3 STATE'S OPENING STATEMENT

4 MR. NAGURNEY: Thanks, Your Honor.

5 And I agree with a lot of what Mr. Sleigh said. I  
6 agree this is a 104(a) hearing. I agree that the rules to  
7 control are the E ones that have been issued by the Department  
8 of Health and adopted by the Department of Public Safety. And  
9 those are available in a number of places for you, I believe,  
10 judicially noticeable.

11 THE COURT: Did anybody make a copy?

12 MR. SLEIGH: Exhibit A, Your Honor --

13 THE COURT: Okay.

14 MR. SLEIGH: -- are the rules.

15 THE COURT: Thanks.

16 MR. NAGURNEY: I believe, Your Honor, they're also  
17 set forth in State v. Rolfe, which is the controlling case here  
18 which is found at 166 Vt. 1.

19 But as far as Mr. Sleigh's statement, Judge, what  
20 you're being asked to do here under Rule 104(a) is to assess  
21 the foundational sufficiency for the State's evidence and as  
22 I'm sure you're aware, that's a decision that -- in which  
23 you're vested broad discretion to do. And findings regarding  
24 foundation are reversible only for clear air on appeal, I  
25 believe is the standard. So what I'm suggesting to Your Honor

1 is that really under 104(a), you're deciding by preponderance  
2 of the evidence whether there is an adequate foundation for the  
3 State's expert testimony.

4 This hearing is somewhat unique in that Mr. Sleigh  
5 and I agree. I think what you'll hear ultimately is that the  
6 State provides no measurement of uncertainty to accompany a  
7 DataMaster result.

8 THE COURT: Say that again?

9 MR. NAGURNEY: I think ultimately what you'll hear  
10 from Mr. Sleigh's expert is the State provides no, what's known  
11 as a, measurement of uncertainty or a confidence interval to  
12 accompany a DataMaster test result. So in other words, if a  
13 defendant blows a 0.1, and that's a 0.1 BAC, and that's his  
14 test -- I believe Mr. Sleigh's testimony would go to the fact  
15 that we can't rely on that because there's no confidence  
16 interval associated with that measurement. And that, you'll  
17 hear further on that from the expert.

18 So, Your Honor, I guess the second part of the  
19 foundational assessment you'll be asked to make is to determine  
20 by the parties whether the lack of the inclusion of an  
21 uncertainty number and whether the State's decision to report  
22 the DataMaster test absent the uncertainty associated with it,  
23 more properly affects the admissibility of that result, or more  
24 properly affects the weight the fact finder should afford to  
25 it. It's our position, as the State, that the admissibility of

1 the DataMaster is controlled exclusively by the plain language  
2 of the regulation promulgated by the Department of Health and  
3 adopted by the Department of Public Safety. And as Mr. Sleigh  
4 said, his argument requires you to imply and to assume that  
5 it's implicit within those regulations that an uncertainty  
6 measurement is required to be associated with the test. That  
7 of course is contrary to my understanding of the discretion  
8 Court affords to agencies, both in the agency's adoption and --  
9 excuse me, the agency's interpretation of their enabling  
10 statute, which in this case is 1203(d). And further in the  
11 agency's interpretation of their run regulations which are the  
12 breath testing regulations we'll be discussing at some length  
13 today.

14 THE COURT: All right. And have either of you  
15 prepared a memo?

16 MR. NAGURNEY: I posted a memo on Monday of this  
17 week, Your Honor, to the Court. And there have been several  
18 filings in other associated cases by State's attorneys that  
19 largely contain the contents of the memo. So if you don't --

20 THE COURT: If you sent it on Monday?

21 MR. NAGURNEY: I mailed it Monday, yes.

22 THE COURT: Okay. I haven't yet received it yet.

23 MR. NAGURNEY: Okay. I can certainly get you a copy.

24 THE COURT: Okay.

25 Mr. Sleigh?

1 MR. SLEIGH: I have none. My intent was to ask to be  
2 able to submit with a findings and proposed conclusions at the  
3 close of the case.

4 THE COURT: Okay.

5 MR. SLEIGH: Your Honor, I want to -- I know opening  
6 and closing statements sort of -- you can get different views,  
7 but I really want the Court to understand the focus here. I am  
8 not saying in this motion that the reported results of any  
9 given breath analysis require an accompanying uncertainty  
10 measurement. You're going to hear a lot about traceability and  
11 uncertainty, but what that goes to, and this is why this is a  
12 raw question, my issue is with how the State went about proving  
13 that the machine met the performance and accuracy criteria. It  
14 has nothing to do with the expression of an individual test  
15 result. I mean -- so that's going to be the focus, and I think  
16 it will become clear from the testimony.

17 THE COURT: All right. We'll proceed with evidence.

18 MR. SLEIGH: Thank you. Perhaps we could just  
19 stipulate to the admission of Defendant's A which is a copy of  
20 the Rules.

21 MR. NAGURNEY: Sure.

22 THE COURT: Defendant's A is admitted.

23 (Defendant's Exhibit A received)

24 MR. SLEIGH: May I approach, Your Honor?

25 Your Honor, the Defense would call Janine Arvizu.

1 THE CLERK: Raise your right hand, please.

2 JANINE ARVIZU

3 called as a witness for the Defendant, having been duly sworn,  
4 testified as follows:

5 THE WITNESS: I do.

6 THE CLERK: Thank you.

7 THE WITNESS: Thank you.

8 DIRECT EXAMINATION

9 BY MR. SLEIGH:

10 Q Good morning. How are you?

11 A Good morning.

12 Q Could you state and spell your name for the record,  
13 please?

14 A Janine Arvizu, A-r-v-i-z-u.

15 THE COURT: And your first name, too, please?

16 THE WITNESS: J-a-n-i-n-e.

17 MR. SLEIGH: Thank you.

18 BY MR. SLEIGH:

19 Q And where do you reside?

20 A In Tijeras, New Mexico.

21 Q Where is that in relation to Albuquerque?

22 A It's in the mountains just outside of town.

23 Q And are you engaged in a profession?

24 A I am.

25 Q And what is your profession?

1           A I am a quality assurance consultant and a certified  
2 quality auditor.

3           Q And do you have a current resume or a CV?

4           A Yes, I do.

5           MR. SLEIGH: May I approach the witness, Your Honor?

6           THE COURT: Yes.

7 BY MR. SLEIGH:

8           Q Let me show you what I've marked as Exhibit M, and ask  
9 if you could identify that for me.

10          A That's a copy of my resume.

11          Q And that's current as of today's date?

12          A Yes.

13          MR. SLEIGH: Your Honor, we'd move the admission of  
14 Exhibit M.

15          MR. NAGURNEY: No objection, Your Honor.

16          THE COURT: Defendant's M is admitted.

17          (Defendant's Exhibit M received)

18 BY MR. SLEIGH:

19          Q So can you tell me a little bit about your educational  
20 background?

21          A I have a Bachelor of Science degree in Biochemistry  
22 from California Polytechnic State University at San Luis  
23 Obispo, and ABD in Chemistry from University of New Mexico.  
24 ABD is an indication that I've been admitted to candidacy for  
25 the Ph.D. degree in Chemistry. That is completion of the

1 coursework and qualifying exams and proposal defense, but I  
2 have not defended my dissertation.

3 Q How long have you been a laboratory quality auditor?

4 A Oh, gosh, many years. I guess I don't remember when I  
5 first was certified, but I have been conducting audits of  
6 testing laboratories for Federal agencies for many years.

7 Q So what does a laboratory quality auditor actually do?  
8 What is it you do when you test a laboratory?

9 A As an independent auditor, I work for clients who use  
10 analytical results from testing laboratories to make important  
11 decisions and they need to understand the scientific validity  
12 of the methods that were used and the reliability of the  
13 results. And some of those results can be used with confidence  
14 to make very important decisions.

15 And so I work for the users of laboratory data in  
16 assessing the work done by laboratories and assessing the  
17 results reported by labs.

18 Q And in that connection, do you have any professional  
19 certifications?

20 A I am certified by the American Society for Quality as a  
21 certified quality auditor. That's a process that you go  
22 through. ASQ is the professional organization for quality  
23 practitioners. And if you meet the minimum requirements in  
24 terms of academic education, practical experience in the field  
25 of quality, and if you sit for an examination on the body of

1 knowledge that ranges from how to conduct an audit to sampling  
2 theory and sampling statistics, then you sit for an exam. It's  
3 not a "gimme" exam. It's a, you know, kind of an all day  
4 affair, and has an appreciable failure rate. Then you can be  
5 certified as a quality auditor. And there are recertification  
6 requirements periodically where you submit evidence of  
7 continuing education.

8 Q Okay. And is your certification up to date?

9 A It is.

10 Q Can you tell the Court a little bit about your  
11 employment history in the field of laboratory quality auditing?

12 A After graduate school, I went to work for one of the  
13 national laboratories, Idaho National Engineering Laboratory,  
14 where I established and managed a full-service analytical  
15 laboratory. At the time I started, I think there -- we had  
16 three employees in the laboratory, and when I left about a  
17 decade later, there were about 45 employees in the laboratory.  
18 So it was everything from purchasing the equipment to  
19 validating the methods and putting in place procedures and it  
20 was a full-service lab that did both organic, inorganic, and  
21 classical testing.

22 Q What is a national laboratory?

23 A The Department of Energy manages a series of  
24 laboratories across the country that people are probably  
25 familiar with the ones, like Oakridge National Laboratory,



1 Argon National Laboratory, the Hanford Reservation, Los Alamos  
2 National Laboratory. Those are the laboratories that evolved  
3 out of the Manhattan Project during World War II.

4 Q And you said the laboratory that you worked in Idaho  
5 was an analytical laboratory?

6 A Idaho National Engineering Laboratory was a large  
7 facility. It had probably 10,000 employees. My section was  
8 actually the analytical laboratory, my unit. And it didn't  
9 exist when -- as a functioning entity when I joined the  
10 laboratory, but it was established during my tenure.

11 Q Okay. What did you analyze?

12 A We analyzed a wide variety of samples for -- with a  
13 variety of matrices. That is the component of the sample, the  
14 major component of the sample, for both organic and inorganic  
15 constituents. That included, for example, GC testing which  
16 will be the subject of some discussion today. It included  
17 testing with a gas chromatograph instrument for the presence of  
18 volatile organics, analogous to the testing that we'll be  
19 talking about.

20 Q So how long were you at the National Lab in Idaho?

21 A Just about a decade.

22 Q And when -- what was -- what period of time was that?

23 A Right when I left graduate school, and I'd have to  
24 actually check my resume for the specific dates.

25 Q Feel free.

1 A Let's see -- '81 to 1990.

2 Q All right. After you left the lab in Idaho, where'd  
3 you go?

4 A I started my own quality assurance consulting firm, and  
5 we did quality assurance consulting work for primarily Federal  
6 agencies, for the Department of Energy, for the US Navy, and it  
7 included things like auditing laboratories and conducting data  
8 quality assessments to evaluate the usability of results  
9 reported by testing laboratories.

10 Q We'll get into some greater detail later on, but when  
11 you say you do the -- you said, data reliability assessments?

12 A Yes.

13 Q Could you just explain what that means?

14 A Sure. That's the process that is done after the fact,  
15 after the data have already been reported. And it's  
16 essentially a reconstruction of the entire analytical process  
17 to determine whether the sample was collected and managed and  
18 processed in a manner that maintained its integrity, because if  
19 there's any ambiguity about whether it was the right sample or  
20 the -- where the sample was compromised during processing, then  
21 the results cannot be used reliably. It looks at whether the  
22 site, the method actually used to test the sample was  
23 scientifically valid. That means something very specific to  
24 count as a method validation study is an empirically -- an  
25 empirical testing scheme through which you essentially

1 determine the performance characteristics of a method,  
2 determine its accuracy, its precision, those kinds of things.  
3 And including its uncertainty. So you determine whether it's a  
4 scientifically valid method as appropriate for its intended  
5 use. Because a method that may work perfectly well in one  
6 environment may be completely unsuitable in another  
7 environment, because they have different intended uses.

8           In -- after scientific validity, the last  
9 reconstruction piece is essentially, was the method reliably  
10 performed? If you have the sample with integrity, and you used  
11 a valid method, was the laboratory's measurement system  
12 operating in a state of control of the time that particular  
13 test was performed so that you have a reliable result.

14           So that reconstruction of the analytical process as  
15 an auditor, it's in some respects analogous to what an IRS  
16 auditor does. You can't just say that I remember that two  
17 years ago I made this charitable donation. You actually have  
18 to produce contemporaneous records that demonstrate the amount  
19 of the donation and whether it was a legitimate 501(c)(3) that  
20 you made it to, and when you made it, and all those kinds of  
21 things. In very similar respect, in a laboratory environment  
22 as an auditor, you can't rely on somebody's memory for what  
23 they did to do testing in the past. You rely on  
24 contemporaneous records that very, in very excruciating detail  
25 sometimes, document all the components of the measurement

1 system necessary to understand the reliability of the result.

2 It's a very challenging exercise because frankly what  
3 we're expecting of these kinds of laboratories is to connect  
4 production scale science. That is science at a production  
5 line. The challenge is not a discrete individual measurement.  
6 The challenge is having in place all the components of the  
7 measurement system to contemporaneously document performance so  
8 that scientifically we can understand how reliable result it.

9 Q Roughly, if you can, how many labs have you audited in  
10 that fashion?

11 A I've conducted onsite audits, actual onsite inspections  
12 of dozens of laboratories, I've conducted data quality  
13 assessments, essentially data audits, of hundreds of  
14 laboratories all across the country and around the world.

15 Q Just getting back to your resume a little bit, have you  
16 published in this field?

17 A I have. I authored the quality standard that the US  
18 Navy used for evaluation of its testing laboratories, both  
19 government and commercial.

20 Q Well, I was just going to ask you, when you said you  
21 did these audits, I take it these audits are done in compliance  
22 with generally recognized standards? It's not your own opinion  
23 about whether these people are complying with rules and  
24 regulations?

25 A That's correct.

1 Q All right. And you actually authored quality standard  
2 for the Navy?

3 A Yes.

4 Q Have you ever provided training to other people in  
5 connection with lab auditing activities?

6 A A great deal over the years. I've trained bench  
7 chemist technicians, field sampling personnel, the engineers  
8 and the users of laboratory results, and in recent years, in  
9 the last decade or so, I've gone to CLE legal conferences and  
10 been training attorneys. I did a session for appellate judges  
11 at Duke on judging science and the issues associated with  
12 forensic quality.

13 Q And have you provided testimony as a laboratory quality  
14 assurance expert in court prior to today?

15 A Yes.

16 Q On how many occasions?

17 A Dozens, not probably hundreds, but many dozens.

18 Q Have you been previously qualified here in Vermont?

19 A I have.

20 Q And have you been qualified in other state courts?

21 A Yes, all over the country, state and, I think sometimes  
22 they're -- I don't know. I don't understand all the  
23 jurisdictions, but sometimes they're local, but often what --  
24 state district court.

25 Q Okay. How about in Federal courts?

1           A   Yes, in Philadelphia, in Denver, in El Paso, a variety  
2 of locations.

3           Q   All right. And how about in international courts?

4           A   Yes.

5           Q   Where have you been qualified as an expert in  
6 international courts?

7           A   The Supreme Court of Palau in Micronesia. And I guess,  
8 is the Virgin Islands part of the US Court system?

9           Q   It's a good question. I don't know the answer.

10          A   I don't know, but there.

11          Q   Okay. And in what fields have you been accepted as an  
12 expert by these courts?

13          A   I testify as to the analytical quality assurance  
14 issues, the laboratory quality assurance, and the reliability  
15 of the results from a quality assurance perspective.

16          Q   Have you ever testified about quality assurance in a  
17 toxicology labs?

18          A   Yes.

19          Q   Have you ever testified about the scientific validity  
20 and reliability of blood alcohol test results?

21          A   Yes.

22          Q   How often?

23          A   More than a dozen times, I'm not sure how many.

24          Q   Okay. The State may be interested in knowing this, but  
25 obviously we've hired you to be an expert in this case. Is

1 that right?

2 A Yes, sir.

3 Q And how much are you getting paid for testifying today?

4 A Well, I bill my time for \$150 an hour, regardless of  
5 whether I'm reviewing data or traveling or testifying.

6 Q Are you a forensic toxicologist?

7 A No, I am not.

8 Q Have you ever worked in a forensic laboratory?

9 A No. My laboratory -- analyze samples that have the  
10 potential to end up in court, and some of them very well may  
11 have, but forensic testing, that is testing for the purposes of  
12 generating data specifically for introduction in court was --  
13 is not part of my work history.

14 Q Have you ever used a gas chromatograph to test for the  
15 presence of alcohol?

16 A I have not. I've used a gas chromatograph to test for  
17 the presence of volatile organics, but ethanol, the issue in  
18 this case, is not one of the target analytes that I have  
19 historically tested for, other alcohols, but not ethanol.

20 Q And have you ever used a breath alcohol testing  
21 instrument?

22 A No, I have not.

23 Q So if you are a forensic toxicologist, you haven't  
24 worked in a forensic laboratory, you haven't analyzed samples  
25 for ethanol, what makes you qualified to express the opinion

1 that I've asked you to look into in this case?

2 A The reason is because the principles of quality  
3 assurance in the field of analytical chemistry are universally  
4 applicable. And so you can actually go -- one of the seminal  
5 texts in the field is by a fellow from what is now called NES,  
6 but the old National Bureau of Standards, named John Taylor,  
7 Analytical Quality Assurance and Measurements, and you can read  
8 through that entire book and it doesn't talk about specific --  
9 in general, doesn't talk about specific testing techniques. It  
10 talks about the general principles of how accuracy is  
11 determined, of how precision is determined. And it's a  
12 universally applicable practice that has been adapted by the  
13 field of analytical chemistry. Quality assurance is how for  
14 decades we have recognized that you can put in place systems in  
15 a testing laboratory to ensure the consistent and reliable  
16 production of acceptable quality data for its intended use.

17 And so I've testified to, and conducted, data quality  
18 assessments, and conducted onsite inspections and reviews of  
19 actual testing processes in DNA laboratories, for example.  
20 I've testified about gunshot residue, a variety of toxicology,  
21 both other components in body fluids and body samples as well  
22 as things like blood alcohol. The principles are the same of  
23 reconstructing and conducting a data audit after the fact.

24 Q You've reviewed literally thousands of pages in  
25 connection with these cases. Is that right?



1 A Yes.

2 Q And you've become familiar with how the Department of  
3 Health, the Department of Public Safety went about trying to  
4 establish whether these DataMaster DMTs met the performance  
5 criteria set forth in the Rule. Is that right?

6 A Yes.

7 Q Is there anything in your review of the lab's  
8 performance, of the Rules, of any of the results that you've  
9 looked at, that leads you to believe that assessing the quality  
10 of the Department of Health, the Department of Public Safety  
11 efforts to meet the criteria of the Rule fall outside generally  
12 accepted scientific principles? In other words, there's  
13 something unique about this lab that would cause you to assess  
14 the quality differently than any other lab?

15 THE COURT: I'm sorry, I got lost in the question, so  
16 I'm not going to understand the answer.

17 MR. SLEIGH: Here's what I'm trying to ask.

18 BY MR. SLEIGH:

19 Q I think what you said is that when you undergo quality  
20 assessment of a laboratory's work, you apply generally accepted  
21 scientific principles. Right?

22 A Yes.

23 Q What I'm saying is there anything about this case that  
24 would cause you to say it falls outside those generally  
25 accepted principles? Not that their work did, but whether your

1 assessment of it would have to be different than assessing a  
2 DNA lab.

3 A Like science doesn't count?

4 Q Well, that's basically what I'm asking. So can you  
5 apply your usual standards in assessing the Department of  
6 Health's performance in this case? That's the short question.

7 A I did.

8 Q Okay. All right.

9 A I'm not sure that answers your question.

10 Q Well, it's a bad question. I tried three times, now  
11 I'm giving up.

12 MR. SLEIGH: Your Honor, to the extent that we have  
13 to, we'd offer Mr. Arvizu as an expert in the field of  
14 laboratory quality auditing and quality assurance.

15 THE COURT: Mr. Nagurney?

16 MR. NAGURNEY: Your Honor, with that qualification to  
17 this limited area, I would have no objection.

18 THE COURT: She is so qualified.

19 MR. SLEIGH: Thank you.

20 BY MR. SLEIGH:

21 Q So, what is science?

22 A I try, whenever I'm in the state in April, I always try  
23 to judge our state science fair. It's a great system. We  
24 have, you know, hundreds of kids all locked in a gymnasium, and  
25 they all understand the scientific method and can all recite

1 it: observation, hypothesis, testing, validation, verification.  
2 It's a process that trained practitioners in the field of  
3 science and there are lots of fields of science used, to ensure  
4 that the conclusions that we draw are useable and valid and  
5 transparent and can be communicated to other scientists and be  
6 subject to their review and assessment. It's a transparent  
7 process. It's not something where we go into a black box and  
8 generate data and results and then come out with stellar  
9 conclusions that can't be reproduced by anybody else, witness  
10 cold fusion in years past. The scientific method relies on the  
11 fact that we don't rely on memory, we don't rely on anybody's  
12 best recollection of what happens, everything is documented so  
13 it can be subject to independent assessment.

14           And as an auditor, I rely on that practice, the fact  
15 that everything needs to be documented so that we can  
16 independently assess what was done, when, by whom, and to what  
17 standards.

18           Q And you may have asked -- answered this in  
19 anticipation, but what is the scientific method? What does  
20 that mean?

21           A It's a sequence of events that I described.  
22 Observation; you make an observation that you think is  
23 something that can be scientifically evaluated. You make a  
24 hypothesis, I think I can make this measurement in this manner.  
25 And then you test that hypothesis; is it, in fact, possible to

1 make the measurement in the manner that you hypothesized? Then  
2 are the two "V" steps that most frequently are forgotten in the  
3 scientific process; validation and verification.

4           Method validation is the process that I described  
5 earlier, that is one of the steps that I evaluate in a data  
6 quality assessment. A method validation study as applied to  
7 analytical chemistry is a study that you conduct according to a  
8 discrete plan -- these are the objectives that I'm trying to  
9 achieve. I'm trying to evaluate a method for a particular  
10 purpose. Because method validation is testing, empirical  
11 testing in the laboratory, to determine whether or not a given  
12 method is appropriate for its intended purpose.

13           In this case, for example, the intended purpose is to  
14 be able to comply with the Vermont Rules as published in  
15 whatever legal language that document is, that first exhibit  
16 that we just came out. That sets discrete performance  
17 standards for the method. So you have a target that you have  
18 to determine whether or not your method is capable of  
19 achieving. That's the validation step. It is testing  
20 conducted using known samples, known reference materials. It  
21 can't test a method by using unknown samples. You can only  
22 evaluate its performance by using known samples.

23           And then the last step in the process is  
24 verification. And that is once you're using a method that has  
25 been demonstrated to be valid, you continually need to verify

1 that your local performance is capable of, in fact, meeting the  
2 performance specs of the method.

3 Q Can you distinguish for us the difference between  
4 investigatory science and applied science?

5 A That's the difference between research and what goes on  
6 in a laboratory, like a forensic laboratory that does  
7 production-scale work. There are forensic labs that do  
8 investigative science. That's research. That's actually  
9 studying a new field of scientific endeavor where your --  
10 you've made the hypoth -- you've made the observation, you've  
11 poised the hypothesis, and you're doing the testing. You're  
12 not to the point of having a validated method that you're going  
13 to apply, you're doing the testing. It's the early part of the  
14 scientific process.

15 When it gets to the point of routine forensic  
16 toxicology testing, the kinds of testing that goes on in terms  
17 of blood alcohol testing, breath alcohol testing, testing blood  
18 and urine for the presence of controlled substances, that kind  
19 of testing has moved past the investigative stages. It's no  
20 longer a research project. At that stage, once you're testing  
21 unknown samples, and reporting on the result of an unknown  
22 sample, that's the application of science. That's using a  
23 method that has already been validated and shown to be  
24 appropriate for its use, that you've already verified that  
25 you're capable of meeting those requirements, and that's where

1 you're doing science on a production line where it's necessary  
2 to have all the quality control measures in place that I talked  
3 about earlier.

4           So all science starts with the investigative side, it  
5 has to get through that process before you can put it into the  
6 application field.

7           Q And this might sound like an obvious question, but I  
8 don't think it is, can you provide the Court a working  
9 definition, what is forensic science?

10          A It's interesting that in the -- at the time I went to  
11 school, the science fields that we had were things like  
12 chemistry, and biology, and physics, the hard sciences, if you  
13 will. And when I first became aware of the field of forensic  
14 science, all that I understand forensic science to be is the  
15 application of traditional scientific endeavors to applications  
16 where the intended user of the data is the Court.

17           So it's not a completely new field of science in the  
18 way that chemistry and biology and physics are. But it's the  
19 application of material science and chemical measurement and  
20 those kinds of principles with the intended purpose being  
21 simply to generate results that are material to a given  
22 criminal or civil case. And so, the intended user of those  
23 data generated through traditional scientific methods is, in  
24 fact, the Court system.

25          Q Is there any reason, given that the user is going to be

1 the Court system as opposed to other scientists, that the  
2 scientific methods used in producing the results be less  
3 rigorous or more lax?

4 MR. NAGURNEY: Objection, Your Honor. At this point  
5 I think he's asking her for a legal conclusion as to -- again  
6 he's asking her not what the specific questions regarding the  
7 admissibility or the foundation for the admissibility evidence  
8 here. But whether what weight Your Honor should assign to it  
9 based upon the strength of the evidence that the fact finding  
10 might proceed.

11 MR. SLEIGH: All I'm just trying to ask is if there's  
12 a lesser standard for forensic test result expression than  
13 there is in other fields of science. It seems like a question  
14 that would answer itself but --

15 THE COURT: If you know, you may answer.

16 THE WITNESS: In the forensic community increasingly  
17 -- with increasing frequency in this country, forensic  
18 laboratories are moving toward adoption of what are, for  
19 purposes of accreditation, international standards for testing  
20 laboratories. Those are universally applicable. They're just  
21 -- and it recognizes being so to environmental labs, food  
22 testing labs, pharmaceutical labs, forensic laboratories,  
23 material testing laboratories.

24 BY MR. SLEIGH:

25 Q Are there agencies or institutions that have provided

1 guidelines and standards to the forensic scientific community  
2 in terms of their testing efforts?

3 A Are there agencies?

4 Q Well, institutions.

5 A Institutions. Yes. There are -- I mentioned ISO.

6 Q What is ISO?

7 A ISO is the International Standardization Organization.  
8 You may be familiar with ISO-9000 which is a management  
9 standard for -- quality management standard that's very widely  
10 applicable. The purpose of ISO is to develop consensus  
11 standards. It's an international organization and it develops  
12 them in a wide, wide variety of applications.

13 The ones that are germane to our discussion here  
14 today are ISO standard 17025, which is general requirements for  
15 the competence of testing in calibration laboratories. That is  
16 the international standard that the organizations that accredit  
17 forensic laboratories in this country have adopted as their  
18 applicable standard for forensic labs.

19 Q Have you ever heard of an organization called ILAC or  
20 Eye-LAC (phonetic), I-L-A-C?

21 A Yes.

22 Q Who are they?

23 A ILAC is the International Laboratory Accreditation  
24 Corporation, and it is an international organization whose  
25 members or signatories, essentially, in an attempt to



1 homogenize requirements internationally so that if I'm using a  
2 result from an Australian laboratory that is accredited to ISO  
3 17025 I can be confident that they conformed to the same  
4 requirements as the laboratory here in this country that  
5 conforms to ISO 17025.

6 So, the accrediting agency in Australia is a  
7 signatory to ILAC. The accrediting agencies here in the United  
8 States are signatories to ILAC. It's an attempt to homogenize  
9 essentially the accreditation practices throughout the world.

10 Q And have you ever heard of an organization called SOFT,  
11 S-O-F-T?

12 A Society of Forensic Toxicology. And in cooperation  
13 with the American Academy of Forensic Sciences, they have  
14 released guidelines, I think most recently published in 2006,  
15 that are specific to the practice of forensic toxicology. That  
16 would also be considered a consensus standard. It's a  
17 guideline. It's not anything that's mandated by law. But it's  
18 something that has been developed by practitioners in the  
19 field.

20 Q Have you ever heard of an organization called  
21 ASCLD/LAB?

22 A Yes.

23 Q Who are they?

24 A American Society of Crime Laboratory Directors  
25 Laboratory Accreditation Board. It's an organization that was

1 spun off from the trade organization of crime laboratory  
2 directors from ASCLD for the purpose of accrediting forensic  
3 laboratories. It started several years ago. They initially  
4 prepared and used their own standard, their own guidelines,  
5 their own manual is how they described those standards and  
6 accredited laboratories to that.

7           At present, laboratories that were accredited under  
8 ASCLD's own self-defined standards are known as legacy  
9 laboratories. And the reason for that is that ASCLD/LAB no  
10 longer will accredit laboratories to their old legacy system.  
11 On a going forward basis, they will only accredit laboratories  
12 to the ISO 17025 standard.

13           Q We'll get more into it, that standard is on that, but  
14 -- and finally do you -- does the College of American  
15 Pathologists provide accreditation programs for toxicology  
16 laboratories?

17           A They do. They also have published their accreditation  
18 requirements.

19           Q Okay. I want to switch gears a little bit here and  
20 just talk about some basic concepts attendant to measurement  
21 itself. Obviously, what we have in these cases is an attempt  
22 to quantify the ethanol in a breath alcohol sample. That  
23 involves measurement, true?

24           A It involves two kinds of measurements. It involves the  
25 qualitative identification of ethanol and its discrimination

1 from other compounds that could potentially interfere with or  
2 be misinterpreted as ethanol. And it includes the quantitative  
3 analysis, that is the determination of the concentration or  
4 quantity of ethanol present in a sample.

5 So, those two kinds of techniques, the what is it and  
6 the how much is it, qualitative and quantitative testing are  
7 both addressed in this.

8 Q Okay. Let's talk sort of about quantitative testing in  
9 the abstract just for a minute. If you were to repeatedly test  
10 or measure a given unknown, a single sample, and measure or  
11 test it a hundred times would you get the same result every  
12 single time?

13 A Not as a general rule, no.

14 Q So, how would you, as a scientist, express the  
15 measurement result, let's just say you're measuring the length  
16 of a stick and you have a yardstick. What would you have to do  
17 to develop confidence in your report of the result of that  
18 measurement?

19 A It's an inherent principle of metrology that every  
20 measurement, whether it's measuring a stick with a yardstick or  
21 whether it's measuring the quantity of ethanol in a sample,  
22 every measurement process has a degree of what is called  
23 uncertainty associated with it. And you sort of infer that  
24 that's the case by the fact that if you test something a  
25 hundred times you don't necessarily get the same answer a

1 hundred times.

2           That doesn't mean that you've done anything wrong.  
3 Uncertainty is sometimes confused with error or described as  
4 error or confused as mistakes. It is not. It's the fact that  
5 if I'm measuring the length of something, say the length of  
6 this pen, I could use a yardstick to measure the length of this  
7 pen. But if it was a yardstick that only had three marks on  
8 it, one for each foot, it wouldn't do a very good job of being  
9 able to measure. I would have to do some estimation. And I  
10 would probably not get the same result as the next hundred  
11 people who measured that pen using that yardstick.

12           Whereas if I went to a high precision laboratory and  
13 got one of the measuring, a good caliber that was capable of  
14 measuring to a fraction of a millimeter, I would be able to get  
15 a very accurate measurement of this. But again, because of its  
16 -- in fact, because of its precision I wouldn't get the same  
17 measurement every time necessarily. But I would do a much  
18 better job of measuring it than I would if I used a yardstick.

19           So, there's different uncertainties associated with  
20 every measurement and we'll talk more about it but the  
21 complexity of this process varies as a function of how many  
22 components to the uncertainty there are. And the more  
23 components, the more parts to the process, the more inherent  
24 uncertainty there is associated with the measurements.

25           Here in the United States our National Metrology

1 Institute is the National Institute of Standards and  
2 Technologies, what used to be called the National Bureau of  
3 Standards. It's referred to as NIST, N-I-S-T. NIST is, if you  
4 look in the ISO standards it refers to using what are called SI  
5 units, which are standard units. It doesn't say in ISO  
6 standard that you have to use measurements that are traceable  
7 to NIST. It says you use measurements that are traceable to  
8 these SI units, these SI standards.

9           How that gets implemented in practice in the real  
10 world is through these National Metrology Institutes. Here in  
11 the United States we use NIST. They have their counterpart in  
12 other countries. And if you look at the measurements generated  
13 by NIST they have a very high degree of understanding of how  
14 uncertain their measurements are when they craft primary  
15 standards. So --

16           Q So, even NIST if they're crafting a primary standard  
17 would express that together with some sort of uncertainty  
18 measurement?

19           A Absolutely. It would, in fact, not be a measurement if  
20 it didn't have any associated uncertainty with it.

21           Q And let me just jump ahead because I want to clear this  
22 up. There seems to be in the general public some confusion  
23 about error rate and uncertainty measurement. In other words,  
24 that the two get conflated somehow. Could you differentiate or  
25 at least maybe explain a little further why the uncertainty

1 measurement is not necessarily an expression of error?

2 A Yes. I think that's an unfortunate sort of convocation  
3 of terms that has contributed to that and our own tendency to  
4 not use them very precisely. Error rate typically applies to  
5 falsely identifying, for example, ethanol as present in a  
6 sample when, in fact, it is not. That's referred in a term  
7 that people can understand it's falsely identified as positive.

8 So, there's no ethanol, for example, in the sample  
9 yet it's reported as being present. That would be a false  
10 positive. Similarly, if ethanol in fact is present in a sample  
11 and a testing laboratory determines that it is not, that would  
12 be a false negative. Those are the kinds of errors or actual  
13 erroneous conclusions that can be reported by laboratories and  
14 you can actually quantify an error rate for that kind of  
15 occurrence.

16 And that's contrasted with just mistakes, blunders  
17 that happen in the laboratory that may or may not result in  
18 generation of a result. None of those things are uncertainty.  
19 An uncertainty associated with a measurement represents the  
20 boundary conditions. Every single measurement that you make  
21 and I know people are accustomed to thinking this pen is six  
22 inches long. So the answer is six but it's six plus or minus a  
23 number. It's actually better expressed as a range of results.  
24 The true value is somewhere between five and seven inches, if  
25 it was six plus or minus one. I don't know if that's accurate

1 for this pen or not.

2 But that's the whole point. That scientifically we  
3 can do through a process. We can determine all the components  
4 that contribute to the uncertainty of a measurement and we can  
5 do an analysis to determine the uncertainty and the expanded  
6 uncertainty so that we can give you a scientific statistically  
7 valid conclusion on just how confident I am that the true value  
8 of this measurement of this six inch pen lies between five and  
9 seven inches. Doesn't mean it's six. It means that to a known  
10 degree of confidence I know that the true length of this pen is  
11 somewhere between five and seven inches.

12 What happens is labs that report results without  
13 uncertainty only tell you it's six. They aren't telling you  
14 that the real result is somewhere between five and seven and  
15 they just don't know whether it's five, five and a half, six,  
16 six and a half or seven.

17 The reason that's so important when I talked about  
18 quality assurance, data of different quality is used to make  
19 different quality decisions. If you have to make a measurement  
20 comparison to a threshold, if you have to compare a  
21 laboratory's result to a standard or a threshold in the case of  
22 ethanol testing that's usually .08 percent. Then it's  
23 impossible scientifically to make that comparison in a reliable  
24 manner unless you know the uncertainty of the results that  
25 you're making the comparison against. You simply can't do it.

1 I have a little picture here that tries to explain  
2 that. I have a figure if -- or I could put it on the board  
3 or --

4 Q Would you like to approach the board?

5 MR. SLEIGH: Is that all right?

6 THE COURT: She may.

7 MR. SLEIGH: Thank you.

8 THE COURT: Although it may, for the record, be  
9 better to do it on a piece of paper.

10 THE WITNESS: I can make this the record and put it  
11 on the board so everybody can see it. How about that?

12 MR. SLEIGH: That's fine with me.

13 THE WITNESS: Will that --

14 MR. SLEIGH: I'll get that marked.

15 THE WITNESS: Okay. This axis is concentration.

16 MR. SLEIGH: One second because we're having the  
17 exhibit reviewed.

18 THE WITNESS: Okay.

19 MR. SLEIGH: Now we've marked the paper version of  
20 this as Exhibit N. We'd offer that as a demonstrative exhibit.

21 THE COURT: Any objection?

22 MR. NAGURNEY: No.

23 THE COURT: Defendant's N is admitted.

24 (Defendant's Exhibit N received)

25 THE WITNESS: Can I look at it so I can actually make



1 it look like the picture?

2 MR. SLEIGH: Yes.

3 Your Honor, would this be an appropriate time for a  
4 morning recess. She's going to draw for a little bit and I  
5 could use a bit of a break.

6 THE COURT: All right, we'll take the morning break  
7 early. I also just want to let you know, Mr. Nagurney, your  
8 memo has -- was received today.

9 MR. NAGURNEY: Oh, good.

10 THE COURT: So, it is filed with the Court. So, you  
11 don't need to supply a different copy.

12 MR. NAGURNEY: Great.

13 THE COURT: I don't know whether Mr. Sleigh has one  
14 or not.

15 MR. SLEIGH: I think I actually received one on  
16 Monday via email. So --

17 THE COURT: All right, thank you.

18 THE BAILIFF: All rise.

19 (Recess at 10:12 a.m., recommencing at 10:32 a.m.)

20 THE BAILIFF: All rise.

21 THE COURT: Please be seated.

22 MR. SLEIGH: Thank you.

23 BY MR. SLEIGH:

24 Q So, you've had a chance to put your diagram on the  
25 board?

1 A I did.

2 Q So, we were talking about uncertainty and you wanted to  
3 discuss this on the board.

4 A This is why it's so important when you need to compare  
5 a result to a threshold. There's basically five things that  
6 can happen when you make a measurement result of ethanol  
7 concentration. One is you don't get any ethanol and if it's  
8 not detected we don't have much to talk about. But if you get  
9 a detected quantity of ethanol that you're expected to compare  
10 to the regulatory threshold which is fixed at .08 percent,  
11 these are the four things that can happen.

12 First, there are the four -- these four dots just  
13 represent four results. The numbers are really not important,  
14 the absolute numbers are really not important. What's  
15 important is that this result, if you include its measurement  
16 uncertainty, lies somewhere between here and here. There -- no  
17 matter -- and if I know to a known degree of confidence that my  
18 measurement result is within those bounds, I can tell you with  
19 that degree of confidence that it exceeds that threshold of .08  
20 percent, unquestionably. I know that that result is over the  
21 limit and would be prepared to draw that scientific conclusion.

22 The next result right here also looks to be over .08  
23 percent if you only look at the number. But when you look at  
24 the number with its associated uncertainty, and remember  
25 scientifically that's all I can say. All I can say is the true

1 value lies somewhere between here and here. I don't know if  
2 that result is over the uncertainty or not because the  
3 measurement result between here and here spans that .08 percent  
4 number.

5 It can be below the number or it can be above the  
6 threshold. So, my conclusion of whether or not it exceeds the  
7 threshold, scientifically, I can't tell you. Similar situation  
8 exists here. If you just look at the number, you might think  
9 oh they're under the limit, no problem. But you must look at  
10 the number with its associated uncertainty. With the  
11 uncertainty it may be over the limit.

12 And this last case, so again, this one is also  
13 unknown. In this last case, the number's below the limit even  
14 if you include the uncertainty it's still under the limit. So,  
15 this one very clearly would be under the limit. This one would  
16 be over the limit. But scientifically in these two cases,  
17 cannot tell you whether or not that result is over the  
18 threshold of .08 percent.

19 Now, there's different ways of addressing this and  
20 attacking this. It's like as a scientist one of the ways you  
21 can improve your ability to encounter this problem less often  
22 is by reducing your uncertainty. So, if I get my measurement  
23 system more and more in control and I put tighter and tighter  
24 constraints on everything and I get less uncertainty. All of a  
25 sudden, in these cases this one is over even if you include the

1 uncertainty. This one is under even if you include the  
2 uncertainty.

3 But the counterpart is also true. If you have a  
4 laboratory that has problems and has very large uncertainties  
5 associated with it then you have a problem. But you'd really  
6 -- until you quantify, actually do the testing, collect the  
7 data, do the analysis to quantify how big those error bars are,  
8 I think you can see that no matter what your number tells you,  
9 you don't know whether or not it fails or passes against the  
10 threshold until you know the uncertainty.

11 Q Okay. Well, I've got some questions about that. If  
12 you want to take the stand that would be great.

13 (Witness retakes stand)

14 So, your explanation obviously --

15 THE COURT: Can I just ask you a question before --  
16 you just said until you quantify how big the error bars are --

17 THE WITNESS: I --

18 THE COURT: But earlier you said there was a  
19 difference between error an uncertainty.

20 THE WITNESS: And I apologize. That was an inelegant  
21 use of terms and that's part of the reason we scientists have  
22 confused people so badly. Because those bars that I drew on  
23 there used to colloquially be called error bars and so that was  
24 my poor use of the term. That actually is a representation of  
25 the uncertainty. I apologize. Good catch.

1 BY MR. SLEIGH:

2 Q So, this all sounds well and good but it raises obvious  
3 questions. How do you determine uncertainty? What are the  
4 components of uncertainty?

5 A The components of uncertainty are of two types. They  
6 are referred to as type A and type B. And type A uncertainty  
7 measurement components are those things that we can evaluate  
8 statistically. And laboratories typically make that kind of a  
9 determination by running large, large numbers of replicate  
10 analyses and evaluating the precision of the measurement  
11 process.

12 Statistical techniques, they're better when you have  
13 more data. So, that when you get a lot of data that gives you  
14 good results for purposes of determining the type A component  
15 of uncertainty. Type B components are those things that cannot  
16 be evaluated statistically. And in a very practical sense let  
17 me just sort of describe what some of those would be for these  
18 kinds of issues that we're dealing with.

19 When you purchase a calibration standard from an  
20 accredited supplier of reference materials, it's a solution  
21 that they provide a certificate of analysis and it has a  
22 concentration of ethanol in water and it has its associated  
23 uncertainty. So, that uncertainty essentially gets added in to  
24 your uncertainty for your final result.

25 So, every component of uncertainty in every step of

1 the measurement process contributes to the uncertainty of your  
2 measurement. It includes uncertainties from things like if you  
3 weigh out the ethanol to prepare your reference materials, your  
4 standard solutions, then it's the uncertainty of the balance  
5 measurement. If you use what's called a pipette to deliver  
6 volume of ethanol to make known solutions then the tolerance of  
7 that pipette for volume delivery contributes to the  
8 uncertainty.

9 It includes things like the thermal expansion  
10 coefficient of the glassware of the solutions that are actually  
11 used depending on the temperature at which you prepare those  
12 solutions. So, there's a very rigorous process that scientists  
13 go through to identify each contributor, each variable in the  
14 measurement process.

15 Q So, let me just interrupt real quick. Let's say you're  
16 doing these replicate tests to try to generate a database.  
17 Would each such test have to be documented to include the  
18 uncertainty measurement from each step of the way?

19 A Would each --

20 Q So, does there have to be contemporaneous documentation  
21 of each replicate test --

22 A Oh, absolutely. Yes. Yeah. You've got to know what  
23 you're doing at each step of the test. It's a process where  
24 every single component -- you make a measurement. You have to  
25 step back scientifically and evaluate all the factors that

1 contribute. Did I use a balance? Did I use a pipette? Does  
2 temperature affect what I'm measuring? Was -- were there  
3 uncertainty provided by the manufacturer, the accredited  
4 manufacturer?

5           Every one of those must be contemporaneously  
6 documented so that I can add all those components together and  
7 determine my final uncertainty on my measurement result.  
8 That's what's called traceability, to have all the  
9 documentation to support all those individual components of  
10 uncertainty in my final result.

11           Q So, in expressing any analytical result or measurement  
12 for that matter, you need to scientifically accompany that with  
13 an expression of uncertainty. Is that right?

14           A Everyone, yes.

15           Q And that uncertainty itself is calculated in a  
16 scientific fashion?

17           A Yes.

18           Q That incorporates the notion of traceability which is a  
19 contemporaneously generated accessible transparent  
20 documentation?

21           A Correct.

22           Q And then scientifically adequate calculations that can  
23 be expected by others?

24           A Yes.

25           Q Anything else?

1           A Yeah. That's -- the elements of traceability are that  
2 the procedure used must be documented. That is you can't just  
3 -- you can't -- no one else can review and assess whether or  
4 not what you did was appropriate unless it's documented. So,  
5 the procedure that was followed must be documented.

6           The participants must be competent. That is, if you  
7 purchase materials from a reference material supplier, one of  
8 the common ones is Cerilliant. They're accredited to the  
9 relative ISO standard for as a reference materials supplier.  
10 So, they're accredited to ISO 17025 and under guide 35 -- 34.

11           So, if you have contemporaneous documentation of the  
12 procedure, of the competence of the participants, if you have  
13 contemporaneous records documenting each component of the  
14 uncertainty, then you're capable of generating a final  
15 uncertainty for your measurement that is traceable. It's every  
16 link in the chain is complete.

17           Q All right. Let me just show you what I've marked as  
18 Defendant's B, C, D Prime, D and E Prime. If you'd just, one  
19 by one, identify those and explain what it is.

20           A Exhibit B is guidelines for forensic science  
21 laboratories issued in 2002 by ILAC. This is the International  
22 Organization's attempt to not essentially restate the  
23 provisions of ISO 17025 but to clarify them with respect to the  
24 practice of forensic science.

25           Q And --



1           A It has entire sections addressing specifically  
2 traceability.

3           Q And does it specifically reference the applicability of  
4 these guidelines to forensic science laboratories dealing with  
5 alcohol? I'd refer you to page 5.

6           A Yes. In page 5, which is the table describing the  
7 scope of forensic science work, it specifically identifies the  
8 determination of alcohol as one of the components in  
9 toxicology.

10          Q And Exhibit C?

11          A Exhibit C is a copy of the forensic toxicology  
12 laboratory guidelines issued in 2006 by SOFT and AAFS.

13          Q And do those guidelines incorporate the requirements of  
14 uncertainty measurement and traceability?

15          A I'm going to have to cruise through it to find it.  
16 Okay. The issue of reference materials in this document is  
17 addressed in section 9.3.

18          Q Thank you. And can you take a look at what's been  
19 marked as D prime and identify what that is?

20          A Exhibit D Prime is a document entitled 2006  
21 supplemental requirements for the accreditation of forensic  
22 science testing laboratories. Corresponds to ISO IEC 17025  
23 2005.

24          Q And because it's an ISO 1725 predicated accreditation  
25 guideline, would that include the requirements and traceability

1 and uncertainty measurement?

2 A It does. It specifically incorporates all the  
3 provisions of uncertainty of measurement from ISO under section  
4 5.4.6 and it provides additional clarification on measurement  
5 traceability section 5.6.

6 Q And let me show you what's -- let me return your  
7 attention to Exhibits D and E and ask if you can identify and  
8 explain what those are?

9 THE COURT: E or E Prime?

10 MR. SLEIGH: Sorry. I'm sorry. It would be now D  
11 without the Prime and E.

12 THE COURT: But E without the Prime?

13 MR. SLEIGH: E without the Prime, yes. E Prime will  
14 be next. I'm sorry about this.

15 THE WITNESS: Exhibit D is ASCLD/LAB policy on  
16 measurement uncertainty. And Exhibit E is the ASCLD/LAB policy  
17 on traceability of measurement results, reference standards and  
18 reference materials.

19 BY MR. SLEIGH:

20 Q Now, both D and E have across the front of them  
21 something that says currently under review?

22 A They do.

23 Q Could you explain what that is and whether ASCLD has  
24 actually adopted these requirements at this point?

25 A These actually have been adopted. I have downloaded

1 copies that have the same approval date, the same effective  
2 date and the same number of pages as these documents.

3 Q But they're not generally accessible to the public over  
4 the Internet at this point?

5 A Yes, they are.

6 Q Oh, okay.

7 A Yes, they are.

8 Q All right. Okay. And E --

9 A E's documents, essentially, it's a trickledown. The  
10 international standard for establishing what uncertainty is and  
11 how it's empirically determined and how traceability works is  
12 there's a document that's affectionately known as GUM,  
13 guidelines for the estimation of uncertainty and measurement.  
14 And there's a trickledown that those guidelines have been  
15 distilled and presented in a more directly understandable  
16 manner by subordinate agencies.

17 So, ISO references back to these guidelines. This as  
18 -- these documents as ASCLD/LAB documents specifically the same  
19 requirements trickledown into these ASCLD/LAB requirements for  
20 both uncertainty and for traceability.

21 Q And let me show you what's been marked as E Prime.

22 A Yes.

23 Q And what's that?

24 A This is the document by the Commission on Laboratory  
25 Accreditation for the College of American Pathologists. It's

1 described as a chemistry and toxicology checklist. It's used  
2 by the College of American Pathologists for purposes of  
3 accrediting laboratories.

4 Q And when were these generated? Can you see on the  
5 bottom of the page there?

6 A 2003.

7 Q All right. And do they include instructions and  
8 concepts incorporating the requirements of traceability and  
9 uncertainty measurement?

10 A No. My understanding is they are -- it's been awhile  
11 since I've looked and it would take me a bit to go through and  
12 find the specific citation.

13 Q Okay. But you're familiar enough to know that they  
14 incorporate those requirements?

15 A Yes.

16 MR. SLEIGH: Your Honor, we move the admission of B,  
17 C, D Prime, D, E and E Prime.

18 MR. NAGURNEY: Your Honor, I guess I have an  
19 objection on the relevance grounds. I don't understand how  
20 given the statutory scheme we're working with, the rules we're  
21 working with, how they'd be relevant to the ultimate  
22 determination we're asking you to make here. There's no  
23 requirement for accreditation.

24 In fact, some of them aren't even from accrediting  
25 bodies. They're simply guidelines to be considered by

1 accrediting bodies. So, I'm not certain how they're relevant  
2 at least on the foundation we have for them right now.

3 THE COURT: Mr. Sleigh?

4 MR. SLEIGH: Your Honor, what I'm trying to establish  
5 is that for some period of time from 2011 backwards, it was the  
6 generally accepted minimal scientific standard that testing  
7 laboratories express and incorporate notions of traceability  
8 and uncertainty measurement in their analytical work. And  
9 specifically, as we'll get to in a minute, in their -- in the  
10 Department of Health's effort to prove or establish that the  
11 DataMaster DMT devices met the performance criteria. They had  
12 to conduct their experiments and report their results  
13 consistent with minimal scientific standards which include  
14 traceability and uncertainty measurement.

15 All these documents are publically available. All of  
16 them predate the predeployment testing that we're going to talk  
17 about. All indicate that the generally accepted scientific  
18 norm was to include traceability and uncertainty and therefore  
19 it's relevant.

20 THE COURT: Anything further?

21 MR. NAGURNEY: No, Your Honor.

22 THE COURT: Okay. The documents are admitted. So,  
23 Defendant's B, C, D1 -- or D Prime rather, D, E and E Prime are  
24 all admitted. That's without any determination as to their  
25 impact on any decision the Court might make.

1 (Defendant's Exhibits B, C, D Prime, D, E and E Prime  
2 received)

3 MR. SLEIGH: Thank you.

4 BY MR. SLEIGH:

5 Q We had discussed in some detail the components of  
6 uncertainty measurement. Does traceability as a concept have  
7 component contributors as well?

8 A Traceability is inherently linked with uncertainty.  
9 That is, the uncertainty of a measurement cannot be empirically  
10 determined unless that measurement also is traceable.  
11 Measurements are traceable. Laboratories aren't traceable.  
12 Instruments are not traceable. Only measurements are traceable  
13 and traceability is associated with the contemporaneous  
14 documentation linking that measurement, that ultimate  
15 measurement, and this goes to those big green dots, all the way  
16 back to a primary reference standard.

17 And so, it's contemporaneous documentation that  
18 documents the actual components of uncertainty for that  
19 discreet measurement.

20 Q Is it important for laboratories to have standard  
21 operating procedures that govern not only the experimental  
22 procedures but the record-making of the laboratory?

23 A It is essential. Traceability is not a new concept.  
24 It has been around for a very, very long time. ASCLD/LAB,  
25 legacy laboratories under which the Vermont Lab is accredited,

1 has had traceability as a requirement for maybe all the way  
2 back to its very, very first manual. I only have a hard copy.  
3 I checked my electronic copy and as far back as 2001  
4 traceability was mandated under legacy ASCLD/LAB requirements.

5 Q So --

6 THE COURT: Can you review again what traceability  
7 is?

8 THE WITNESS: Sure. Traceability is if -- for  
9 example, if I'm making a measurement on a gas chromatograph,  
10 that's an instrument that I essentially have to teach what  
11 concentration of ethanol solution gives what response from the  
12 instrument.

13 The way that that's done is by introducing what's  
14 called reference materials or solutions of known and documented  
15 concentration to the instrument and seeing what kind of  
16 response the instrument gives. So you teach it by doing a  
17 calibration curve through use of these known reference  
18 materials.

19 Under ASCLD lab requirements, under their  
20 accreditations scheme, the solutions that you use to calibrate  
21 need to be purchased from an ISO compliant supplier, from an  
22 accredited provider of these materials. That means it needs to  
23 be a traceable solution. When you purchase that solution, it  
24 will have a certificate analysis that will have an uncertainty  
25 associated with it. So it'll say point -- well, I have one

1 here I can give you, give you an example. It'll say -- it'll  
2 give you its concentration and it'll give you the associated  
3 uncertainty. So it'll say like .08, plus or minus .0005 or  
4 percent or whatever, to a given confidence level.

5 So it will tell you its component of the uncertainty.  
6 That way it's traceable. You can use that component in your  
7 computation of uncertainty.

8 Also, when you prepare for your measurement, you are  
9 weighing things out or you're measuring them volumetrically  
10 using pipettes. Those are the other components that have  
11 uncertainty associated, just like measuring the length of a  
12 pen, measuring the mass of ethanol or the volume of a solution  
13 has uncertainty associated with it.

14 So you can look up the tolerance for the volumetric  
15 flask that you dilute to volume. That's something that you can  
16 just look up from the provider. You have your pipettes  
17 calibrated by an accredited supplier annually, and then you  
18 check them periodically in the laboratory. So you have actual  
19 quantitative measures of the uncertainty of when you dispense a  
20 volume.

21 THE COURT: Okay. The thing that confused me was you  
22 said earlier only measurements are traceable. You said things  
23 like instruments or materials or -- I can't remember what it  
24 was, but.

25 THE WITNESS: Yes. Or laboratories are not



1 traceable. The characteristic of traceability is being able to  
2 have contemporaneous records documenting the uncertainty of  
3 each and every component of the measurement.

4 BY MR. SLEIGH:

5 Q So when you said labs aren't traceable, you couldn't  
6 say this laboratory in its entirety is traceable, right?

7 A That's correct.

8 Q So what you mean by traceability is the ability by  
9 looking at contemporaneous records to walk back from a result  
10 each step that it took to get to that result?

11 A Exactly. There's a procedure or a recipe that says how  
12 I made the measurement. They're usually called standard  
13 operating procedures. And that procedure will describe these  
14 are the volumetric measurements that are made, these are the  
15 mass measurements that are made, these are the temperature  
16 measurements that are made. And every one of those in order  
17 for a result to be traceable, must have the associated records  
18 to document the uncertainty.

19 Q Accompanied at each step by a calculation of  
20 uncertainty?

21 A Yes. It's an audit trail, if you will, so you know  
22 exactly what was done to generate that result.

23 Q So if you were to run, for example, you're doing your  
24 control on a GC, you're using a reference standard with a known  
25 concentration, you run that amount through the GC and you get a

1 result.

2 A Uh-huh.

3 Q What you want to be able to do is look at that result,  
4 anybody, and be able to walk back through the documents step by  
5 step of how you got there?

6 A Exactly.

7 Q And, once again, in each step with an accompanying  
8 uncertainty measurement and the sum of uncertainty that would  
9 accompany your end result?

10 A Yeah. And it's not just the number, it's the records,  
11 so that you don't have to make any assumptions about what  
12 solution was used to calibrate. That there are contemporaneous  
13 records that describe its preparation and you know what it was  
14 prepared from. It all links back.

15 Q Just let me do a little housekeeping and then we'll go  
16 on with a little bit more traceability. But are you aware of  
17 any State forensic laboratory that calculated measurement  
18 uncertainty for forensic breath alcohol analysis?

19 A Yes. Washington. I'm not sure the name of the  
20 laboratory. I believe it was the Washington State Laboratory.

21 Q Let me show you what I've marked as Exhibit F and ask  
22 you to identify that.

23 A Yes. This is an article published by Rod Gullberg  
24 titled "Estimating the Measurement Uncertainty in Forensic  
25 Breath Alcohol Analysis".

1 Q And when was that published?

2 A 2006.

3 Q Are you familiar with that article?

4 A Yes.

5 Q Does it comport with generally accepted scientific  
6 principles?

7 A Yes.

8 Q And was Mr. Gullberg able to calculate uncertainty  
9 measurement in connection with breath alcohol analysis in the  
10 State of Washington using DataMaster devices?

11 A Yes.

12 MR. SLEIGH: Your Honor, we'd move the admission of  
13 Defendant's F.

14 MR. NAGURNEY: Your Honor, at this point I guess I  
15 would object on foundation for the admission, just because I  
16 don't know if we're doing an apples to apples comparison. We  
17 have no evidence on the record about the State of Washington,  
18 their program, what their regulations look like, what their  
19 statutory scheme looks like, if at all. I had understood they  
20 used a completely different method. We find that 1203D is at  
21 issue here.

22 THE COURT: Mr. Sleigh?

23 MR. SLEIGH: Again, Your Honor, the proposition, our  
24 argument is, that the Health Departments failed to  
25 scientifically prove that these machines need to perform its

1 criteria. And the predicate of that argument, or the gravamen,  
2 is that they fail to comply with basic scientific requirements  
3 that include traceability and uncertainty.

4 One of their arguments might be that nobody's ever  
5 done that before, it's impossible, it's too much to demand, and  
6 this is evidence that it's been done. It was done well before  
7 2011 and that it's at least within the realm of possibility.

8 THE COURT: So is your purpose, in other words the  
9 relevance of seeking to admit this, that testing has been done,  
10 can be done, incorporating uncertainty information, rather  
11 than --

12 MR. SLEIGH: It specifically --

13 THE COURT: -- excuse me -- rather than how it  
14 relates to a specific machine, either the one in Washington or  
15 this one.

16 MR. SLEIGH: Correct.

17 THE COURT: Okay. And did you want to say something?

18 MR. NAGURNEY: No.

19 THE COURT: Based on that, the Defendant's F is  
20 admitted.

21 (Defendant's Exhibit F received)

22 BY MR. SLEIGH:

23 Q Ms. Arvizu, are you -- or Arvizu, sorry -- I've been  
24 pronouncing it wrong for six months. Are you familiar with the  
25 National Research Council's report called "Strengthening

1 Forensic Science in the United States"?

2 A Yes. I've read it.

3 Q And are you aware that that was published in 2009?

4 A November, I think, yes.

5 Q What was the genesis of that publication?

6 A They were commissioned by Congress to investigate and  
7 assess the status of forensic science in the United States and  
8 make recommendations to Congress as to needed improvements.  
9 The country had been -- in previous decades been experiencing  
10 some very troubling instances in which forensic laboratories  
11 were found to be using test methods that were not  
12 scientifically valid and that actually, in some cases, as  
13 demonstrated by subsequent DNA testing, had convicted people of  
14 capital crimes of which they were innocent on the basis of  
15 flawed forensic testing. And I think that got the public's  
16 attention, got Congress's attention.

17 Q So Congress directed the National Research Council to  
18 review the forensic -- practice of forensic science in the  
19 United States?

20 A Yes. It was a several year study.

21 Q And did they make recommendations in 2009?

22 A They did. They published a book that you've referred  
23 to, the Strengthening title book.

24 Q And did they make recommendations specifically  
25 regarding traceability and incorporation of uncertainty

1 measurement?

2 A Uncertainty was very specifically addressed as in the  
3 report, including as a specific sample, dealing with breath  
4 alcohol testing and how important it was to make that -- to be  
5 able to scientifically make a valid comparison to a regulatory  
6 threshold.

7 MR. SLEIGH: Your Honor, we would move the admission  
8 of Exhibit O, which is a copy of the National Research Council  
9 Report. My understanding is that Mr. Nagurney does not have a  
10 copy of that. I thought he'd been provided one. So I  
11 certainly would not object to withholding a ruling on the offer  
12 until such time as he feels comfortable about it.

13 THE COURT: I'll defer ruling to give you a chance to  
14 look at it.

15 MR. NAGURNEY: Okay. It is a book, but okay.

16 THE WITNESS: I have it electronically. I can send  
17 it to you.

18 MR. SLEIGH: Your Honor, I would just point out that  
19 it is available online at the National Academy of Sciences  
20 website as a PDF document that can be downloaded free of  
21 charge.

22 THE COURT: All right. It is, however, being offered  
23 by the defense in this case, so rather than shift the burden to  
24 Mr. Nagurney to find it himself, it should be made available to  
25 him.

1 MR. SLEIGH: We'll do that, Your Honor.

2 BY MR. SLEIGH:

3 Q All right. I'd ask you to --

4 THE COURT: Let me just say if he prefers to look at  
5 it online, that's fine. You've got to do the leg work and not  
6 shift that burden to him.

7 MR. SLEIGH: I'll have it emailed to him here while  
8 we talk.

9 BY MR. SLEIGH:

10 Q I've asked you to answer the following question. Did  
11 the Department of -- did the State of Vermont determine that  
12 the DataMaster breath alcohol machines met the rules  
13 performance -- I mean precision and accuracy requirements in a  
14 reasonable scientific fashion; is that right?

15 A Yes.

16 Q And tell me what documents, besides the ones that we've  
17 looked at today already, that you reviewed in connection with  
18 that question.

19 A As you indicated, it's more than 1,000 pages of records  
20 were provided to me most recently. It includes certain --

21 THE COURT: Before you start in, would you restate  
22 the question that you put to her, please.

23 BY MR. SLEIGH:

24 Q I asked you, I guess it was two questions. I asked you  
25 (a) do the DataMaster devices meet the accuracy and precision

1 criteria set forth in the rule, which by necessity required you  
2 to ask whether that question was ever answered in a reasonably  
3 scientific way -- in a way that was reasonably scientific,  
4 right?

5 A Yes.

6 THE COURT: Try again, please.

7 BY MR. SLEIGH:

8 Q Okay. There's a rule that says these things have to  
9 meet certain precision and accuracy requirements, right?

10 A Yes.

11 Q And I asked you to determine, based on the record,  
12 whether the machines have been demonstrated to comply with  
13 those criteria.

14 A Yes.

15 Q All right. And you've looked at a lot of records to  
16 determine whether or not there's been a reasonable  
17 demonstration that the devices meet those performance criteria?

18 A Whether the demonstration was conducted in a  
19 scientifically acceptable manner.

20 Q And would lead to a scientifically reliable conclusion?

21 A Yes.

22 Q All right.

23 MR. SLEIGH: Is that better, Your Honor? Hearing no,  
24 I'll hope for the best.

25 THE COURT: Well, I was hoping for a very clear



1 statement of the question and now I've got fragments from the  
2 two of you, so let's try it again.

3 MR. SLEIGH: Okay.

4 BY MR. SLEIGH:

5 Q Can you conclude to a reasonable degree of scientific  
6 certainty that the DataMaster DMT devices meet the precision  
7 and accuracy requirements of the Rule?

8 A No.

9 THE COURT: Go ahead.

10 MR. SLEIGH: Okay.

11 BY MR. SLEIGH:

12 Q Now, in the course of attempting to determine whether  
13 they met the criteria or not, you've reviewed a lot of  
14 material; is that right?

15 A Yes.

16 Q Can you describe to the court what it is that you've  
17 reviewed.

18 A Included what are called bench notes from the  
19 laboratory for their preparation of simulator solutions and  
20 copies of the log books used in the laboratory. It included  
21 what are called certificates of analysis produced by the  
22 laboratory and relied upon by the lab.

23 It included dozens of sets of GC data for each of the  
24 solutions that were used to test the instrument. So each of  
25 those are described by -- for example, there's a set of these

1 GC packages for the simulator solutions. There's a set of them  
2 for the interference check solutions. There's sets of these GC  
3 data that purport to demonstrate the acceptability of those  
4 solutions for use in evaluating the performance of the  
5 instruments.

6 Q Okay.

7 MR. SLEIGH: Your Honor, it might be useful to have a  
8 discussion with the court and Mr. Nagurney and I about exactly  
9 how the Court wants to receive these supporting documents. We  
10 have them in several different formats. They're voluminous. I  
11 think each of us have them in different electronic files and  
12 literally I think a three second or maybe three minute  
13 conversation might reach some consensus that would be helpful  
14 to the court and allow this to proceed relatively quickly.

15 THE COURT: Have you discussed exactly what it is  
16 that you're going to submit to offer?

17 MR. SLEIGH: I think Mr. Nagurney and I probably need  
18 two minutes to just look in the boxes so we can tell you -- we  
19 can come up with names for these things. I mean, really, we  
20 have to come up with a title so you'll know what they are.

21 THE COURT: Okay. So one of the issues that you need  
22 to both review what they are, what they're named, and in what  
23 form they'll come to the Court?

24 MR. SLEIGH: Right.

25 THE COURT: That would be useful. All right, we'll

1 take a short break.

2 MR. SLEIGH: Thank you.

3 (Recess at 11:15 a.m., recommencing at 11:26 a.m.)

4 THE BAILIFF: All rise.

5 THE COURT: Please be seated.

6 MR. SLEIGH: So we have a group of documents that are  
7 best characterized as the Redeployment Certification Record for  
8 each of the machines in question in this motion. I've marked  
9 them together as Defendant's P, as in Paul. I'd be happy to  
10 subdivide them, but each has a machine number on it so you  
11 could refer to it as P104009 if you had to discriminate, but I  
12 don't think that that's likely to be necessary. And Mr.  
13 Nagurney, as I understand it, has no objection to the  
14 admissions of P.

15 THE COURT: You're in agreement?

16 MR. NAGURNEY: Yeah, that's fine, Your Honor.

17 THE COURT: So it looks as though there are several  
18 different components. They're all P. Let's have a number of  
19 how many different paperclips there are.

20 MR. SLEIGH: Ten.

21 THE COURT: Defendant's P is admitted.

22 (Defendant's Exhibit P received)

23 MR. SLEIGH: Excuse me, 11.

24 MR. NAGURNEY: Your Honor, (indiscernible) to make  
25 the record, maybe, by having two of us at the same time, but

1 just I guess as a way of explaining the significance of P and  
2 why there are 11 documents, each of the discreet documents in  
3 Exhibit P relates to a DataMaster that's involved in this  
4 particular bundle of cases that you're hearing today. And  
5 they're differentiated by a six digit serial number assigned to  
6 each. And that serial number is actually printed on the  
7 evidentiary ticket that the DataMaster produces in the  
8 completion of the tests. So there is a way to reference the  
9 test results with the testing that was done by the lab before  
10 the test result was given.

11 THE COURT: All right. So Defendant's P, consisting  
12 of 11 batches, papers, all collectively as Defendant's P is  
13 admitted.

14 (Defendant's Exhibit P, 11 documents collectively,  
15 received)

16 MR. SLEIGH: Thank you. And then, Your Honor, what  
17 is, I think, best referred to as the quality assurance  
18 documents --

19 THE WITNESS: Quality control.

20 MR. SLEIGH: Quality control documents, which  
21 consists of almost 1800 pages if it was to be printed out,  
22 we've marked as Defendant's Q. It's a CD Rom disc that was  
23 provided by the Vermont Department of Public Safety in  
24 connection with this litigation. And it will have all those  
25 documents on it.

1           If for whatever reason the court prefers paper  
2 documents, I have them in a box.

3           THE COURT: CD's fine.

4           MR. SLEIGH: All right. So understanding that Mr.  
5 Nagurney had no objection, we'd offer Defendant's Q.

6           MR. NAGURNEY: That's fine, Your Honor.

7           THE COURT: Defendant's Q is admitted.

8           (Defendant's Exhibit Q received)

9 BY MR. SLEIGH:

10          Q All right. So let's get to the heart of things here.  
11 If you, as a scientist, were to generate a traceable  
12 measurement, what would you do?

13          A I would be working in an accredited laboratory because  
14 accreditation is one of the means of demonstrating competence.  
15 You would have a written procedure that describes the  
16 performance of that measurement. And that procedure would have  
17 been reviewed and approved and adhered to by the people who  
18 make those measurements. And it's a very detailed procedure.  
19 It describes the equipment that is used, the instrumentation  
20 that's used, the performance specifications of the measurement  
21 process, all the criteria that have to be met in order to  
22 generate an acceptable result. And it would have been in  
23 effect at the time the measurement was made.

24          That procedure would describe, as I said, the kinds  
25 of equipment and instrumentation that are used. For example,

1 it will also describe the reference materials that are used.  
2 Reference materials in this application are solutions of known  
3 and documented quality that are traceable to NIST. And it  
4 would actually specify that as a prerequisite of performing the  
5 measurement that you use this specific reference material.

6 So I would have purchased that standard solution from  
7 an accredited reference material provider. They would have  
8 provided a Certificate of Analysis that provides the  
9 uncertainty for that solution. That would be part of the  
10 record trail for this traceable measurement.

11 If I used an analytical balance to weigh out one of  
12 the components for a control sample, for example, that means  
13 weighing out a standard solution of ethanol. You start with  
14 absolute ethanol.

15 There would be a Certificate of Analysis for that  
16 solution of ethanol. And I would document contemporaneously at  
17 the time I prepared the solution that this was the lot number  
18 of the material that I used to prepare my control sample.

19 I would document the device that was used. If I made  
20 the measurement on an analytical balance, I would document it  
21 was this serial number of analytical balance that I used to  
22 weigh out this control material.

23 I would document the temperature at which the  
24 solution was prepared. I would document the serial number of  
25 the thermometer that I used to make the temperature

1 measurement.

2           The reason I'm writing all these things down and  
3 documenting all these things down is because those are all  
4 components to the uncertainty, so that I can then go look up  
5 the accreditation calibration, the accrediting agency's  
6 calibration records for that balance that I used, and see what  
7 its tolerance was. And I can go to the laboratory and I can  
8 look up the calibration verification check that was done the  
9 day before I prepared that solution or that morning that I  
10 prepared that solution to make sure it was operating within  
11 tolerance at the time that that measurement was made. That  
12 means that I can then use that uncertainty to compute my final  
13 result.

14           If I use a pipette, an automatic pipette, to deliver  
15 a known volume of solution, I would have used a pipette that  
16 within the last year had been sent to an accredited provider of  
17 calibration services and they would have provided a certificate  
18 of performance for that pipette. I would have that in my  
19 repertoire.

20           I would have checked the performance of that pipette  
21 before I used it to verify that it was operating within  
22 tolerance and those records would be available.

23           And if all those contemporaneous records are  
24 available, then all the components necessary to show  
25 traceability are available.

1           So at the time I'm preparing the solution, either  
2 with a balance or with pipettes, I have Class A volumetric  
3 flasks. Most typically, procedures require the use of Class A  
4 volumetrics. That way you don't have to document it every time  
5 you make a solution.

6           I would use a Class A volumetric and be able to look  
7 up the tolerance of that flask to know the uncertainty  
8 associated with preparation of a solution using that particular  
9 piece of equipment.

10           The traceability means essentially every time you're  
11 touching a piece of equipment or a device or a solution that  
12 has the ability to impact the final quality of the measurement,  
13 it's documented that it's -- the uncertainty of that  
14 measurement has been calibrated and determined and documented  
15 and that it's been verified prior to use.

16           So there's a great deal of paperwork associated with  
17 all this.

18           If there's a solution that I prepared in house in my  
19 laboratory, rather than purchasing it from an accredited  
20 supplier, then the burden of proof is essentially on me to  
21 verify that that solution is appropriate before I use it as a  
22 control solution. That is, I can prepare it using a calibrated  
23 balance and Class A volumetric glassware and have all that  
24 written down, but once it's prepared, I have to go that extra  
25 step and verify its concentration before I use it for control



1 purposes. So there's a whole set of paperwork associated with  
2 that verification process.

3 I hope it's leading to some sense of the literally  
4 voluminous amounts of paperwork that this takes. As I said  
5 earlier, the difficulty isn't in making the measurement. The  
6 difficulty is putting in place the systems to systematically  
7 generate, collect, maintain, all these records on an ongoing  
8 basis. Because once I make a measurement, I'm not having to go  
9 back and try to recreate this stuff after the fact. If I've  
10 got the systems in place so every time I purchase a pipette or  
11 a balance or a reference material, if my processes already  
12 require that I collect that Certificate of Analysis and it's  
13 uniquely identified and it's retrievable and the information's  
14 captured, I don't have to do this every time I make a  
15 measurement. They're all there, they all exist. And they can  
16 be produced to show the basis for my uncertainty determination  
17 and to show the traceability of my measurement.

18 Q Now, these requirements that you're talking about,  
19 they're not idiosyncratic requirements that you've created  
20 yourself; is that right?

21 A No.

22 Q They are part of the ISO 1725 requirements?

23 A And subordinate documents, yes.

24 Q And those requirements have been well known to the  
25 scientific community prior to 2010?

1           A Well prior. As I said, the requirement for  
2 traceability has been part of ASCLD lab for more than a decade  
3 that I have current records for and probably much longer than  
4 that.

5           Q So if in 2010 or 2011 you were trying to determine  
6 whether a given device met a certain precision and accuracy  
7 criteria, would you test that device in compliance with 1725 if  
8 you were a reasonable scientist?

9           A Yes.

10          Q And is that a requirement or a set of requirements,  
11 again, that is generally understood in the forensic scientific  
12 community?

13          A Generally understood in the forensic community,  
14 certainly for many years. Generally understood scientifically  
15 for many years. The concept of traceability is not new.  
16 Probably in terms of understand it at a very lay level, when we  
17 encounter a problem in the food supply in this country and have  
18 an E.coli contamination of hamburger, that's lot control,  
19 that's traceable. So they know what was prepared which day  
20 using which equipment and where that ground beef got sent to  
21 which states and which grocery stores. So it can be traced and  
22 it can be recalled off the product shelves. That's done with  
23 very similar kinds of documentations of lot control and which  
24 piece of equipment and which materials were used in  
25 preparation.

1           So the concept is not anything new or anything unique  
2 to forensics.

3           Q Now, let me just -- we're starting the edge of some  
4 sort of specifics here, but you said that one of the things  
5 that you would require would be this written procedure. Would  
6 that be a sort of standard operating procedure manual?

7           A That is exactly what it is, standard operating  
8 procedures that prescribe precisely what equipment is used,  
9 what instrumentation is used, what reference materials are  
10 used, and the manner in which they are used to generate a  
11 result.

12          Q And you said that the reference materials should be  
13 obtained from a certified reference material provider, is  
14 that --

15          A It's actually an accredited reference material  
16 provider, yes, who provides the Certificate of Analysis with an  
17 associated uncertainty.

18          Q Are you aware of an outfit called Goth or Guth  
19 Laboratories?

20          A Yes.

21          Q Do they purport to be an accredited reference provider?

22          A No.

23          Q But do they provide reference materials to  
24 laboratories?

25          A They do. There are a large number of suppliers in this

1 country who produce materials used as reference materials who  
2 are not accredited as a reference material provider. It's very  
3 much a situation where that, under quality standards, needs to  
4 be written into your quality manual as a policy that you  
5 purchase these things from accredited suppliers, because there  
6 are a lot out there who do not meet those standards.

7 Q Do you have some familiarity with the Guth; do you know  
8 who they are?

9 A I do. And in this case I reviewed their Certificate of  
10 Analysis that they had provided.

11 Q And did you find deficiencies in that certificate?

12 A Yes. It does not contain anywhere close to the  
13 necessary information that's provided by an accredited supplier  
14 of reference materials.

15 Q And I think you said that if you were doing this  
16 traceable measurement, you'd be working at an accredited lab;  
17 is that right?

18 A Yes.

19 Q Are you aware that neither the Department of Health nor  
20 the Department of Public Safety Breath Alcohol Program has ever  
21 been accredited by any agency?

22 A That's my understanding.

23 Q Is it your understanding that at the time of these  
24 redeployment certifications on the machines that the Department  
25 of Public Safety, the Department of Public Health, did not have

1 a standard operating procedures manual?

2 A That would be a very troubling status.

3 MR. SLEIGH: Your Honor, I want to move to an area  
4 where I need to set up my projector for some slides. I'd be  
5 perfectly willing to come back early. I think I probably have  
6 another 45 minutes, half hour, 45 minutes, with the witness.

7 I think we'll probably be able to get done today.  
8 But if we could adjourn a little early so I could get my screen  
9 and stuff up here, that'd be great.

10 THE COURT: I am going to need to take up some other  
11 matters at 1 o'clock, some out of county and in county  
12 arraignments.

13 So we won't be able to start right at 1:00. We'll be  
14 starting as soon as that's ready.

15 MR. SLEIGH: Will we have access to this room?

16 THE COURT: Yes, we can do that. We can do the  
17 arraignments --

18 MR. SLEIGH: That's okay, because then I can get  
19 everything set up while that's going on.

20 THE COURT: Right. That's fine. You can do that.  
21 So even with that, even with -- my question is, is there  
22 anything else useful we can do for 15 minutes so that you could  
23 set up during the noon hour?

24 MR. SLEIGH: I'm really at a spot where I have to  
25 display stuff if it's going to make any sense.

1 THE COURT: Okay. All right. We'll break for lunch  
2 now, then, and start as soon after 1 o'clock as we can, but  
3 it's not going to be before 1:20 or so.

4 MR. SLEIGH: We'll be ready to go. Thank you very  
5 much.

6 THE BAILIFF: All rise.

7 (Recess at 11:45 a.m., recommencing at 1:15 p.m.)

8 THE COURT: Mr. Sleigh?

9 MR. SLEIGH: Thank you.

10 BY MR. SLEIGH:

11 Q All right. Did the State of Vermont scientifically, in  
12 a reliable fashion, determine that the DataMaster DMT met the  
13 performance criteria of the rule?

14 A No.

15 Q And how did they fail in doing that?

16 A Because their measurements did not provide an  
17 uncertainty and were not traceable. So compliance could not be  
18 demonstrated.

19 Q All right. So can you show us some specific examples  
20 of how they failed both to abide my traceability requirements  
21 and to take into consideration the requisite on certainty  
22 measurements.

23 A Yes.

24 Q Okay.

25 A May I?

1 Q Sure.

2 MR. SLEIGH: First of all, Your Honor, what's  
3 displayed is Exhibit R.

4 BY MR. SLEIGH:

5 Q Could you identify what R is? You have the hard copy  
6 there.

7 A Yes. This is an example of a Certificate of Analysis  
8 prepared by Cerilliant, which is an accredited -- an ISO  
9 accredited supplier of reference materials. And I think I  
10 actually even mentioned it earlier in my testimony, Cerilliant  
11 was such a provider.

12 And it provides the kind of information necessary to  
13 support the traceability of measurements and describes a level  
14 of detail that is far in excess of that provided locally by  
15 certificates.

16 MR. SLEIGH: Your Honor, we'd move the admission of  
17 Defendant's R.

18 MR. NAGURNEY: No objection.

19 THE COURT: Defendant's R is admitted.

20 (Defendant's Exhibit R received)

21 MR. SLEIGH: And with your permission, Your Honor, if  
22 the witness could approach the screen, I have some questions  
23 that would allow her to point out why this is an adequate  
24 certification document.

25 THE COURT: That's fine.

1 BY MR. SLEIGH:

2 Q And I caution you, I've got cords all over the place,  
3 so be careful.

4 THE COURT: And if either of you attorneys need to  
5 move your seat, you're welcome to do so.

6 MR. NAGURNEY: Okay, thank you.

7 BY MR. SLEIGH:

8 Q All right. So can you point out the critical aspects  
9 of this Certificate of Analysis which makes it scientifically  
10 reliable and traceable and provides for uncertainty measurement  
11 disclosure.

12 A This Certificate of Analysis is described as -- for a  
13 certified reference standard that is NIST traceable. It was  
14 prepared by Cerilliant. And as indicated here, the Cerilliant  
15 manufacturer is accredited to Isodine 34, which is specific to  
16 suppliers of reference materials. They're also accredited to  
17 ISO IEC 17025, which is for testing laboratories. So that's an  
18 indication that they have demonstrated compliance with both of  
19 those requirements, that is, preparation of the reference  
20 materials and testing of the prepared materials.

21 And as you scroll down you see a lot of information.  
22 There's unique identifier, a lot number that specifically  
23 identifies that particular lot of reference material, an  
24 expiration date with an indication as to how that expiration  
25 date was determined. It's not just an assigned number, it's



1 actually empirically determined expiration date has been  
2 established through real time stability studies and applies to  
3 the ampule store unopened at the recommended storage  
4 conditions. So it actually tells you that it needs to be  
5 refrigerated, it should not be frozen. That essentially means  
6 that you have to be able to demonstrate that this solution was  
7 stored under refrigeration, and not allowed to freeze during  
8 its entire shelf life, which in this case goes to 2014.

9 In the ampules, these solutions are stable for a very  
10 long time and can be used, and their expiration date has been  
11 for a very long time. But as you notice, right here, it says

12 "The standard should be used immediately after  
13 opening to avoid concentration changes due to  
14 evaporation."

15 That's an indication that once you -- it's a glass --  
16 actually a glass vile, it doesn't have a screw off cap or a pop  
17 off cap, it's a glass vile. You actually have to break the  
18 glass seal in order to open it. Once you open it, this tells  
19 you that it can only be used immediately after opening to  
20 ensure that it's of the expected concentration.

21 The practice in a lot of laboratories is to use it  
22 for a period of weeks. And if you will scroll down to the  
23 bottom of this page, it actually explains to you that that's  
24 possibly acceptable, as long as you conduct the necessary  
25 testing.

1           Okay. The warranty applies to ampules stored  
2 unopened and under the recommended storage condition. It does  
3 not extend a dissolution into which this product has been  
4 incorporated, establishing a shelf life of all such products is  
5 the responsibility of the user. So that puts the burden on the  
6 user for demonstrating that.

7           But what's really interesting about this certificate  
8 is all the information it provides about the necessary  
9 components for traceability. It says it was prepared and  
10 certified under the requirements of the ISO standard. It's an  
11 accredited organization.

12           They prepared this, what's called gravimetrically,  
13 which means that they weighed out the ethanol that they  
14 prepared the solution from using qualified balances, calibrated  
15 semi-annually. According to all these requirements, they use  
16 NIST traceable weights. It gives some descriptions about the  
17 environmental conditions and how each of the balances are  
18 calibrated, and it adds the fact that they verify the  
19 calibration of the balance prior to each use. I made reference  
20 to that earlier.

21           I've been using calibrated balance that I have an  
22 accreditation from the supplier. In addition, I would check it  
23 prior to use, and there would be records of that check using  
24 these traceable weights.

25           Weight tapes from the balance calibration are

1 included in the production batch record for the standard. So  
2 they, in their batch record, in their WAT (phonetic) analysis  
3 record, there are actually copies of those records. Weight  
4 stat stick is an explanation for how they are prepared and the  
5 concentration of the standard has been analytically verified  
6 against the new standard reference material. So even after  
7 they prepared it with all those controls, they then verified  
8 its concentration analytically.

9 So this is the kind of information that's included in  
10 a certificate of analysis for a traceable reference material.

11 We compare and contrast that with the next document.  
12 This -- you need to do something with this one.

13 Q Oh.

14 A Next -- no, this is Page 2 of that same one. Let's go  
15 to Page 3, sorry about that.

16 Q That's all right. Now --

17 MR. SLEIGH: Well, that's our -- our nomenclature,  
18 Your Honor.

19 THE COURT: Okay.

20 MR. SLEIGH: These things have been used in so many  
21 different -- so I have a group of documents of which I've put  
22 Exhibit S as in Sam. This is derived from Exhibit R, the  
23 quality documents that are on the CD. I'm unable, at this  
24 moment to list the pages of --

25 THE COURT: In Q?

1 MR. SLEIGH: Q, sorry, sorry.

2 THE COURT: Go ahead.

3 MR. SLEIGH: Q, R, S, so Q. But I've talked to Mr.  
4 Nagurney, we will provide the Q file identifiers after the  
5 hearing, but this group is S. They are already in evidence, so  
6 this is just marked for identification purposes. Would you  
7 like the hard copy, Your Honor?

8 THE COURT: Let me just ask Mr. Nagurney. Do you  
9 agree?

10 MR. NAGURNEY: Yes, Your Honor. I think those  
11 documents are all contained within Q. Sure, that's not an  
12 issue.

13 THE COURT: All right. So Defendant's S is admitted.  
14 I know it's a duplication, but it's in a different form, so.

15 (Defendant's Exhibit S received)

16 BY MR. SLEIGH:

17 Q So what do we see on the first page of Defendant's S?

18 A This is a certificate analysis that was provided by the  
19 Vermont laboratory in the large amount of material that was  
20 provided in an attempt to show traceability. And this is a  
21 certificate of analysis provided by Guth Laboratories for a  
22 reference solution that was used for the simulator.

23 If you could scroll down just a little bit.

24 Q Let me just ask a couple of questions. When you said  
25 the reference solution for the simulator, that's the solution

1 that the Health Department used in order to determine whether  
2 these machines met the precision and accuracy requirements; is  
3 that right?

4 A Yes. I would have to go see exactly what the  
5 (indiscernible) was used for, but yes.

6 Q Okay.

7 THE COURT: I'm still not clear what a simulator is.

8 THE WITNESS: Oh. A simulator is used --

9 MR. NAGURNEY: Your Honor, I guess at this point I  
10 would object only because that would be a foundational  
11 deficiency. I don't think Ms. Arvizu's given sufficient  
12 testimony for the Court to conclude she would have the basis to  
13 testify about composition or the construction of the DataMaster  
14 DMT.

15 THE COURT: I didn't hear everything you said, so --

16 MR. NAGURNEY: I'm sorry. I couldn't hear you  
17 through the microphones.

18 THE COURT: Your voice just dropped down towards the  
19 end of the sentence.

20 MR. NAGURNEY: Sure. Your Honor, I don't know that  
21 Ms. Arvizu -- I mean given the limited qualification of her  
22 expertise, which is in the lab procedural area, I don't know  
23 that she would have the foundation to testify about what a  
24 simulator is or how it's applied in this context. She hasn't,  
25 certainly, made any foundation through Attorney Sleigh that

1 would be sufficient for you to conclude that she would have a  
2 basis for expertise on that testimony.

3 THE COURT: The objection's sustained. You can ask  
4 her foundation questions if she knows what a simulator is.

5 MR. SLEIGH: Sure.

6 BY MR. SLEIGH:

7 Q This says it's a certified alcohol reference solution  
8 for a simulator, right?

9 A Yes.

10 Q What does that mean?

11 A This is a certificate of analysis for container of  
12 aqueous solution with a known concentration of alcohol, that  
13 was prepared by Guth Laboratories, and that they provide as a  
14 vendor to the users of their products and services. This  
15 particular one is provided as a solution to be introduced in a  
16 simulator for a breath alcohol instrument.

17 Q Okay. What is a simulator for a breath alcohol  
18 instrument?

19 A I don't claim to be an expert in the breath alcohol  
20 instrument, but the simulator is a device that introduces known  
21 material to the breath alcohol instrument, and so what's  
22 relevant is whether or not this solution is of the stated  
23 concentration.

24 Q Okay. So we were examining this particular certificate  
25 of analysis in contrast to that of the one that we looked at,

1 produced by Cerilliant.

2 A Yes. They did assign a lot number, and it -- that is  
3 key. Every single discreet solution must have a completely  
4 unique identifying lot number associated with it, as a  
5 prerequisite. They indicate that in this case, you will see  
6 that they prepared the solution, they analyzed it by GC and got  
7 a concentration.

8 Now compare and contrast that with the previous reference  
9 material supplier, their analysis was to verify the preparation  
10 conditions for their solution, to verify the acceptability.

11 In this case, they did not even attempt to address  
12 the preparation of the material, they simply did the  
13 verification step. So all the uncertainty components  
14 associated with the preparation, the balance calibration and  
15 verification of the weights, and all that, was not even part of  
16 their process.

17 Also very importantly, they report a concentration  
18 without an uncertainty. By definition, a reference solution, a  
19 reference material without an uncertainty cannot serve as a  
20 reference material. They don't have one. They do assign a lot  
21 number.

22 Q Okay.

23 A Okay? The next document --

24 Q And this is still part of Defendant's S?

25 A It's the next page of that same exhibit. This is

1 prepared by the Vermont Laboratory, again, it's titled  
2 "Certificate of Analysis of the DataMaster Calibration  
3 Solution." This is a calibration solution prepared in the  
4 laboratory for use outside the laboratory. And this purports  
5 to be a certificate analysis, but again, it doesn't even come  
6 close to containing all the necessary material.

7 It does describe when it was prepared. It indicates  
8 that it was prepared per standard laboratory practice. That  
9 would require a very detailed procedure, describing  
10 procedurally exactly how that was prepared, using what  
11 equipment and what materials.

12 It was assigned a lot number, and it was assigned an  
13 expiration date. The interesting thing here is how long this  
14 expiration date is. This expiration date -- they prepared this  
15 material in May of 2011, and it's assigned an expiration date  
16 of May of 2012, that's a year. That's a long time for  
17 solutions prepared in-house. There would need to be actual in  
18 -- empirical testing studies to demonstrate that that was an  
19 acceptable shelf life, that it would stay within  
20 specifications, stored as prescribed for that whole length of  
21 time in order to support that.

22 Q As Cerilliant did?

23 A As was the case for Cerilliant, the reason that  
24 Cerilliant can get away with such a long hold time, if you  
25 will, or such a long shelf life, is because there's are very



1 tightly controlled, they're in sealed glass vials.

2 Q How was this sample sealed?

3 A These are not sealed, they're in bottles. They're  
4 prepared in bottles. That automatically imposes a much reduced  
5 shelf life on them.

6 They indicate that the solution was analyzed and the  
7 analysis of that solution was found in the -- and that required  
8 specs, so they indicate that the solution, in fact, was  
9 verified very similar to what Guth had done. There is no  
10 information on this certificate as to how the material was  
11 prepared, except per standard laboratory practice.

12 Q Is there any accounting for uncertainty in these  
13 measurements?

14 A There is not. As you can see, it gives here a  
15 concentration .100 grams per 210 liters simulated breath  
16 alcohol -- breath ethanol concentration, but no uncertainty is  
17 associated with it. As is always the case for a certified  
18 reference material, it must include an uncertainty.

19 And the next document.

20 Okay. This is actually -- I told -- remember what  
21 the title of this form is. Can you scroll up? There you go.  
22 Just a little bit.

23 It's titled "Simulator Solution Preparation Log," and  
24 a variety of different types of solutions are documented on  
25 this form. This is a form, sometimes referred to as a bench

1 form or a bench log, that represents a contemporaneous record  
2 of the preparation of solutions. And it identifies the lot  
3 number. I'm guessing that this is a date right here, in 2011.  
4 And it assigns a unique lot number to each and every prepared  
5 solution -- ah, there we go. Very good. Prepared on May the  
6 4th of 2011. They prepared a solution that they assigned that  
7 lot number to. So far, so good. All that's necessary  
8 information.

9           They indicate the total volume of the prepared  
10 solution and what the components of the solution are. They  
11 indicate what the target concentration -- and if I could show  
12 this second line here, they prepared five liters of an ethanol  
13 solution with a target concentration of 0.1. It indicates who  
14 made that solution, and then this later information, those last  
15 three columns are entered after the fact because those are the  
16 assay results. So this documents the preparation, and that  
17 documents the verification solution.

18           So what would be necessary if this was going to be  
19 traceable was that there would be a procedure describing this,  
20 and from this, I would essentially be able to identify all the  
21 components of uncertainty that would be associated with the  
22 preparation of a solution of this concentration.

23           Well, I can't tell that from this information. For  
24 one thing, I can't tell what the source of the ethanol was, the  
25 parent solution, the original source of the ethanol. I can't

1 tell where that was -- where that was purchased from, of what  
2 origin, of what purity. So I can't factor in its uncertainty.

3           They say that they prepared five liters of this  
4 solution. Volumetric glassware, and I've made several  
5 references earlier this morning to Class A volumetric  
6 glassware. That's glassware with very tight tolerance for  
7 preparing these kinds of standard solutions. They don't come  
8 that large. They're -- you typically are making them on the  
9 order of a liter or so. And they may have made multiple  
10 solutions, and from them, prepared one lot. They may have not  
11 made it according to volumetric tolerance, as they may not have  
12 used Class A glassware and Class A pipettes to prepare the  
13 solution; in which case, it can't be a reference material.

14           But in any case, it has to be documented, and none of  
15 that information is documented here. You can't -- you can't  
16 even re-compute, just to make sure they made the right  
17 concentration solution. What they should be telling you is I  
18 used this quantity of this concentration stock solution, and I  
19 diluted it to this volume to get a new solution of this  
20 concentration. Then I can go in stoichiometrically, which is  
21 basically chemistry for the recipe process, and figure out if  
22 they did it right, but you can't figure any of that out from  
23 this, none of it is documented.

24           This, these last three columns, are done after the  
25 fact, and yeah, there's dates here, May 25th and 6th and -- I

1 can't -- 03 525 -- oh, 5/20 -- May 25th and June 3rd, after the  
2 date of preparation, they went into the laboratory and did  
3 analytical work to presumably verify and get a result that they  
4 hope matches the original result. And we'll talk about that in  
5 a few minutes.

6 Q Okay.

7 A I should mention that those solutions --

8 You can go to the next one.

9 -- there's a whole series of these in the materials  
10 that we received. There's several, probably ten pages or so of  
11 these forms over a period of many months. The solutions that  
12 are prepared and documented in this manner are for a variety of  
13 intended uses. Here, for example, interference solutions,  
14 calibration solutions. So it's a general solution prep log,  
15 it's not just for one intended purpose, but they also have the  
16 same problem in terms of not documenting the traceability. If  
17 they did them gravimetrically, they would have to identify the  
18 balance that was used. If they used volumetric ware, they  
19 would have to identify that equipment.

20 So we can go to the next document, and I don't  
21 remember how far these go, yeah.

22 There's a whole bunch more, interference solutions  
23 and calibration solutions.

24 Q Again, with the same deficiencies as to all of them?

25 A Always the same deficiencies, never -- you never know

1 where their source was, where the parent was, the original  
2 source of any of those materials. By definition, those cannot  
3 be traceable.

4 Q Okay.

5 A Okay, next. Let's -- I don't -- I'm trying to  
6 remember, but let's go to Document 10, because there's several  
7 pages and they all suffer the same problem. No, one more.  
8 Keep going.

9 UNIDENTIFIED SPEAKER: This is 10.

10 THE WITNESS: Oh, that's 10? Okay, can we go to  
11 next --

12 UNIDENTIFIED SPEAKER: Group?

13 THE WITNESS: Next --

14 UNIDENTIFIED SPEAKER: Yeah.

15 THE WITNESS: -- letter? Next document.

16 MR. SLEIGH: All right, hold on. I've got to make a  
17 record here.

18 THE WITNESS: We'll find it while you're making a  
19 record.

20 MR. SLEIGH: It's the next group of documents, I  
21 believe are contained in what's been marked as Exhibit T.

22 THE WITNESS: Oh, you know what, this whole exhibit  
23 is just more pages, more sequence of time of the same issue.

24 MR. SLEIGH: Okay.

25 THE WITNESS: So go to the 30 there.

1 (Counsel confer)

2 MR. SLEIGH: Your Honor, Exhibit T, I'll have the  
3 witness identify, but again, these are extracts from Q.

4 THE COURT: Any objection to T?

5 MR. NAGURNEY: No, Your Honor.

6 THE COURT: Then it's -- T is admitted.

7 (Defendant's Exhibit T received)

8 THE WITNESS: T starts with some more pages of the  
9 simulator prep log, but a few pages into it, and that's what we  
10 just finished. Yeah, that's all -- that's all simulator prep  
11 log.

12 Then the next one --

13 BY MR. SLEIGH:

14 Q All right. So I'm going to show you what's been marked  
15 as U, which is another extraction from the -- from Q. Can you  
16 just identify that for me?

17 A This is a set of documents that starts with a headspace  
18 alcohol analysis standards logbook pages, and there are several  
19 -- several -- the whole things consists of those forms  
20 completed.

21 Q Okay. Thank you. How many pages does this take, I  
22 mean U?

23 A It is 7 pages long.

24 Q Well, you're the last one left.

25 MR. SLEIGH: Any objection to that?

1 MR. NAGURNEY: It came from Q, right?

2 MR. SLEIGH: Yeah.

3 MR. NAGURNEY: Why not? Sure. No.

4 MR. SLEIGH: Thank you.

5 THE COURT: Defendant's U --

6 MR. SLEIGH: Your Honor, I'm going to move the  
7 admission of Exhibit U.

8 THE COURT: Defendant's U is admitted.

9 (Defendant's Exhibit U received)

10 BY MR. SLEIGH:

11 Q So what is this?

12 A Well, you will remember the previous log book recorded  
13 the preparation of simulator solutions, and those three columns  
14 on the right talked about GC verification of those solutions.  
15 These documents describe the preparation of the standard  
16 solutions that were used to calibrate the GC instrument. And  
17 so again, these are solutions that contribute to the overall  
18 measurement uncertainty of the concentration of that solution.

19 In this case, the laboratory documents when the  
20 solutions were prepared and who prepared them, they assign a  
21 lot number, they assign the same lot number to all four of  
22 these solutions, Standards A through D; and they assign an  
23 expiration date. In this case, three months from the date of  
24 preparation here to the expiration date there.

25 And I should just mention that this actually is a

1 good recordkeeping practice. You should never, ever obliterate  
2 records, you should simply line them out and enter who made  
3 that change. It's not legible on the screen, but probably on  
4 the original document.

5           Okay. In this case, it is apparent from the record,  
6 that these standards were prepared, what's called  
7 gravimetrically. That is, the same way that they did it at  
8 Cerilliant, in general. They weighed out a quantity of  
9 ethanol, and then diluted it to volume to make standards with  
10 these different concentrations, and these represent the weighed  
11 values. But again, you can't tell what they're weighing, what  
12 the origin and purity and traceability of the material that  
13 they were weighing on January 18th of 2011. You can't rely on  
14 anybody's memory, it has to be documented at the time the work  
15 was done.

16           In addition, it does not document what balance, what  
17 analytical balance or scale those measurements were made on, so  
18 you can't demonstrate and include the uncertainty components  
19 from that unit. So that information is just missing here.

20           In this case, the laboratory appears to have just  
21 applied a standard plus or minus ten percent in terms of what  
22 they assign as the acceptance limits, rather than an  
23 empirically determined number.

24           Q And again, is there any expression of uncertainty  
25 measurement in any of these calculations?



1           A There is not. They compute a percentage of ethanol and  
2 express it as an absolute number, rather than as is necessary  
3 for a reference material, a number plus or minus, and a  
4 certainty at a given time.

5           Okay. You can scroll down or -- that's okay. Go to  
6 the next document, that will work.

7           There's a whole series of these documents that  
8 describe the solutions that were tested in the GC data, that  
9 represented the majority of the 1800 pages that I received.

10          Q And just to make it clear, the GC testing was done to  
11 do what?

12          A The GC testing was done to verify the concentration of  
13 the solutions that were used -- that were described in the  
14 simulator preparation log.

15          Q All right.

16          A They did GC testing of the calibration solution and of  
17 the other solutions. So always, without belaboring a point,  
18 it's simply not possible to reconstruct and identify the  
19 relevant uncertainty components because the information is  
20 simply not documented, and the results are reported without an  
21 uncertainty, just as a discreet number is.

22          Q Do you need to scroll down here? Is there anything on  
23 the bottom.

24          A I -- I just --

25                THE COURT: I need to back up. I -- I'm not yet

1 fully familiar with all the terms that are being used. Could  
2 you review again what the GT -- GC testing was done to verify?

3 THE WITNESS: Yes. They went into the laboratory,  
4 and in that first set of documents, described preparation of a  
5 series of different simulator solutions. Those are the  
6 simulator solutions that are introduced to the breath testing  
7 instrument. Those are essentially the known concentration  
8 solutions that are used on the breath testing instrument.

9 In order to determine whether those solutions were  
10 appropriate for that use, and to attempt to verify those  
11 solutions, they took those solutions into the laboratory and  
12 tested them, using a GC, or a Gas Chromatograph technique, an  
13 analytical technique. So the GC testing was not -- was done  
14 purely as verification of prepared solutions.

15 THE COURT: And I thought I heard you say  
16 verifications of -- and then you described two different  
17 things, not just one. You just now talked about the simulator,  
18 but I thought you described two different kinds of solutions.

19 MR. SLEIGH: You're talking calibration and  
20 interference.

21 THE WITNESS: Oh, there were several --

22 THE COURT: No, that wasn't it.

23 MR. SLEIGH: No?

24 THE COURT: But if that's what this is, that's fine,  
25 we'll go on.

1 THE WITNESS: Okay.

2 These calibration solutions on this page are -- don't  
3 have anything directly to do with the breath testing  
4 instrument. These are the solutions that they used to  
5 calibrate their GC. So this is an indication that they used an  
6 untraceable GC measurement to attempt to verify an untraceable  
7 simulator solution.

8 BY MR. SLEIGH:

9 Q All right. So let me -- maybe we can help a little bit  
10 with the picture here of this regression.

11 THE COURT: Are the two different solutions the  
12 simulator solution and the calibration solutions?

13 THE WITNESS: Can we go back to one of those  
14 simulator pages, simulator simulation pages?

15 There's a series of different simulator solutions.  
16 And some of them are described as calibration solutions, but  
17 they're talking about calibrating the breath instrument.

18 BY MR. SLEIGH:

19 Q So there are two -- let me ask --

20 A That's a good one, that's good.

21 Q -- a question and maybe we can shed some light on this.  
22 This is where it gets regressive. There's a simulator solution  
23 that's used in the devices, that's the known, right?

24 A Yes.

25 Q So that's used to see if the machine is correctly

1 reading a known sample?

2 A Yes.

3 MR. NAGURNEY: Judge, again I have an objection to  
4 the foundation, this expert's expertise. He's asking an expert  
5 that he hasn't qualified as an expert in the DataMaster DMT,  
6 questions specific to the function of that very instrument.

7 THE COURT: Mr. Sleigh?

8 MR. SLEIGH: Well, she had a particular charge here,  
9 which was to determine whether the testing of the DataMaster  
10 machine was adequate to prove that it met the precision and  
11 accuracy criteria. She has testified extensively that she  
12 knows what that -- those criteria are. She knows, as a  
13 scientist, to test the accuracy and precision, you have to  
14 introduce a known sample with a certain degree of uncertainty  
15 measurement, and see if the machine properly analyzes that  
16 known sample. So that's one simulator solution.

17 So she's said so far, is that simulator solution, the  
18 one that's in the machine, was prepared by the lab, that -- and  
19 that's what we've been looking at, that that simulator solution  
20 was, itself, analyzed to see if it met the known criteria by  
21 the gas chromatograph, and that there were even subsequent  
22 tests, regressive tests, to determine if the gas chromatograph  
23 was working correctly.

24 So, I mean I think she's laid this out abundantly  
25 clearly. Now there is some confusion because there's

1 calibration going on here at different levels, so you can  
2 prepare a calibration solution that's used to calibrate the gas  
3 chromatograph --

4 THE COURT: I think you're addressing the basis of  
5 the objection.

6 MR. SLEIGH: Well, what I'm saying is that she -- her  
7 testimony has revealed extensive knowledge about how this  
8 entire process works, vis-à-vis the DataMaster, and so I think  
9 she's exhibited not just a minimal foundational level of  
10 knowledge, but pretty particularized knowledge.

11 THE COURT: Mr. Nagurney?

12 MR. NAGURNEY: Your Honor, I think there's a  
13 significant difference between testifying about the sufficiency  
14 of the reference materials that we use for testing, and the  
15 application of those reference materials, both in the testing  
16 and an instrument, itself.

17 And she can certainly say that certain components of  
18 the testing she's reviewed are insufficient, based on her  
19 estimation as an expert in laboratory quality analysis; but to  
20 the extent that she's going to get into an opinion that focuses  
21 on the function and the design of the DataMaster instrument,  
22 and it would necessarily implicate that she had a greater  
23 understanding about the function and use of the simulator  
24 solution is simply whether it met her standard for measurement  
25 uncertainty, I think is an entirely different area, where you

1 still have a foundation for her expertise.

2 And I didn't voir dire on that area, but I would  
3 wager that she likely couldn't lay one from what I --

4 THE COURT: Well, that does not appear to be the  
5 function of her testimony. So your objection's overruled.

6 MR. NAGURNEY: Thank you.

7 BY MR. SLEIGH:

8 Q Okay. So we've got this kind of series of solution  
9 testing, right, you've mapped that out? All right. So why  
10 don't you tell --

11 THE COURT: Well, you all -- you have because you  
12 know it, so --

13 MR. SLEIGH: Okay. Well, please, if there's any --  
14 obviously --

15 THE COURT: You were in the middle of giving an --  
16 eliciting from the witness an explanation. The objection came  
17 and it kind of interrupted that explanation --

18 MR. SLEIGH: All right.

19 THE COURT: -- and I would like to go back and get  
20 it.

21 MR. SLEIGH: Okay.

22 BY MR. SLEIGH:

23 Q Let's start from the top, or bottom, depending on how  
24 you want to look at it.

25 A simulator solution is prepared, supposedly with the

1 known concentration to test the DataMaster's vitality, so to  
2 speak. Is that right?

3 A To calibrate and check, yes.

4 Q Right. The lab prepares that simulator solution?

5 A They do.

6 Q And we've looked at the documents regarding the  
7 creation of that simulator solution?

8 A Yes.

9 Q They created that -- they tested that simulator  
10 solution to see if it met, in their view, the appropriate  
11 criteria by using a gas chromatograph?

12 A Correct.

13 Q And then they tested the gas chromatograph, itself, to  
14 see if it was capable of performing the test, sort of the  
15 second level test?

16 A In the same manner, they have to calibrate and check  
17 the performance of the GC, just -- that's how we always do it  
18 in science, we prepare knowns to calibrate the device and to  
19 check its performance. So there are solutions to calibrate and  
20 solutions to check, both at the simulators level and at the GC  
21 level.

22 Q All right. And at each level, have you found  
23 deficiencies in the traceability of the information provided by  
24 the lab?

25 A Yes.

1 Q And at each level, have you found total absence of  
2 uncertainty measurements?

3 A Yes.

4 Q All right. So what are we looking at now? You've had  
5 Mr. Hatt go back, sort of to the beginning to maybe shed some  
6 light on this trail of results.

7 A This shows that they were a whole series of different  
8 solutions used to calibrate and check the performance of the  
9 simulator for a variety of different purposes. So we have them  
10 for calibration, calibration check, external standard,  
11 interference, with the same level of documentation exists for  
12 each of these.

13 Down here, I'm noticing -- notice here, it looks like  
14 they used, if I -- I can't read it on the screen, but it looks  
15 like it might have been 200 microliters or -- I can't really  
16 tell exactly, but --

17 So this was prepared volumetrically, this was  
18 prepared gravimetrically. So this was prepared by weighing out  
19 a quantity of ethanol and diluting it to volume; this was  
20 prepared by measuring out and delivering an aliquot of known  
21 volume of, in this case, methanol; but again, the balance that  
22 they used, or the equipment that they used here, is not  
23 documented, so I can't evaluate its uncertainty.

24 Q All right. So do you want to go back to the -- is it  
25 the third e-mail we were in, or the fourth?



1 A Yeah. I don't remember where we were. See if you can.

2 Okay. This is the preparation log, just like we had  
3 a prep log for preparing those calibrators and check solutions,  
4 in the simulator solutions, this one is in the GC lab, the  
5 instrument lab that runs gas chromatography, how they document  
6 preparation of their standards.

7 THE COURT: Just for the record, this is that you --

8 MR. SLEIGH: Right. Thank you.

9 BY MR. SLEIGH:

10 Q Okay. So what can you tell us about this?

11 A Well, it suffers those same problems. The balance that  
12 this used is not documented, so you cannot evaluate its  
13 calibration status and its uncertainty component, and the  
14 uncertainty is not determined. It purports to provide a  
15 concentration of reference material, but it doesn't provide its  
16 uncertainty. There's no temperature.

17 And those are just more of the same. And so I think  
18 probably we're down to the last one.

19 Q Okay.

20 MR. SLEIGH: I think this will be now what's marked  
21 as Exhibit V, as in Victory, another extraction from Q. We  
22 move the admission -- oh, I'll have her identify it first.

23 BY MR. SLEIGH:

24 Q Can you tell me what V is?

25 A A large volume of records that I received, consisted of

1 primarily sets of GC data, and these materials that we're going  
2 to see here, for each of the solutions, for each of the  
3 calibrator solutions and simulators.

4 Q So when you say each of the solutions, be very specific  
5 now, we're talking about the simulator solution --

6 A Correct.

7 Q -- and the GC solution, or what solutions?

8 A We're talking about the different kind of simulator  
9 solutions. Some of them used for calibration, some of them  
10 used for calibration of check, those solutions that were  
11 verified, those three columns on the right, verified by GC.  
12 There was a set of documents that we're going to be reviewing  
13 here, for each one of those solutions.

14 MR. SLEIGH: So we would move the admission of V,  
15 Your Honor.

16 MR. NAGURNEY: No objection.

17 THE COURT: Defendant's V is admitted.

18 (Defendant's Exhibit V received)

19 BY MR. SLEIGH:

20 Q All right. So go ahead. What is this showing -- first  
21 of all, what is it?

22 A This is a summary sheet that summarizes the results of  
23 GC testing that follows. The testing was performed on samples  
24 from this lot number of simulator solution.

25 If you went back and looked back at those simulator

1 forms that we looked at first, there would be one of them that  
2 would have this lot number, and it would be identified as a .10  
3 calibration solution. So this just describes the actual  
4 physical solution that they tested by GC, and when it -- and  
5 when that solution was prepared. So this is just repeating  
6 information from the previous documents.

7 This actually represents the results of two sets of  
8 GC tests that were done.

9 If you could scroll down on that solution?

10 And you could see that they computed an average, a  
11 standard deviation. These are basic summaries, they're  
12 descriptive statistics for these repeat runs of the -- that  
13 solution.

14 So we naturally get to the next page, and it will  
15 start to show what they did with this. This shows you that the  
16 output, remember, they had made five liters of this solution  
17 that they were testing, they essentially allocated that five  
18 liters into individual bottles, and these were the labels that  
19 they printed to identify those individual bottles to distribute  
20 them out throughout the State, presumably, for use as  
21 calibration solutions in the DataMaster instrument.

22 In each case, they identify the lot number of the  
23 solution, they identify a range which is set, not determined,  
24 it's just set at plus or minus ten percent, and then they  
25 describe the preparation and the expiration date.

1 Q So that range doesn't reflect a calculated uncertainly  
2 measure, does it?

3 A It absolutely does not. That was, when I was trying to  
4 make it, does not. In --

5 THE COURT: What range?

6 THE WITNESS: This range right here. When it  
7 provides a range, that is not the uncertainty associated with  
8 the result. They simply report the concentration as .10, and  
9 they give a range of .095 to .105. Presumably, that reflects  
10 an in-use range for the users of that solution, that that's  
11 what you want the results to be. It does not represent the  
12 uncertainty associated with this. There is no uncertainty  
13 associated with this.

14 BY MR. SLEIGH:

15 Q Okay. So you talked earlier about how uncertainty is  
16 calculated scientifically by referenced GUM, by examining data,  
17 by understanding the cumulative uncertainty from each aspect of  
18 the analytical process, right?

19 A Yes.

20 Q That range reflects no such calculation?

21 A It does not.

22 Q All right.

23 THE COURT: What does it reflect, if you know? You  
24 may not know.

25 THE WITNESS: It's assigned as a fixed percentage of

1 this theoretical number.

2 BY MR. SLEIGH:

3 Q So they just express plus or minus ten percent without  
4 -- again, there's no particularized uncertainty measurement  
5 going on there?

6 A Correct.

7 Q Right.

8 A Okay. If you go to the next document.

9 In order to run the GC instrument to analyze those --  
10 that .10 solution, they have to calibrate their instrument.  
11 This is instrument output from their GC, describing the  
12 calibration of that instrument.

13 And if you can scroll down. There we go, that's it.

14 You can tell that on May 24th, the morning of May  
15 24th, 2011, they ran a series of samples of different  
16 concentration. This is how they calibrate their GC, but as we  
17 saw on that (indiscernible) organic headspace form, that wasn't  
18 traceable either. They did it gravimetrically, but it's not  
19 traceable known uncertainty. They ran these solutions, they  
20 determined -- it's very difficult to see on this, but they ran  
21 a series of these solutions, and then determined the  
22 calibration curve for their GC instrument, and that was the  
23 basis for then subsequently testing all those simulator  
24 solutions. So they used untraceable calibration standards to  
25 teach the instrument to test simulator solutions that were also

1 untraceable.

2           And if you go to the next document, I think that's  
3 where we actually get to the GC data. No, this is the prep.

4           This is the cover sheet. They started it on May  
5 24th. We saw on the previous day, that's when they had --  
6 previous slide, that's where they actually calculated it.

7           They tell which calibration standards they used.  
8 They have a set that they prepared on April 12th of 2011.  
9 They're within -- they're sort of arbitrarily assigned  
10 expiration date. There are the four calibration standards that  
11 they used to teach the instrument.

12           And if you can scroll down.

13           Every time you run a GC, for the most part, it's run  
14 as a batch of samples. You calibrate the instrument and you  
15 run some opening QC to make sure it's operating in control, and  
16 then you run your unknown samples interspersed with control  
17 samples. So this is what's often referred to as a batch sheet,  
18 to describe the composition of that batch.

19           So their first vial was a blank; their second vial is  
20 what they call a timing mix. That's probably a resolution  
21 check sample to evaluate whether or not the GC instrument is  
22 effectively separating or resolving all the components that  
23 might be present in it; then they ran their four standards, and  
24 a whole blood control. When they actually started running the  
25 solutions, would have been after this. The -- what their

1 similar solutions that they're checking the concentration of.

2           So in this case, they're -- he missed it right in  
3 here, but there was a clinica sample that they used as their  
4 whole blood control, yeah, clinica sample. It's lot number is  
5 documented right there. And it has a target value and an  
6 acceptance range. Without going back and seeing the clinica  
7 certificate by the manufacturer, I can't tell whether they're  
8 using the manufacturer's supplied range or whether they tested  
9 it themselves and determined their own range.

10           Q Or arbitrarily assigned a range?

11           A Or arbitrarily assigned a range, which it looks like  
12 they may have done it, it's plus or minus ten percent, like  
13 everything else.

14           So now if you go to the next page. Now we're  
15 probably going to get to the GC results. Yep.

16           And this is the output from a gas chromatogram. It's  
17 probably well beyond the scope of this hearing to explain how  
18 this works, but this is essentially the instrument output that  
19 the laboratory is using quantitatively to verify what they  
20 refer to on their form as a GC assay, the results of their  
21 appropriation of their reference materials.

22           So the problem is that we have too many places in  
23 this process where the links aren't just broken, they're just  
24 missing in this unbroken chain of comparisons.

25           Q Okay. Thank you.

1 UNIDENTIFIED SPEAKER: Are you all set with this?

2 MR. SLEIGH: I have no -- yeah, but you should leave  
3 it on. Mr. Nagurney might want it. I'm not sure.

4 (Counsel confer)

5 BY MR. SLEIGH:

6 Q Let me show you just a portion of what previously was  
7 admitted as Defendant's P, as it refers to Machine Number  
8 103609. I'm just going to ask you if you've taken a look at  
9 that kind of report for each of the DataMaster machines  
10 involving this case?

11 A Yes.

12 Q And what did those proofs of documents purport to tell  
13 us about each of the machines?

14 A This is like the verification testing of these devices.  
15 They include linearity tests, interference checks, calibration  
16 checks.

17 Q Do you understand that the State has -- or experts for  
18 the State, have testified that these DataMaster machines meet  
19 the accuracy and precision requirements to the rule; is that  
20 right?

21 A Yes.

22 Q Looking at the verification reports in Defendant's P,  
23 and all the testing that you've reviewed in terms of these  
24 preparation of the simulator solutions, the calibration checks,  
25 and the calibration of GC, do you see any evidence of adequate



1 traceability that would lead you to conclude that these  
2 machines meet the precision requirements?

3 A No.

4 MR. SLEIGH: Your Honor, the Defense rests.

5 THE COURT: Mr. Nagurney?

6 MR. NAGURNEY: Thanks, Your Honor.

7 CROSS-EXAMINATION

8 BY MR. NAGURNEY:

9 Q So Ms. Arvizu, I know we've been discussing  
10 measurements, certainly to some extent obviously through  
11 testimony, but I do want to confirm that you've never worked  
12 with a DataMaster DMT instrument?

13 A I have not.

14 Q And you've never worked with or for an alcohol breath  
15 testing laboratory?

16 A No.

17 Q And you've never been here to Vermont and seen the  
18 Department of Health's laboratory or the Department of Public  
19 Safety's laboratory?

20 A No, I have not.

21 Q Have you reviewed any documents those laboratories  
22 keep, that have not been provided you by Attorney Sleight?

23 A That this laboratory keeps? No, I have not, only those  
24 provided in this case.

25 Q And you said that you do some -- or your curriculum

1 vitae states that you do some significant work with laboratory  
2 quality analysis. And that -- is that another way of saying  
3 auditing laboratory processing --

4 A That's part of it, yes.

5 Q How do you typically do a good laboratory audit?

6 A How do you do a good laboratory audit? It depends what  
7 the intended purpose of the audit is. Audit can -- you can do  
8 process audits, you can do compliance audits, you can do data  
9 audits, you can do audits for a variety of purposes. It just  
10 depends what the intended purpose is.

11 Q Well, let's talk about process audits, since that  
12 sounds pretty close to the purpose of your testimony here  
13 today. Essentially, you talked about what you see as  
14 sufficiencies in the process of the lab. Is that the right  
15 area of audit to be talking about with you?

16 A It's not really process audit, but I can work with you  
17 here.

18 Q Well, you tell me, what kind of an audit have you done  
19 to produce your testimony today?

20 A This is probably more appropriately described as a data  
21 audit, an attempt to reconstruct through the available records,  
22 all the necessary components.

23 Q Okay. So it's a data audit. Have you looked at all of  
24 the data the labs have produced?

25 A I have not looked at all the data that the lab has

1 produced. No, certainly not. I have looked at the records  
2 that were provided in this case.

3 Q Uh-huh. The records David Sleigh has provided you?

4 A Yes. I also reviewed records in a previous case.

5 Q And who provided you those records in that case?

6 A Bill Cristman.

7 Q And do you know whether you reviewed all of the records  
8 the lab keeps in that case?

9 A I only reviewed the records I was provided with.

10 Q So that's a no?

11 A That's a no.

12 Q Okay. Before I forget, and as long as I have Exhibit N  
13 in my hand, you said you got an ABD in chemistry from the  
14 University.

15 A It's not a degree, it's just a designation,  
16 essentially.

17 Q Is ABD inaccurate?

18 A It is, all but dissertation.

19 Q So have you written a dissertation?

20 A No.

21 Q Do you have a dissertation topic?

22 A Uh-huh.

23 Q What would the topic of the dissertation be?

24 A It dealt with amino phosphine coordination chemistry.

25 Q Was the topic related to measurements or --

1 A It was not, it was not related to quantitation, it was  
2 related to structure.

3 Q Okay. And was it related to traceability at all?

4 A No.

5 Q As far as other qualifications and the skills, I see  
6 you've got a Certified Quality Auditor's Certification or  
7 Quality Auditor's Certification, I guess, and a Trained ISO  
8 Lead Auditor's Certification. As I understand it from your  
9 testimony, ISO is a governing body, I suppose, for lack of a  
10 better word, for laboratory purposes, am I correct in that?

11 A That's correct.

12 Q And if you're an auditor for ISO, tell me what kind of  
13 documents you would look at to do an ISO sanctioned audit?

14 A ISO doesn't sanction audits. You're not an ISO  
15 Auditor, per se.

16 Q But you are, according to your CV.

17 A No. You may be trained in the ISO standard and in the  
18 conduct of audits against the ISO standard, which I have  
19 been --

20 Q Okay.

21 A -- but ISO doesn't --

22 Q Certify audits.

23 A Yeah, right.

24 Q So tell me about the ISO audit standard. What does it  
25 involve, what steps?

1           A    The -- oh, the -- not ISO 17025?  Is what you're asking  
2 me about?

3           Q    17025, it says you're a trained ISO Lead Auditor.

4           A    Yes.

5           Q    So if you were going to do an audit, according to your  
6 ISO training, the 17025, what would you do, tell me the steps.

7           A    Well, typically, an ISO audit, where you're looking at  
8 compliance with an ISO standard, includes a variety of things.  
9 One of the most important is the definition of the scope of the  
10 technical work performed by the laboratory, at least subject to  
11 the assessment.

12          Q    Uh-huh.

13          A    And because the ISO standard includes both elements  
14 related to the management operation of the laboratory and to  
15 the specific technical methods employed within their scope.  So  
16 you have to get that kind of definition right up front.

17               Typically before going onsite for an onsite audit,  
18 you would request copies of the laboratory's quality policies  
19 and procedures to understand sort of the baseline functioning  
20 of their quality system.

21          Q    So we're getting into a lot of details.

22          A    Oh, sorry.

23          Q    So let me just to make sure we don't -- no, that's  
24 fine, and I, you know, want to get into this, but I want to  
25 make sure, you know, you said so you go onsite.  Did you go

1 onsite here?

2 A I did not.

3 Q Okay. And you said you would request policies and  
4 procedures.

5 A Yes.

6 Q Did you review the policies and procedures of this lab?

7 A I did not.

8 Q Why not?

9 A Well, at least for some period of time, my  
10 understanding is that they did not have any procedures, written  
11 -- approved procedures that were subject to document control  
12 and actually implemented in accordance with the provisions of  
13 things like ISO.

14 Q Okay. And who's understanding, where did you get that  
15 from?

16 A From my client.

17 Q So Mr. Sleigh told you that?

18 A I actually don't remember if it was Mr. Sleigh or Mr.  
19 Cristman?

20 Q Fair to say a Defense attorney told you that?

21 A A Defense attorney.

22 Q Fair enough. So I interrupted you, onsite testing and  
23 review of procedures.

24 A I should mention I also listened to testimony from  
25 analysts. It's been many, many months since then, but my

1 recollection is that I heard testimony regarding the fact that  
2 at the time in question, they did not have written procedures.

3 Q And where would that have been.

4 A A little town, Saint Albans --

5 MR. NAGURNEY: Mr. Cristman, if you could not whisper  
6 to her --

7 THE WITNESS: St. Albans.

8 MR. NAGURNEY: -- that would be great.

9 THE WITNESS: St. Albans, is that right?

10 MR. NAGURNEY: Do I get to cross-examine, Mr.  
11 Cristman?

12 BY MR. NAGURNEY:

13 Q So, okay. Other than an onsite visit and the review of  
14 the procedures, neither of which were done here, what else  
15 would you do if you're going to do an ISO audit of a lab?

16 A Other than an onsite audit and review of the  
17 procedures?

18 Q Uh-huh.

19 A Well, it wouldn't be a full ISO audit if I couldn't go  
20 onsite and look at all the operations. So I guess I don't know  
21 how to answer your question.

22 Q Okay. So you didn't do a full ISO audit here?

23 A Oh no, no.

24 Q Okay.

25 A Absolutely not.

1 Q How about your ASQ certification? What steps would an  
2 ASQ quality audit require?

3 A Again, I can't give you a very short fixed answer  
4 because it depends on the intended use. Is it a data audit,  
5 which I meant, for example, attempting to reconstruct one  
6 measurement result to evaluate its traceability, or is it a  
7 compliance with a prescriptive requirement, or whether it's  
8 more -- a broader scope as in an ISO audit. It depends on the  
9 intended use of the results.

10 Q Okay. So if you were going to apply that audit here,  
11 what would you do to this laboratory and to these  
12 regulations --

13 A I was going to --

14 Q -- to this program?

15 A I'm sorry, what? If I was going to apply what?

16 Q If you were going to apply the IS -- the ASQ quality  
17 audit -- if you were going to do an ASQ audit on this  
18 laboratory and this DataMaster program, designed at giving to  
19 the point of, does this instrument meet these regulations, does  
20 this testing show that this instrument meets these regulations,  
21 what steps would you take?

22 A If it was based on more than just the record, if it was  
23 more than a data audit, it would require an onsite visit to the  
24 laboratory to evaluate all the policies and procedures that  
25 were in place at the time the subject work was performed.



1 Q Okay. Again, not done here?

2 A Not done here, no.

3 Q Okay. Is there any audit standard? Is there any --  
4 no, strike that. I'll start over.

5 Under either the certified quality auditor standard  
6 or the ISO auditor standards that you would be applying in  
7 these situations, would either of them contemplate looking at a  
8 universe of data that was provided to you by a third party?

9 A It's not all that different than what happens when you  
10 go into a laboratory and actually conduct an onsite inspection.  
11 For example, when asked how a lab conducts its inspections of  
12 laboratories, they actually ask for the lab to provide them  
13 with, for example, three to five case files from each analyst  
14 for review by the auditors. Probably a better approach is for  
15 them to select those at random, then to allow them to be  
16 peremptorily provided in advance, but auditing is always a  
17 sampling exercise. There is no expectation, and certainly no  
18 practical ability to evaluate everything that a laboratory  
19 does. It's only a sampling.

20 Q Sure, because we're talking thousands of pages?

21 A Yes.

22 Q Okay. But here, you haven't even reviewed the policies  
23 and procedures. Is there any audit where you wouldn't review  
24 policy and procedures?

25 A I've actually had to conduct data audits many times

1 when the lab did not have policies and procedures at the time  
2 the work was performed.

3 Q That -- that's not quite what I asked, though, which is  
4 if the lab had policies and procedures and you didn't review  
5 them would it be a good audit?

6 A It wouldn't be as broad a -- it would not be as broad a  
7 scope, an audit. You can certainly do a data audit, just  
8 reconstruct it based on the record. If you really want to  
9 understand operationally what maybe the origin of some of their  
10 deficiencies were, it would be certainly far better to have the  
11 policies and procedures that were in place at that time.

12 Q Okay. So what was the exhibit that was related to the  
13 marker board? Because I want to make sure we covered that, and  
14 I didn't want it to get erased in the interim.

15 A I don't remember.

16 Q Oh, I'm sorry, this --

17 THE COURT: N?

18 MR. NAGURNEY: I'm sorry, Your Honor?

19 THE WITNESS: N, she said?

20 MR. NAGURNEY: N?

21 THE COURT: Is the one you're referring to?

22 THE WITNESS: Yes.

23 MR. NAGURNEY: Yes, it is.

24 BY MR. NAGURNEY:

25 Q So you drew Exhibit N, if I'm understanding you

1 correctly, in attempt to sort of illustrate what measurement  
2 uncertainty is, what it -- the concept, this expresses the  
3 concept of measurements, if I understood you correctly?

4 A It expresses the -- how it's so important when you're  
5 trying to compare a result to a threshold.

6 Q Okay. Okay. So .08 is the threshold, if I'm  
7 understanding your example?

8 A Yes. It's what I'm making my comparison to.

9 Q Okay. So there's some limits to measuring uncertainty,  
10 are there not?

11 A Oh, absolutely.

12 Q Okay. And if I understand you correctly, if I go above  
13 .08, I replace the .08 with a .16 measurement, and I'll say BAC  
14 rather than percent, because that's the expression that they  
15 use, but if I change that threshold to a .16, you would agree  
16 with me that measurement of uncertainty becomes substantially  
17 less of an issue for the consideration of whether a BAC test is  
18 accurate or valid?

19 MR. SLEIGH: Your Honor, I don't think she ever  
20 offered any testimony about the accuracy or validity of BAC  
21 tests, and that was a illustrative example of showing how  
22 uncertainty measurement relates to a known threshold. So I  
23 don't see that she's testified to anything about breath tests.

24 THE COURT: What was the question again?

25 MR. NAGURNEY: I can restate the question, Your

1 Honor.

2 THE COURT: Okay.

3 BY MR. NAGURNEY:

4 Q So if I were to -- if you were to use my example with a  
5 .16 BAC, rather than your example of a .08 BAC, as  
6 (indiscernible), in whose example is measurement uncertainty a  
7 greater concern in your mind? Would you want to know about the  
8 measurement of uncertainty in the .08 test or the .16 test?

9 A I would want to know measurement of uncertainty in  
10 every test, but you might be misunderstanding me. When I used  
11 .08, that was not as the result for an unknown sample, that's a  
12 regulatory imposed value that I'm comparing a result to. I  
13 think you're talking about where the dots are.

14 Q Well, if I had a .16 BAC, and I -- doesn't that leave  
15 me .08 -- can't it be off by 50 percent and still be at or  
16 above a .08?

17 A I've seen analytical labs whose measurement uncertainty  
18 was greater than 100 percent.

19 Q Sure. And I mean -- and I guess that's not the  
20 question, the question is mathematically, if you -- the higher  
21 your BAC becomes, the more margin for error there is, and the  
22 less of a factor the measurement of uncertainty comes, isn't  
23 that true?

24 A Yeah. I'm not going to get into the trap of calling it  
25 a margin of error, because we went down that road before, but

1 yes, the higher you -- the result is, the larger the  
2 uncertainty would have to be before this became an issue.  
3 That's a very true statement.

4 Q Okay.

5 (Pause)

6 BY MR. NAGURNEY:

7 Q So you've reviewed the rules of the Department of  
8 Health and -- I should say the contents of the alcohol breath-  
9 testing rules, I guess it doesn't matter if it's Department of  
10 Health or Department of Public Safety, the rules are the same  
11 between the two. They're actually were produced in your  
12 affidavit, correct?

13 A Yes.

14 Q Okay. So you're familiar with them?

15 A I am.

16 Q And I think Mr. Sleigh mentioned it in his opening,  
17 though I want to make certain I understand your position as  
18 well. They don't contain the word uncertainty anywhere, do  
19 they?

20 A They do not.

21 Q They don't contain the word traceability anywhere?

22 A They do not.

23 Q So fair to say you are -- and I understand that you  
24 have the training and a background field, but those terms are  
25 imputed by you to that?

1 A They are.

2 Q Okay. So what's controlled by the rules, the testing  
3 rules, what are they written specifically for?

4 A I'm not sure I can answer that question, that's sounds  
5 like a question for a Legislator. I don't understand -- if  
6 it's meant from a scientific perspective, I don't understand  
7 it.

8 Q Well, that's actually -- is further to my point. The  
9 rules control the performance of the DataMaster, correct?

10 A I think there are many things that control the  
11 performance of a DataMaster, but --

12 Q But the Court is concerned with whether the DataMaster  
13 meets the Rule, and that's what Mr. Sleigh has asked you to  
14 look at, correct?

15 A Yes.

16 Q So you would agree with me then, that the rules, the  
17 breath testing rules for alcohol, are specific to the  
18 DataMaster instrument itself?

19 A I guess I have to go back and look. I don't know if it  
20 actually said it was a DataMaster or not.

21 Q Well, there are standards the instrument must meet,  
22 right?

23 A Yes. The rules essentially establish a performance  
24 standard.

25 Q Okay. And they say what the instrument shall be

1 capable of, correct?

2 A Yes.

3 Q So then they relate to the instrument?

4 A Yes, the performance of that measurement system, yes.

5 Q So as I understand measurement uncertainty, and I guess  
6 traceability, which is something of a subset of measurement,  
7 uncertainty, as you've explained it to me. Don't those relate  
8 to processes rather than things?

9 A No, it relates to the result.

10 Q And how do you -- isn't that another way of saying  
11 process?

12 A Actually, a result is typically a product of a process.

13 Q So you employ a series of processes in your reaching a  
14 result?

15 A Yes.

16 Q And that's what measurement uncertainty measures, the  
17 failings of each one of those little processes, as they've been  
18 applied in the production of that result?

19 A The inherent uncertainties rather than failings. It's  
20 just a -- inherent limitations of that measurement system.

21 Q So if I understand measurement uncertainty, and I'm  
22 starting to, the more of these I do with you, measurement  
23 uncertainty is determined partly -- well, it affects method  
24 validation -- or explain to me, I guess, the relationship  
25 between uncertainty and validation.

1           A   Oftentimes, the ultimate product of a method validation  
2 study is the uncertainty associated with the measurement of a  
3 particular measurement -- measurement system, because when  
4 you're doing a method validation study, you're actually  
5 collecting the empirical data to evaluate accuracy and  
6 precision. The -- and so you can collect both of the Type A  
7 and the Type B data necessary to compute an uncertainty.

8           Q   Are there any established criteria for method  
9 validation?

10          A   There are a lot of guidelines, because as with  
11 everything in science, it depends on what you're trying to use  
12 a result for, the scope and the rigor of the process that you  
13 go through.

14          Q   Ultimately, though, it sounds like what you've said is  
15 the method validation is, just being able to validate your  
16 method, what you've done, explain your steps and why you've  
17 done them.

18          A   It's more than explaining your steps, it's actually  
19 collecting data to measure when it works and when it doesn't,  
20 and when it does work, how well does it work, how confident can  
21 you be in a given result?

22          Q   So -- but you would agree with me, there are no  
23 specific method validation criteria for the DataMaster DMT  
24 instrument?

25          A   No.



1 Q Are there specific method validation criteria for  
2 alcohol forensic labs?

3 A There are a lot of guidelines put forth, and direction  
4 in standard documents, but no -- there's no one master rule for  
5 the entire universe.

6 Q And that's what you get at when you audit, right? I  
7 mean you would look at all of the things that were done and  
8 give an opinion based upon the totality of the data you would  
9 -- whether those things were, in your mind, sufficient?

10 A Yeah, it's -- as the importance of a given decision  
11 increases, the rigor essentially, it also increases in  
12 laboratories for quality control. So if they're not as severe  
13 a consequences for a wrong conclusion, you can accept a much  
14 greater uncertainty.

15 Q Uh-huh. So explain for how a plus or minus ten percent  
16 accuracy of regulation is not the same as measurement  
17 uncertainty.

18 A Okay. I'm not sure I understand the question. Explain  
19 for you why, when they say -- I am looking -- I know it's here  
20 somewhere, but when the regulations say --

21 THE COURT: Do you need to look at something?

22 THE WITNESS: Yes, please. Thank you. Okay, here we  
23 go.

24 "Shall be capable of determining the blood or breath  
25 alcohol concentration of the person sampled with an

1 accuracy of plus or minus ten percent."

2 Okay? And you want to know why that is not the same  
3 thing as uncertainty?

4 BY MR. NAGURNEY:

5 Q Uh-huh.

6 A It's essentially telling you that your -- when it says  
7 "within plus or minus ten percent," it's essentially giving  
8 you, as I would interpret it, the boundaries of performance.  
9 That as long as I get a result that is within plus or minus ten  
10 percent of the true value, then that's performing acceptably.  
11 So it's speaking both to accuracy and essentially to precision,  
12 once you've got your boundaries around it.

13 That can't be the same thing as measurement uncertainty,  
14 because measurement uncertainty is empirically determined as  
15 opposed to an imposed standard. I guess I really just don't  
16 understand your question.

17 Q Uh-huh.

18 A Because a measurement uncertainty is an empirically  
19 determined result, whereas this is, this is like the .08. It's  
20 an established performance level, it's not an empirically  
21 determined -- maybe I'm just not understanding you. I'm sorry.

22 Q Sure. Well, I'll rephrase it for you. So in certain  
23 DUI cases in Vermont, one of the elements of the State's proof  
24 is proving that the Defendant was at or above a .08 BAC.

25 A Okay.

1 Q And the regulations say that you have to be accurate to  
2 within plus or minus ten percent of that .08.

3 A Okay.

4 Q And if your instrument is accurate to within plus or  
5 minus ten percent at that .08, the result becomes admissible.

6 A Okay.

7 Q So doesn't that beg the question that the rules have  
8 already created an uncertainty --

9 A Oh, okay. I --

10 Q -- of plus or minus ten percent?

11 A I think I understand.

12 Q I mean because theoretically, correct me if I'm wrong,  
13 but I could have evidence of someone at a .08, and if rules of  
14 plus or minus ten percent, the rules already conflict, that  
15 person could be a .072.

16 A Okay. I -- I --

17 Q So isn't there a plus or minus ten percent of  
18 uncertainty already in the rule?

19 A I would say that it is not, and the reason is, for any  
20 one of my results that are up there, it is a misinterpretation  
21 for you to take the result of .08 and use that. You should  
22 actually be using, and I'm just going to pick numbers out of  
23 the sky, .07 to .09. I cannot tell you if it's .07 or .09. In  
24 fact, I can't even tell you if it's more probable that's it's  
25 .07 or .09. All I can tell you is it's somewhere in that

1 range.

2           So when you compare a discreet result to a threshold,  
3 that's directly misunderstanding the circumstance. When I have  
4 a result of .08 plus or minus .01, all I can tell you is its  
5 somewhere in that range. I have to compare the range to the  
6 result, because your example is predicated on that the .08 is  
7 the actual determined value. It really isn't. I'm really  
8 determining a range, not a value.

9           Q So you testified in Franklin County back in -- I guess  
10 it was April of this year; does that sound --

11           A It was spring, I don't know.

12           Q Didn't you say there that scientifically what the  
13 regulation means is accuracy -- I'm sorry, scientifically what  
14 the accuracy regulation means is uncertainty of ten percent  
15 around the reported result?

16           A Yes. That --

17           THE COURT: Excuse me, your voice fell down again.

18           MR. NAGURNEY: I'm sorry, Your Honor.

19 BY MR. NAGURNEY:

20           Q Your testimony in that hearing was that scientifically  
21 what the accuracy regulation means is an uncertainty of ten  
22 percent around the reported result.

23           A Around a reported result. That you would have to  
24 achieve -- demonstrate uncertainty within that range, that is,  
25 the size of your uncertainty bars.

1 Q So it sound like, from what I've understood from your  
2 testimony, that you don't really feel that a lab -- or you  
3 don't feel that the lab should make its own reference solution  
4 for the testing?

5 A Actually, ASCLAD Lab, in their supplemental  
6 requirements, addresses that and states that they should be  
7 purchased from -- externally from ISO suppliers.

8 Q So I'll show you -- well, I'll bring to your attention  
9 Exhibit B, and this is what has been marked and admitted as the  
10 ILAC Guidelines for Forensic Science Lab.

11 A Uh-huh.

12 Q Section 5.6.2.2.2, doesn't it say that,

13 "For many types of analysis, calibration may be  
14 carried out using synthetic standards containing  
15 (indiscernible) test prepared within the laboratory  
16 from chemicals of known purity and composition, or  
17 matrix max standards. Alternatively, standard  
18 solutions may be purchased."

19 A Yes, it certainly does, because forensic labs do a very  
20 wide variety of testing, and in some cases, there are no  
21 externally prepared ISO accredited suppliers for calibrators  
22 and reference materials, so that's your only option. When that  
23 occurs, the burden is placed on the laboratory to essentially  
24 emulate the performance of a referenced material supplier.

25 Q So I guess in your mind the real peril or shortcoming

1 of not using a third party vendor for those reference materials  
2 is that the lab then has to document the steps sufficient for  
3 uncertainty measurement to be associated with that?

4 A That is very true. As each step in the chain  
5 increases, the uncertainty increases, so it increases  
6 additional uncertainty. In addition, it just puts a very  
7 significant burden on them to maintain the necessary  
8 documentation.

9 Q Okay.

10 A But sometimes you have no other option.

11 Q Okay. But your testimony about the propriety, or the  
12 correctness of purchasing the solution of reference standards  
13 in the third party, if possible, it's premised on the  
14 assumption that an uncertainty measurement needs to be included  
15 with the measurement process?

16 A It is. It's also a part of the ASCLD Lab supplemental  
17 requirements for labs that hold ASCLD Lab International  
18 Accreditation.

19 Q Okay. So further on in Exhibit B, under Section 5.9.1,  
20 Assuring the Quality of Testing Calibration Results, and it  
21 lists a number of quality control activities available to  
22 laboratories, and those include replicate testing and -- well,  
23 let's ask about replicate testing first.

24 You reviewed this testing, is that replicate testing  
25 down here

1 A In some cases yes, there certainly is.

2 Q Okay. And then the second one is independent checks,  
3 and then it goes on to say "Verification by other authorized  
4 personnel."

5 A Uh-huh.

6 Q Do you see any evidence of that done in the testing  
7 here?

8 A They call, for example, the GS Assay and independent  
9 verification.

10 Q Uh-huh. I don't expect you to be familiar with our DUI  
11 statutes, but let me ask you, the DUI statute provides the  
12 opportunity for a Defendant who's being tested on our  
13 DataMaster DMT to provide an independent sample of their  
14 alcohol concentration for testing on a gas chromatograph. Is  
15 that an independent check?

16 A Their breath?

17 Q I believe it's a blood sample.

18 A Oh, okay. Their blood -- what lab does it go to?

19 Q I believe our lab would analyze or they can have it  
20 verified by another party.

21 A Oh, I was unaware of that.

22 Q But is that an independent check on a process?

23 A It has some potential to be. Again, then it introduces  
24 a whole other set of factors that have to be controlled,  
25 because split samples, for example, are a common -- here's

1 commonly a perception that as long as I split a sample and the  
2 results are the same, it must be the right answer, but that  
3 isn't always necessarily the case. So it introduces a whole  
4 other set of coals, but it's another approach.

5 Q Okay. ILAC, just again, are they an accrediting body?  
6 Are there any ILAC accredited labs out there?

7 A No, ILAC isn't an accrediting body, it's an  
8 organization of accrediting bodies.

9 Q Okay. And the -- so they -- various accrediting bodies  
10 put their qualifications for accreditation together and then  
11 ILAC issues guidelines to meet them?

12 A Exactly.

13 Q And all of those various accrediting bodies all say  
14 that in lab preparation of reference materials is acceptable?

15 A Oh, sometimes it's the only option.

16 Q Okay. With regard to Exhibit C, are either SOFT or  
17 AAFS, accrediting agencies?

18 A No, SOFT is more a trade organization, I guess, if you  
19 will, of practicing forensic toxicologists, and the same thing  
20 for American Academy of Forensic Sciences.

21 Q Okay. So even if SOFT or AAFS were accrediting bodies,  
22 is there any mention in Exhibit C of measuring uncertainty  
23 traceability?

24 A Again, I would have to look to find any specific  
25 reference. I just don't have that right at my --



1 Q Do you know whether they speak to reference materials?

2 A I believe there are sections on reference materials,  
3 yes.

4 Q So Mr. Sleigh admitted Exhibits D and E, and they're  
5 both stamped "Currently Under Review." Are the ASCLD Lab  
6 policies on measurement uncertainty that are stamped currently  
7 under review? I mean are there currently -- are these policies  
8 currently used by ASCLD?

9 A They are. They are available online. The current  
10 approvers, and it doesn't say currently under review on them.  
11 Interestingly enough, it has exactly the same dates that that  
12 one does, in terms of its effective date and the date.

13 Q Mr. Sleigh admitted Exhibit F, and this is the Rod  
14 Gullberg article?

15 A Yes.

16 Q He's estimating the measurement of uncertainty in  
17 forensic breath alcohol analysis. Have you reviewed the  
18 article?

19 A Yes.

20 Q The first sentence of the abstract says,

21 "The evidentiary weight attributed to forensic breath  
22 alcohol results in drunk driving prosecution."

23 Excuse me,

24 "Attributed forensic breath alcohol results in drunk  
25 driving prosecutions requires a measurement

1           uncertainty established and showing to be fit for  
2           purpose."

3           So isn't the Gullberg article speaking entirely about  
4           the weight to be assigned to a test result based upon the  
5           calculation of measurement uncertainty?

6           A   That sounds like a legal question.  From -- for a  
7           layperson, evidentiary weight sounds like the fact that the  
8           jury's given a lot of weight.  I don't know.  It -- but for me,  
9           scientifically, it sounds like uncertainty is an essential  
10          component of the measurement result.

11          Q   So, I mean that was your testimony earlier, right?  You  
12          couldn't create measurement results without an uncertainty.

13          A   You certainly can.  People do it all the time.

14          Q   But you just don't think they should be given much  
15          weight, for lack of a better word?

16          A   Users should be cautioned to not try to use those  
17          results, particularly for comparison to a threshold unless they  
18          understand the uncertainty.  In fact, it's even very difficult  
19          to compare to numbers and see if they really represent one is  
20          higher than the other, if you don't know the uncertainty.  So  
21          depending on the intended use of a result, measurement  
22          uncertainty is always essential to understand and be able to  
23          use data in a proper manner.

24          Q   Tell me about some of the other types of laboratories  
25          you've worked in.  How about the DOE Idaho National Engineering

1 Laboratory? What sort of things go on there? How --

2 A What --

3 Q What type of science is being done there?

4 A Science is being done. At the time I was there, it is  
5 a facility that was in past life, the national reactor test  
6 site. So it was where prototype nuclear reactors were  
7 evaluated. There were a large number of facilities present on  
8 that site, and a large number of legacy problems on that site  
9 as a result, that required analytical work to try to figure  
10 them out.

11 So there was chemical testing, there was radiological  
12 testing of matrices that ranged from air to water to soil to  
13 almost anything else that could be containerized and brought  
14 into the laboratory.

15 Q And how precise was the testing that was done on that  
16 laboratory? I guess I don't understand, there is obviously  
17 numbers being produced.

18 A Uh-huh.

19 Q And so you need to have an uncertainty for the  
20 measurements that used those numbers?

21 A Radiologically, their numbers were always reports with  
22 an associated uncertainty. From a chemistry perspective, when  
23 testing was first begun, it did not, it did not have  
24 uncertainties associated with it, and that was a problem. They  
25 were -- they were new in the practice of making those kinds of

1 measurements, and we're learning not -- I suppose in some  
2 respects, not unlike the forensic community, being new to the  
3 business.

4 Q So tell me about some of the radiological numbers that  
5 would be reported at that lab. How were they expressed?

6 A As, for example, the number of picocuries per unit of  
7 whatever mass or volume, with a plus or minus uncertainty  
8 associated with it.

9 Q So it would be as a decimal, a picocurie, is that --

10 A Yeah.

11 Q -- a small fraction or a small decimal?

12 A We always hoped they were small, sometimes they were  
13 large.

14 Q Okay. So if I were to express a picocurie as a  
15 decimal, how would I do that, point what, zero point what?

16 A I don't understand your question. I'm sorry.

17 Q Well, you're saying a picocurie is a -- I understand  
18 it. I mean, keep in mind, I'm the guy who went to law school,  
19 because I learned in medical school that chemistry probably  
20 wasn't for me at the high school.

21 A And look what you're doing now.

22 Q I know, right? That's what it's come to, but for what  
23 we measure, what you measure in this lab, these picocuries,  
24 aren't these infinitesimally small?

25 A Oh, you betcha. From a radio analytical perspective,

1 we have the ability, for example, to detect a hundred atoms of  
2 a particular radionuclide. We don't detect a hundred atoms of  
3 ethanol. That's orders of -- many orders of magnitude  
4 difference --

5 Q So it sounds like --

6 A -- in terms of detection ability.

7 Q So it sounds like measurement uncertainty is a lot more  
8 critical in a nuclear laboratory than in an alcohol breath  
9 testing lab?

10 A Oh, I don't think so at all. I think they're both  
11 important. In a nuclear laboratory, what was important was, is  
12 it about background or not? So we had to get a good  
13 understanding of background, and it's variability, not unlike  
14 the little diagram we've got drawn up there, to be able to  
15 distinguish what's the significance and difference between a  
16 given radionuclide at environmental levels, does it represent  
17 have we had a release, is there an exposure or not? So it's --  
18 many of the very same principles apply.

19 Q Some of your later exhibits you covered with Mr.  
20 Sleight, were culled out of Exhibit Q, involved the gas  
21 chromatograph measurement, and using that as a quality control  
22 check --

23 A Uh-huh.

24 Q -- on the referenced solutions that were made. Why do  
25 you -- why is that insufficient in your mind?

1           A I'll refer you back to the accredited supplier. They  
2 use a very well understood, well documented system to prepare  
3 their solutions. So they used calibrated balances and so forth  
4 to prepare their solution, and they figured out all the  
5 uncertainty of those components, and then they verified the  
6 concentration by using GC. In contrast, this lab just started  
7 with doing the GC measurement. There was no -- none of the  
8 information necessary to initially determine the uncertainty of  
9 the solution.

10          Q Such as you were talking about the balance.

11          A Yes.

12          Q Do you know anything about the balance this laboratory  
13 used?

14          A I don't, because they didn't document which one they  
15 used or what its calibration status was.

16          Q How would you get that information if you were doing an  
17 audit on a lab?

18          A You would look at the preparation log -- that's usually  
19 what they're called -- for the solution, which we looked at to  
20 see whether or not they documented which particular equipment  
21 item they used so that you could then go look to the  
22 calibration records for that instrument. But they didn't  
23 document what they used at the time they made it.

24          Q Okay. And tell me who you talked to at either DOH lab  
25 or DPS lab --

1 A I have not.

2 Q -- to ask these questions. You haven't talked to  
3 anybody?

4 A No.

5 Q So you're assuming that that documentation is not  
6 there?

7 A I'm assuming that there's not a second prep log for the  
8 same solution with different information.

9 Q Same question with regard to the volumetric things.  
10 Are we talking about some sort of graduated cylinder, a five  
11 liter something?

12 A That would be Class B.

13 Q You haven't been to the lab, so you haven't seen --

14 A I have not been to the lab, no.

15 Q And you don't know -- haven't seen it to know if they  
16 have one?

17 A No. I don't know if they're using Class A glass  
18 volumetric ware or whether they're using automatic pipettes or  
19 a combination thereof.

20 Q But if you knew that stuff, that would only help the  
21 uncertainty --

22 A Well, I can tell you that they --

23 Q -- of your opinion, right?

24 A -- that they were measuring in the microliter range and  
25 there aren't glass pipettes that will go in that range. So at

1 least once they were using an automatic pipette.

2 Q Let me ask you just sort of a general question about  
3 measurement uncertainty. Where does it end? I mean, there's  
4 always going to be a process -- there's always going to be a  
5 spot in the process where you're not going to know. I mean,  
6 wouldn't temperature affect the volume of a liquid?

7 A And it does. And that's why it should be recorded.

8 Q Wouldn't atmospheric pressure affect the volume of a  
9 liquid?

10 A Yeah. Here's the thing, when you go through this  
11 process and you identify all these factors, once you've  
12 identified them and quantified them and put them all together,  
13 you make a determination as to which ones are essentially de  
14 minimis, which ones are too small to have contributed in any  
15 meaningful fashion to the final result. And it's perfectly  
16 acceptable to exclude those from consideration. And in fact, I  
17 think if you look at the Cerilliant standard, it actually  
18 describes some that were excluded from consideration because  
19 they were found not to be significant. That is entirely  
20 appropriate.

21 However, the ability to go trace back to a primary  
22 reference material is not -- you can't just stop before you get  
23 there. That still needs to happen.

24 MR. NAGURNEY: I don't think I have anything further  
25 for the witness, Judge.



1 THE COURT: I would like to ask one clarifying  
2 question based on a question Mr. Nagurney had, and both  
3 attorneys can follow-up. Referring back to Defendant's N, the  
4 diagram that you --

5 THE WITNESS: Uh-huh.

6 THE COURT: -- had drawn up on the board and  
7 referring also to the rule that I believe you have in front of  
8 you -- it's Defendant's A -- Mr. Nagurney asked you a question  
9 about paragraph 1-3, the one that says, "Instrumentation must  
10 be capable of determining concentration of the person sampled  
11 with an accuracy of plus or minus ten percent."

12 THE WITNESS: Uh-huh.

13 THE COURT: Did I understand you to say that -- well,  
14 first of all, let's back up.

15 In describing your Defendant's N, you have what you  
16 call the uncertainty bars --

17 THE WITNESS: Uh-huh.

18 THE COURT: -- where the -- a test result is not an  
19 absolute because there's an uncertainty factor above and below?

20 THE WITNESS: Uh-huh.

21 THE COURT: And that can be -- they can be long bars  
22 or they can be short bars.

23 THE WITNESS: Yes.

24 THE COURT: And do I understand you to be -- did I  
25 understand you to answer Mr. Nagurney's question by saying that

1 the Rule number three means that the uncertainty -- the length  
2 of the uncertainty bar should be no more than ten percent up or  
3 down?

4 THE WITNESS: That's the way I would interpret it,  
5 that that's what they're essentially adopting as they want you  
6 to make sure that your measurement system is operating well  
7 enough that your -- you have a -- essentially a 20 percent  
8 spread in any given measurement. And then that's what you can  
9 compare to a threshold.

10 THE COURT: Okay. And --

11 THE WITNESS: So you've essentially decided whether  
12 it's a really small bar or a very big bar -- and I went through  
13 each of those -- that you're going to use to make your  
14 comparison to the threshold.

15 THE COURT: And you've used Exhibit R, the Cerilliant  
16 certificate as an example of a good analysis that has --

17 THE WITNESS: Yes.

18 THE COURT: -- uncertainty in traceability?

19 THE WITNESS: Yes.

20 THE COURT: Where does it say here what the  
21 percentage up and down would be? In other words, what would  
22 the --

23 THE WITNESS: I can show you that.

24 THE COURT: How would you know what the bars were?

25 THE WITNESS: If I may? Here --

1 THE COURT: You need to stand back in your --

2 THE WITNESS: Sorry.

3 THE COURT: You can hold it up. That's fine.

4 THE WITNESS: Okay. It's right here.

5 THE COURT: And can you hold it so the attorneys can  
6 see?

7 THE WITNESS: Yes. It's right there, concentration  
8 plus or minus. And it's also expressed at a concentration.

9 THE COURT: Okay. Where it says plus or minus 0.4,  
10 you're saying that is the uncertainty --

11 THE WITNESS: Yes.

12 THE COURT: -- determination for this --

13 THE WITNESS: That is correct.

14 THE COURT: -- particular item? And you're saying  
15 there isn't such a thing for the solutions used --

16 THE WITNESS: That's correct.

17 THE COURT: -- by the Vermont lab?

18 THE WITNESS: And as a result, there can be no such  
19 uncertainty determination for the breath alcohol results  
20 reported here.

21 THE COURT: So you're saying because of the way the  
22 Vermont lab has tested the machines, they would never know  
23 whether it's within the plus or minus ten percent?

24 THE WITNESS: That's correct.

25 THE COURT: Okay.

1 Any follow-up question?

2 MR. NAGURNEY: No, Your Honor.

3 THE COURT: Mr. Sleigh?

4 MR. SLEIGH: No. Thank you, Your Honor.

5 THE COURT: That completes your testimony. You can  
6 step down.

7 THE WITNESS: Thank you, ma'am.

8 THE COURT: You can leave that piece of paper.

9 THE WITNESS: Yeah.

10 THE COURT: Thank you.

11 I think this is a good time to take a break.

12 MR. NAGURNEY: Thank you.

13 THE BAILIFF: All rise.

14 (Recess at 2:58 p.m., recommencing at 3:23 p.m.)

15 THE COURT: Mr. Sleigh, that completes your evidence,  
16 correct?

17 MR. SLEIGH: It does, Your Honor. Thank you.

18 DEFENSE RESTS

19 THE COURT: Okay. Mr. Nagurney?

20 MR. NAGURNEY: Before I call any witnesses, I just --  
21 Mr. Sleigh is here and I thought it might make sense to clarify  
22 on the record quickly that I just -- he had spoken to me  
23 earlier this morning saying, effectively, that we were going to  
24 conduct the hearing under the assumption that the State has  
25 filed its general chemist's affidavit about the acceptance of

1 the test by the instrument and that it's a valid test result  
2 and that the (indiscernible) will be controlled by the contents  
3 of the general chemist's affidavit and the DataMaster ticket  
4 that the chemist might review.

5 I understand we're here litigating the issue of the  
6 admissibility of the test vis-à-vis the regulations. I just  
7 wanted to make certain that Your Honor wasn't expecting to hear  
8 -- Mr. Sleigh wasn't expecting to contest whether the  
9 DataMaster tickets that were produced showing the test results  
10 for each Defendant's case contained any errors that he wanted  
11 to have addressed at the hearing. I understood this matter as  
12 being solely related to the legal academic issue, if you can  
13 call it that, of the admissibility of the test results vis-à-  
14 vis the issue of measurement uncertainty.

15 I have the DataMaster tickets for each Defendant  
16 available as exhibits. I just didn't know whether Mr. -- that  
17 was an issue Mr. Sleigh wanted to litigate or not.

18 MR. SLEIGH: I viewed this as a 104(A) hearing  
19 preliminary to any merits determination. So I don't think  
20 that'd be necessary.

21 MR. NAGURNEY: Okay. It'll save us some time then.

22 THE COURT: All right.

23 MR. NAGURNEY: So I can call Mr. Kimball from the  
24 Department of Health lab.

25 THE CLERK: Raise your right hand, please.

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

KIRK KIMBALL

called as a witness for the State, having been duly sworn,  
testified as follows:

THE CLERK: Thank you.

DIRECT EXAMINATION

BY MR. NAGURNEY:

Q Good afternoon. I'll ask you to state your name for  
the record, please.

A My name is Kirk Kimball.

Q And can you spell your name, please?

A K-i-r-k, K-i-m-b-a-l-l.

Q And Mr. Kimball, how are you employed?

A I am currently the organic chemistry program chief at  
the Vermont Department of Health Laboratory.

Q And how long have you been so-employed?

A With that title, for the last two years.

Q And prior to that?

A Prior to that, I was the chemistry -- or no, the  
toxicology program chief. The title changed a month after I  
took the job. And before that, I was a Chemist IV for the  
Vermont Department of Health Laboratory.

Q And how long have you been, in total, with the Vermont  
Department of Health?

A Since 2005.

Q And before --

1 A So that's seven years.

2 Q Okay. And before that?

3 A Before that, I was a carpenter for a couple years and a  
4 stay-at-home dad for approximately six. And before that, I was  
5 a chemist for an engineering firm in Wisconsin called RMT,  
6 Incorporated as an analytical chemist in the environmental  
7 field doing GC -- gas chromatograph mass spectrometry. And  
8 before that -- I started that job in 1990. For three years  
9 before that, I worked in Vermont for the Aquatec, an  
10 environmental lab that was part of the chemistry lab program,  
11 the CLP program for the U.S. EPA.

12 Q So do you have a degree?

13 A Yes, I do. I have two.

14 Q And describe those for me, please.

15 A I have a bachelor's of science in chemistry from Beloit  
16 College, Beloit, Wisconsin, and a masters in science -- of  
17 science in chemistry from the University Of Vermont,  
18 Burlington, Vermont.

19 Q And are you familiar with the DataMaster DMT breath  
20 testing instrument?

21 A Yes, I am.

22 Q How are you familiar?

23 A When I took over as program chief, I was put in charge  
24 of that program. I've took part in the revalidation of the  
25 instruments after new software was put on. I also have been to

1 NPAS for training and maintenance.

2 Q Tell the Court, please, who NPAS is.

3 A National Patent Analytical Services. They're the  
4 manufacturer of the DataMaster DMT.

5 Q And you're familiar with the DataMaster DMT from your  
6 period as program chief in the Department of Health?

7 A Yes.

8 Q And in 19 -- or excuse me, in March 1st of 2012, the  
9 program was transferred to the Department of Public Safety?

10 A Yes, it was.

11 Q So were you involved with the decision to purchase, I  
12 guess, or to implement the DataMaster DMT as an evidentiary  
13 tester?

14 A No.

15 Q Were you involved with the testing prior -- were you  
16 involved with the oversight of the testing of the instrument  
17 once it was purchased?

18 A Not the initial testing, no.

19 Q What involvement have you had with the DataMaster DMT  
20 program?

21 A When I took over, it was pretty much business as usual  
22 with the DataMaster. They were being deployed throughout the  
23 state, the new DataMaster DMTs. The protocols were established  
24 for the deployment at that time. Then in, I think, May of that  
25 year --



1 THE COURT: Which year?

2 THE WITNESS: 2011, I believe it was. It might --  
3 yeah, I think it was 2011. Or let me think. It's been a  
4 while. It's been a year, so a year would be '12. I believe it  
5 was 2011 that we -- during the time from when I took over in  
6 2010 in the fall to 2011 in the spring, we were discussing  
7 upgrading the software. And then some problems popped up in  
8 Royalton with an instrument that made us reexamine it. And it  
9 was determined that they needed to go back to NPAS to get the  
10 software upgrade. And at that time, we decided to have them  
11 recertified that they had not been tampered with and that they  
12 were still working within the manufacturer's specifications.

13 Q Okay. So let me ask you this then: all of the  
14 DataMasters involved in this challenge have been returned to  
15 NPAS for recertification?

16 A Yes, they have.

17 Q And you were familiar with the process and oversaw it?

18 A Yes, I did.

19 Q What does the manufacturer certification mean for the  
20 instrument?

21 A It meets the criteria that the manufacturer has set out  
22 for performance and stability. And it also, I believe, meets  
23 the National Highway Safety criteria for a breath analyzer with  
24 infrared technology.

25 Q And did there come a time when Mr. Sleigh in the fall

1 of 2012 asked you to produce a number of documents to him?

2 A Yes.

3 Q And can you tell me about that process, please?

4 A I received a call asking that I acquire the data that  
5 we produced for the GC solution characterization of the  
6 simulator solutions for the six instruments, which took a while  
7 to gather and get together the 1,800 pieces of documents, at  
8 which point we sent them to Mr. Sleigh.

9 Q Okay. So you're the custodian -- you're -- currently,  
10 the Department of Health is still the custodian of those GC  
11 documents? And those are --

12 A Yes, we are.

13 Q Are those gas chromatograph documents?

14 A Yes, they are.

15 Q Tell me what those gas chromatograph documents -- tell  
16 me why the Department kept them and what purpose they were  
17 created for.

18 A That is a tracking of the certification of those  
19 solutions that are used -- that were used to test the  
20 DataMaster DMT in house to make sure they met the rules and  
21 regulations set forth by the Department of Health and the other  
22 one solution that is used in the field to make sure that the  
23 instruments are still operating within parameters.

24 Q And is that solution used in the field also known as  
25 simulator solution?

1 THE COURT: I'm sorry?

2 BY MR. NAGURNEY:

3 Q What's the more formal name of the solution also used  
4 within the field?

5 A It is a simulator solution of .1.

6 Q So you were present in the courtroom this morning?

7 A Yes, I was.

8 Q And did you hear the testimony of Ms. Arvizu, the  
9 Defendant's expert witness?

10 A Most of it.

11 Q And did you have occasion to hear her testimony  
12 regarding the gas chromatograph documents that you disclosed to  
13 Mr. Sleigh?

14 A Yes.

15 Q The documents that you disclosed to Mr. Sleigh  
16 regarding the gas chromatograph, did they include any  
17 procedural -- standard laboratory operating procedures?

18 A No, they did not. I wasn't asked for those.

19 Q Does the Department Of Health in fact have standard  
20 operating procedures for its laboratory?

21 A Yes, we do.

22 Q And describe the areas that are controlled by those  
23 standard operating procedures.

24 A Every area of testing has their -- depending on the  
25 specific method, there is a standard operating procedure for

1 that specific method. Also, there is a quality systems manual  
2 that is the overriding guiding principle for the laboratory  
3 that we need to have for the accreditations that we have.

4 Q And did Ms. Arvizu ask to see your quality systems  
5 manual?

6 A No.

7 Q So are there procedures specifically related to the  
8 testing of the DataMaster DMT instrument?

9 A Yes, there are.

10 Q And does the quality control manual speak to any of  
11 those procedures?

12 A Not directly that I'm aware of. It speaks to the  
13 general processes in the lab for --

14 Q Oh, I see. So the manual -- the quality control manual  
15 is further to your ASCLD legacy certification?

16 A No. We're not ASCLD at all. We have certification  
17 from NELAC, which is a Northeast Laboratory Accreditation  
18 Program for environmental, and CLIA certification for our  
19 toxicology and microbiology.

20 Q Okay. And that's what the manual speaks to?

21 A Yep.

22 Q Okay.

23 A And -- yeah. And also, just general good practices  
24 without -- throughout the lab. Whether you have -- under our  
25 -- whether you're under the CLIA or the NELAC, you're still

1 required to follow the practices in the QSM.

2 Q Uh-huh. So Ms. Arvizu spoke about the creation in the  
3 laboratory of gravimetric creation of reference solutions.

4 A Uh-huh.

5 Q Are you familiar with that term?

6 A Yeah.

7 Q What does that involve?

8 A That involves taking a known standard at a specific  
9 purity and weighing it out to a specific decimal place to come  
10 up with a solution that you want.

11 Q What do you use to weight it with?

12 A A Mettler balance.

13 Q How many balances are in your laboratory?

14 A There's two, one for the organic section and one for  
15 the inorganic.

16 Q And are records maintained for those balances?

17 A Yeah. They're recertified yearly by QC Services.

18 Q How about a volumetric creation of reference solution?

19 A Depending on the volume we're preparing -- at the time  
20 we prepared both two-liter and five-liter and also a 20-liter.  
21 And we used Class A volumetric flasks.

22 Q And the laboratory in fact has that equipment?

23 A Yes, we do.

24 Q And has Ms. Arvizu received any documentation relating  
25 to that equipment?

1 A No.

2 Q What's Parafilm?

3 A It's wax paper that's very malleable. It's non-  
4 permeable to gasses.

5 Q When the Department Of Health Laboratory had oversight  
6 of the alcohol breath testing program, did it use Parafilm?

7 A Yes, we did.

8 Q How did it use Parafilm?

9 A It was used when we parsed out our solutions from the  
10 volumetric flask into the bottles. The bottles were then  
11 screw-capped and then Parafilm the top to keep any outside  
12 interference from getting in.

13 Q So Mr. Sleigh in his exhibits -- I believe it was  
14 Exhibit S -- included a simulator solution preparation log.

15 A Uh-huh.

16 Q Are you familiar with that document?

17 A Yes, I am.

18 Q What was the purpose of that document when the  
19 laboratory created it?

20 A It was to have traceability of the standards back to a  
21 lot so that we could follow the preparation and make sure it  
22 was made correctly.

23 Q But the simulator solution preparation log does not  
24 contain any notations regarding uncertainty on its face?

25 A No, it does not.

1 THE COURT: Regarding what?

2 MR. NAGURNEY: Measurement uncertainty.

3 THE WITNESS: No, it does not.

4 BY MR. NAGURNEY:

5 Q As you understand simulator solution preparation logs,  
6 though, is that information that's typically included on the  
7 face of a simulator solution preparation log?

8 A No.

9 Q Where is that information typically found?

10 A The traceability or the percent uncertainty?

11 Q The uncertainty information.

12 A We have not determined uncertainties. The way the  
13 solution is used, it was determined that it was not needed.  
14 The standards we make up for the GC are gravimetric from a --  
15 what's considered a pure standard, which we have certificates  
16 of analysis for.

17 Q And sorry to interrupt you --

18 A Uh-huh.

19 Q -- but by standard, are you saying a reference  
20 solution?

21 A It is not a solution. It's a pure -- like the ethanol  
22 that we purchase is 200 proof certified. You have to have a  
23 license to get it, to be able to purchase it because it's pure  
24 alcohol. That's considered -- is a hundred percent alcohol --  
25 ethanol.

1 Q Uh-huh.

2 A That solution is weighed out to prep the -- all our  
3 ethanol solutions. You have to buy liter -- a case of liter  
4 bottles. And that lasts a real long time. I think the whole  
5 last seven years of the program, that we're two lots, and one  
6 was purchased at the end of the program before it was  
7 transferred. So that lot was a specific lot for six years.  
8 There was no expiration date that I'm aware of on the bottles.  
9 It was recertified every year by the manufacturer -- or by --  
10 every couple of years by the manufacturer. They put out for  
11 that particular lot, so they did the stability studies.

12 Q Okay. I want to make certain I get absolutely sure  
13 what process in the laboratory the records you provided to Mr.  
14 Sleigh demonstrate. And am I correct in understanding that you  
15 made gravimetric or volumetric solutions?

16 A Yes.

17 Q That you kept records regarding the traceability of  
18 those solutions?

19 A Yes.

20 Q That while not necessarily on the face of the simulator  
21 solution preparation log were available?

22 A Can you repeat that?

23 Q Certainly. The traceability information for the  
24 components of those simulator solutions, while not reflected  
25 directly on the face of the simulator solution log we saw as



1 Exhibit S, were available to -- were kept and available in the  
2 lab?

3 A Yes.

4 Q And those documents were not reviewed by Janine, I  
5 presume?

6 A I don't know if she reviewed them or not.

7 Q And tell me again the gas chromatograph, what -- how  
8 that bookends the process.

9 A In science, to determine a value, an instrument is  
10 calibrated, as Ms. Arvizu said, with known standards of a  
11 specific concentration to describe a linear or quadratic line  
12 that you can then -- given a response by the instrument, you  
13 can then extrapolate back to a specific concentration. So the  
14 simulator solutions, when we made them up either  
15 gravimetrically or volumetrically to a specific target  
16 concentration of say .01, that target concentration was just  
17 that; it was a target. It was not an absolute concentration.

18 The GC with that -- with our calibration, we  
19 determine through -- I think it was 28 specific runs, analyzing  
20 28 aliquots of that solution by two -- at least two analysts  
21 over two different days to determine the concentration, the  
22 average concentration of those 28 runs. So that a solution  
23 that we tried to make up at .1 gravimetrically or  
24 volumetrically may come out on the GC as a .101 solution.

25 So the number that we target is not necessarily the

1 true value we assign to the solution. And that's why the  
2 certification paperwork is made, so that that number that we  
3 determined from the GC is the number that gets put on the  
4 solution, and that's the number that you use to determine  
5 whether it meets the rules and regs for plus or minus the five  
6 -- three percent in house, five percent out of house.

7 Q Okay. So you just talked about --

8 THE COURT: I'm sorry. Which number do you put on  
9 it?

10 THE WITNESS: The number determined from the GC data.

11 THE COURT: The average concentration of --

12 THE WITNESS: It's an average of 28 runs. And those  
13 runs need to be within three standard deviations. Each of  
14 those runs needs to be within three standard deviations of the  
15 average.

16 THE COURT: Okay. But then you put the average  
17 number on all of them?

18 THE WITNESS: On the solution --

19 THE COURT: Of all --

20 THE WITNESS: On that particular bottle.

21 THE COURT: On every bottle of solution?

22 THE WITNESS: Every bottle of solution that came from  
23 that preparation.

24 BY MR. NAGURNEY:

25 Q And you talked about an in-house and out-of-house

1 standard. And I'll ask you if you could explain what you meant  
2 by that.

3 A Our in-house standards were strict. It was three  
4 percent of the certified value of the solution. So if it was  
5 .1 when we ran it on the DMT for the pre-redeployment testing,  
6 it needed to be between .97 and 103 to be able to consider it  
7 to be a good run and to be acceptable data from that  
8 instrument. In the field, at an agency, a police agency, for  
9 the instrument to be considered still within specs, as in it's  
10 running properly, it was plus or minus five percent. So it was  
11 95 to 105.

12 Q So is it fair to say that the Department of Health  
13 tested to tighter tolerances than the regulations required?

14 A Yes.

15 MR. NAGURNEY: I don't have anything further for the  
16 witness, Your Honor.

17 THE COURT: Mr. Sleigh?

18 MR. SLEIGH: Your Honor, may I have just a moment to  
19 consult with my expert?

20 THE COURT: All right.

21 (Counsel confer)

22 THE COURT: We'll take a break so you can stand up  
23 and stretch.

24 THE BAILIFF: All rise.

25 (Recess at 3:46 p.m., recommencing at 3:50 p.m.)

1 THE BAILIFF: All rise.

2 THE COURT: Please be seated.

3 CROSS-EXAMINATION

4 BY MR. SLEIGH:

5 Q Good afternoon, Mr. Kimball. How are you?

6 A Good.

7 Q Now as I understand it, at some point during your  
8 tenure in supervising the breath alcohol program, the devices  
9 were sent back to Ohio to get recertified in advance of  
10 deployment; is that right?

11 A They were sent back for software upgrade. And we asked  
12 them at that time to also make sure that they were operating  
13 within their manufacturer specifications.

14 Q All right. You said that there was some problems that  
15 popped up in one of the machines in Royalton?

16 A A tolerance was turned off. The system's flag for it  
17 being out of spec was disabled during -- because the instrument  
18 was used for training. And that was not returned -- turned  
19 back on before it was deployed in the field.

20 Q And how long did it stay in that state in the field?

21 MR. NAGURNEY: Judge --

22 THE WITNESS: I don't remember.

23 MR. NAGURNEY: If I may just quickly for the record?  
24 I understand this was covered in Mr. Kimball's direct. But I  
25 think absent a showing that any of the instruments here were

1 affected in the same way, I'm not sure how it's relevant to  
2 what you're ultimately being asked to determine by Mr. Sleigh's  
3 motion.

4 MR. SLEIGH: He's the one who brought it up. I'm  
5 just trying to explore it a little bit.

6 THE COURT: Uh-huh. Yeah. Since you raised it on  
7 direct, he's entitled to cross-examine on it.

8 BY MR. SLEIGH:

9 Q So how long do the machines -- was that machine in  
10 service with the tolerance check turned off?

11 A I do not remember exactly. I think it was a couple  
12 months.

13 Q Uh-huh. And how did you find out about that?

14 A It was brought to my attention I think by Amanda.

15 Q Okay.

16 A It might have been through Stuart Shure (phonetic)  
17 also.

18 Q All right. Do you know that it was actually me who  
19 told the Department about that?

20 A No, I don't remember that.

21 Q Okay. Now, I take it because you used the word that  
22 you're familiar with the concept of traceability?

23 A Yes, I am.

24 Q All right. Now, let's get this straight. The  
25 Department of Health breath alcohol program was never

1 accredited by any outside agency; is that right?

2 A Correct.

3 Q Never inspected by any outside agency?

4 A Correct.

5 Q Never audited by any outside agency?

6 A Correct.

7 Q So did you adhere to generally accepted notions of  
8 traceability or did you make it up on your own?

9 A I adhered to general practices.

10 Q Well, whose general practices: yours, the Department's,  
11 ASCLD/LAB, ISO 1725?

12 A Well, it was the lab's general practices and my  
13 experiences as a chemist.

14 Q All right. At no time during the administration of the  
15 breath alcohol program did the Department Of Health comply with  
16 ISO 1725 standards, did it?

17 A As far as I know, I -- during my tenure, no, not to the  
18 whole statute.

19 Q All right. Now, were you aware of ISO 1725 standards  
20 during the time that you administered the breath alcohol  
21 program?

22 A I was aware of the existence of it, not the  
23 particulars, no.

24 Q Okay. Now, is it your understanding that traceability  
25 requires contemporaneous documentation of testing?

1 A Yes.

2 Q Now, you saw Ms. Arvizu go through the list of your GC  
3 results; is that right?

4 A Correct.

5 Q Did you see any references in those results  
6 contemporaneously made by the analysts about the either  
7 volumetric or gravimetric devices that were used to produce  
8 those samples?

9 A Not in those particular documents. But we only have  
10 one scale that we used ever.

11 Q So it --

12 A It is in our SOP to use that particular scale.

13 Q But, so no analyst wrote down which scale they were  
14 using; is that right?

15 A No. It was in a locked room where the standards were  
16 prepared.

17 Q All right. So you would agree with her that there were  
18 at least some aspects of a full contemporaneous record that are  
19 missing from those GC records? You'd have to go look someplace  
20 else to see where those records are?

21 A According to what she said about ISO, those are  
22 missing, yes.

23 Q All right.

24 A But according to our other criteria, no, they're not.

25 Q Okay. They exist in separate places. You've got two

1 sets of books, basically?

2 A No. I said according to --

3 Q Well --

4 A -- according to ISO, we did not follow -- we were not  
5 under the auspices of an ISO-accredited program, so we did not  
6 document according to ISO.

7 Q Okay. When you created the GC solution that -- and  
8 tell me -- I may have got this wrong. But you used a outside  
9 obtained ethanol sample, pure ethanol?

10 A Yes, we did.

11 Q All right.

12 A Purchased from Pharmco.

13 Q Okay. Do you remember being asked in November of this  
14 year as to whether you had any documents readily available  
15 pertaining to the strength of the ethanol used to make the  
16 simulator solutions?

17 A Pertaining to the strength?

18 Q Let me show you, if I may, Exhibit I. Just read that  
19 to yourself.

20 A Uh-huh.

21 (Witness reviews document)

22 THE WITNESS: At that time, no.

23 BY MR. NAGURNEY:

24 Q Well, let's just --

25 A I mean -- yeah.



1 Q -- back up a little.

2 A Yeah. I remember that now. And we did not. I had to  
3 call the manufacturer to get that documentation.

4 Q So the lab --

5 A Which I did provide to Mr. Nagurney.

6 Q -- the lab didn't have any documentation regarding the  
7 strength of the ethanol used in those GC runs in the lab,  
8 right?

9 A That I could not -- I could not find one, yes.

10 Q So you got one and sent it to Mr. Nagurney sometime in  
11 December?

12 A I think when he came to copy, that's when I had gotten  
13 it. It was not an easy process to obtain. But it was  
14 obtained.

15 Q So at least up until December of this year, you  
16 couldn't trace your GC run back to its mother source, could  
17 you?

18 A That's not correct. The bottle has a lot number on it,  
19 a manufacturer that can be traced. It was not an easy trace,  
20 no, but it can be traced.

21 MR. SLEIGH: Your Honor, we'd move the admission of  
22 Defendant's I as a prior inconsistent statement.

23 MR. NAGURNEY: Judge, I have no objection to the  
24 authenticity of the document.

25 THE COURT: Defendant's I is admitted.

1 (Defendant's Exhibit I received)

2 MR. SLEIGH: Thank you.

3 BY MR. SLEIGH:

4 Q I believe you said to Mr. Nagurney that the lab used  
5 Parafilm to cover the various containers in which solution  
6 samples were parsed out; is that right?

7 A Correct.

8 Q Did the lab ever perform stability studies to test the  
9 deterioration or alteration of the samples content over time  
10 when sealed with Parafilm?

11 A Not that I'm aware of but it's possible.

12 Q Have you ever read Rod Gullberg's article regarding  
13 uncertainty in measurements in forensic breath testing?

14 A No.

15 Q Under your tenure did the lab attempt at all to  
16 establish uncertainty measurements in the generation of  
17 simulator solution, the calibration of the DMTs or the GCUs to  
18 generate the simulator solutions?

19 A We do generate or did generate the standard deviation  
20 of solutions from the average, which is --

21 Q Is it your testimony --

22 A -- which is a slight measurement of uncertainty that  
23 what some are based on.

24 Q You have advanced degrees in chemistry; right?

25 A Yes, I do.

1 Q You understand the general concept of uncertainty  
2 measurements?

3 A Yes, I do.

4 Q You understand the ISO definition of uncertainty  
5 measurement?

6 A Yes, I do.

7 Q Did the Department of Health ever undertake an attempt  
8 to quantify uncertainty measurement in the breath alcohol  
9 program of the State of Vermont?

10 A No.

11 MR. SLEIGH: No further questions.

12 REDIRECT EXAMINATION

13 BY MR. NAGURNEY:

14 Q Mr. Kimball, with respect to Exhibit I you mentioned to  
15 the -- to Mr. Sleigh that there was a lot number that you used  
16 to trace and I wanted you to explain that more fully please.

17 A The lot number is specific that the manufacturer  
18 assigns to a specific quantity of solution that's produced at  
19 one time and tested. Each bottle, if it's 1,000 liters and it  
20 is parsed out into 1-liter bottles, each one of those bottles  
21 will have that lot number on it so that it can be traced back  
22 to their analytical protocol that determine the concentration.

23 Q And Mr. Sleigh asked you a number of questions about  
24 various certification and the accreditations for the Department  
25 of Health laboratory. Are those required by any statute or

1 regulation that the laboratory would assign it by the State of  
2 Vermont?

3 A Not that I'm aware of.

4 Q The same question with respect to measurement  
5 uncertainty. You said you calculated standard deviations but  
6 you just didn't calculate them any amount of uncertainty.  
7 Explain why.

8 A It wasn't required. When I took over the program it  
9 had been accepted practice for I think two decades that that  
10 was not a needed entity because the rules and regs did not  
11 directly address uncertainty. It was assigned that it needed  
12 to meet 10 percent; that a value assigned needed to be within  
13 plus or minus 10 percent.

14 MR. NAGURNEY: Nothing further, Your Honor. Thank  
15 you.

16 MR. SLEIGH: Just one follow-up.

17 THE COURT: All right.

18 REXCROSS-EXAMINATION

19 BY MR. SLEIGH:

20 Q You understand that the rules generated pursuant to the  
21 statute require that the DataMaster meet certain precision and  
22 accuracy criteria; is that right?

23 A Correct.

24 Q In your view did the Health Department have a duty to  
25 determine whether the machines met those criteria using

1 minimal -- minimum scientific standards?

2 A Yes.

3 MR. SLEIGH: Thank you.

4 THE COURT: Anything further?

5 MR. NAGURNEY: Nothing further.

6 THE COURT: That completes your testimony. You may  
7 step down. Thank you.

8 Mr. Nagurney?

9 MR. NAGURNEY: Amanda Bolduc, Your Honor.

10 Judge, it's worth mentioning that I expect this is  
11 going to go longer than the probably 25 minutes the Court has  
12 left in its day.

13 THE COURT: Okay. Well, we might as well get  
14 started.

15 MR. NAGURNEY: Sure.

16 AMANDA BULDOC

17 called as a witness for the State, having been duly sworn,  
18 testified as follows:

19 DIRECT EXAMINATION

20 BY MR. NAGURNEY:

21 Q Good afternoon, Ms. Bolduc. How are you?

22 A Good.

23 Q I'll ask if you will state your name for the record  
24 please.

25 A Amanda Bolduc, B-O-L-D-U-C.

1 Q And, Ms. Bolduc, how are you employed?

2 A I'm a forensic chemist for the Vermont Forensic Lab.

3 Q And where were you employed prior to that?

4 A The Vermont Department of Health Lab.

5 Q And how long have you been employed -- how long were  
6 you employed with the Department of Health Lab?

7 A I began there in 2005.

8 Q And what's your background and your training?

9 A I have a bachelor's degree in biology from the  
10 University of Vermont and a master's in forensic science from  
11 National University in San Diego. I also received additional  
12 training from Indiana University specifically on alcohol  
13 testing.

14 Q And when you started at the Department of Health in  
15 2005 were you -- did you begin to work with the DataMaster?

16 A I did.

17 Q And are you familiar with the DataMaster DMT?

18 A I am.

19 Q Can you explain to the Court briefly how you're  
20 familiar with the DataMaster DMT?

21 A When I began work at the Department of Health in 2005  
22 we started looking at the four different manufacturers that  
23 were available for breath testing instruments and it was part  
24 of my job as a team to work with the other analysts and  
25 determine which of those four manufacturers would have an

1 instrument that would be suitable for the lab to purchase. In  
2 2006 we decided that the DataMaster DMT would be the instrument  
3 that we purchased and then it became my position as the lead  
4 analyst for the breath program to actually test the DataMaster  
5 DMTs and to work with the other analysts to make sure that all  
6 of the testing was sufficient before the instruments were  
7 deployed in 2008.

8 Q Okay. And relative to that testing is that what we saw  
9 today as Exhibit P? That was the volumes of pre -- or  
10 deployment testing performed on each of the specific DataMaster  
11 instruments.

12 A There was two sets of deployment testing. There was  
13 the first round for 2008, '9, and '10 on the instruments before  
14 they went in the field the first time after the instruments  
15 went back to Ohio where they had their new software installed  
16 and they were just recertified by the manufacturer. When they  
17 came back to Vermont they were redeployed and at that point  
18 before redeployment they went through another barrage of  
19 testing.

20 Q Okay. And tell me about this testing that you did.

21 A We did accuracy and precision checks, looking at four  
22 different levels of ethanol targeting -- to make sure that the  
23 instrument could see the ethanol at these different target  
24 values, that the instruments were linear so that they weren't  
25 biased one direction or the other at either the high end or the

1 low end.

2 We did interferent testing to ensure that the  
3 instruments were targeting specifically for ethanol and not any  
4 other chemical. We tested to make sure that the -- the alcohol  
5 profile that the instrument reported was appropriate. So, if  
6 there was a negative slope the instrument would identify that  
7 properly and would abort the test. We tested to make sure that  
8 the instrument identified radio frequency appropriately and  
9 would abort a test. We tested the software in the instrument  
10 to make sure that the user interface was how we designed it to  
11 be.

12 Q So, let's talk about accuracy and precision testing.

13 A Sure.

14 Q And the point of the testing was to see whether the  
15 instrument -- that being the DataMaster DMT -- was compliant  
16 with the DOH, now Department of Public Safety, regulations;  
17 correct?

18 A Yes.

19 Q So, tell me about the testing that the Department of  
20 Health did or that you did at Department of Health to ensure  
21 that the DataMaster DMT instruments met the regulation  
22 regarding precision.

23 A We looked at four different concentrations and actually  
24 on some of the instruments even five concentrations of alcohol  
25 over a range of .02 all the way up to .40 and we analyzed the



1 instrument 10 times for each repetition so you would look at  
2 each of the four concentrations; 02, 08, 16, and 40. Each of  
3 those solutions would be analyzed 10 times for all four  
4 solutions and that would be considered one set of linearity  
5 data. That was done on some of the instruments as many as 10  
6 times.

7 On some of the instruments we saw that they were  
8 quite linear and that their accuracy was so good that we just  
9 decided that five linearity tests was sufficient on the later  
10 batches of instruments.

11 MR. NAGURNEY: Okay. And I should catch myself here  
12 and make sure for the record.

13 Your Honor, I will be offering Ms. Bolduc as an  
14 expert in the DataMaster DMT instrument.

15 THE COURT: Mr. Sleigh?

16 MR. SLEIGH: No objection.

17 BY MR. NAGURNEY:

18 Q And if you could tell --

19 THE COURT: She is so qualified.

20 MR. NAGURNEY: Thank you, Your Honor.

21 BY MR. NAGURNEY:

22 Q If you could tell Her Honor briefly how many times  
23 you've testified in your capacity as an expert on the  
24 DataMaster DMT?

25 A Over 1,000.

1 Q So, before I interrupted you I was asking you about the  
2 various testing and you had stated you tested in 02 and 08 of  
3 16 and several other levels.

4 A Yes.

5 Q When you talk about these 02, 08, 16 and other levels  
6 what -- are those the reference solutions that Ms. Arvizu was  
7 referring to?

8 A Yes.

9 Q And tell me how often each reference solution was  
10 tested.

11 A Each one -- when you had an 02 solution you would  
12 analyze that 10 times and that would be one accuracy and  
13 precision check. You would then go on to the next higher  
14 concentration and once you've had all four that would be  
15 considered one linearity. Each instrument had to do at least  
16 five linearity sets and that -- that was then done on every  
17 single instrument.

18 The solutions themselves were characterized by GC, so  
19 they were analyzed 28 times before we assigned a target value  
20 and it as that target value that we then analyzed the  
21 DataMaster against.

22 Q Okay. So, you characterized the reference solution on  
23 each --

24 THE COURT: I'm sorry. That was --

25 MR. NAGURNEY: Sure.

1 THE COURT: -- a little fast.

2 THE WITNESS: Sorry.

3 THE COURT: Go through that again please.

4 THE WITNESS: Sure. You would make the solution. We  
5 would make a batch of simulator solution at a target  
6 concentration; for example, .02. It would be analyzed 28 times  
7 on the GC. So, over two different days over at least two  
8 different analysts each one would have to prepare seven  
9 replicates and that would be analyzed on two different  
10 calibrations.

11 So, you would have a total of 28 aliquots of that  
12 solution. You would analyze that by GC and the average of all  
13 of those would then give you the target value of the solution.  
14 That solution would then be analyzed by the DataMaster.

15 BY MR. NAGURNEY:

16 Q So, when you say that you were making these solutions,  
17 these reference solutions that you were then verifying on the  
18 gas chromatograph, explain the process of actually making them.

19 A The solutions would be made either in one, two, or  
20 five-liter batches as far as the linearity solutions, the 02,  
21 08, 16, and 40. So we would make a container of it. It would  
22 be in a five-liter, one-liter, or two-liter batch. It would be  
23 Class A volumetric glassware. Sometimes we would estimate the  
24 concentration volumetrically.

25 So, you would measure using pipettes and add the

1 alcohol volumetrically and then analyze it by GC.

2 Sometimes we would weigh the ethanol and determine  
3 how much to add based on weight, so gravimetrically. But the  
4 eventual target concentration was based on the GC result, not  
5 on the estimated amount of alcohol that was added in the  
6 beginning.

7 Q So, if I'm understanding you correctly, I want to make  
8 sure that I am, the gas chromatograph value was what was used  
9 to verify that the reference solution was what you were testing  
10 it at?

11 MR. SLEIGH: Objection, Your Honor. I don't think  
12 that's what she said.

13 THE COURT: Could you rephrase the question?

14 MR. NAGURNEY: Sure.

15 BY MR. NAGURNEY:

16 Q You used the gas chromatograph test to be certain that  
17 you had created the proper volumetric or gravimetric  
18 concentration ethanol solution?

19 A We use the GC to determine what concentration it was  
20 that we had made. We would estimate our target either  
21 volumetrically or gravimetrically but we based the target value  
22 on the GC result.

23 Q So, why did you use the gas chromatograph?

24 A It's pretty much the gold standard for alcohol testing.  
25 That's how we test all the blood results. We have put in place

1 our quality control checks so we have a lot of faith in the  
2 results that come out of the instrument; especially when you  
3 analyze it 28 times it gives you a very high level of certainty  
4 when you calculate your results.

5 Q Okay. Tell me about the quality control checks that  
6 are in place on the gas chromatograph.

7 A The instrument is calibrated prior to use. we run an  
8 external quality control sample with that. So, we would get a  
9 sample from a reference lab that would be certified. It would  
10 come with a certificate of analysis. So, we would analyze that  
11 quality control check at the time of --

12 THE COURT: I'm sorry. Slow down again. I'm not  
13 quite sure what you're talking about.

14 THE WITNESS: When you run the GC you run a whole  
15 bunch of samples. So, the auto sampler, it would hold about 60  
16 samples. So, the first set of samples on there is your  
17 calibration. So, we would calibrate the instrument, show it  
18 different concentrations of ethanol and tell it what those  
19 different levels are and then look at the response at those  
20 levels to determine the performance of the instrument.

21 We would get an external quality control sample from  
22 a vendor that would come certified to the lab where we would  
23 analyze that quality control check against our calibration to  
24 make sure that the instrument was performing appropriately. We  
25 would also run CCS samples or continuing check standards. So,

1 these are samples that are made in the lab but they're just an  
2 ongoing quality control check. Every 10 samples you analyze  
3 the CCS to make sure that your instrument is still functioning  
4 properly throughout the run.

5 The blood alcohol programs of the GC instrument is --  
6 actually there are PT samples that come in -- proficiency  
7 samples that come in that we analyze and the instrument has to  
8 pass. Every analyst in the lab has to run at least one  
9 proficiency a year on the GC.

10 That way we know that our lab as a whole -- that  
11 instrument is working properly. So, this -- these quality  
12 control checks ensure that our GC was meeting all of these  
13 appropriate standards.

14 BY MR. NAGURNEY:

15 Q And you were here when Mr. Sleigh introduced Exhibit Q?  
16 That was the docu -- that was the information he obtained from  
17 Mr. Kimball from the Department of Health lab about the gas  
18 chromatograph.

19 A Okay.

20 Q And then after Exhibit Q we did, I believe it was R and  
21 S, and those were the certificate of analysis and then some of  
22 the solution preparation logs for the gas chromatograph?

23 A Yes.

24 Q Do the documents taken from Exhibit Q that are found in  
25 Exhibits R and S reflect the total universe, for lack of a

1 better word, of data available about the quality control  
2 measures your -- the Department of Health laboratory took with  
3 the gas chromatograph?

4 A No.

5 Q What's missing from those?

6 A The PT result data from our blood alcohol PTs. The --

7 Q And does the lab keep that?

8 A Yes, it does. The balance information. Our balance is  
9 calibrated every year. The pipettes are calibrated every year.

10 Q Did you hear Ms. Arvizu's concern about your pipe --  
11 the pipettes the laboratory used?

12 A Yes.

13 Q And is she correct in that concern?

14 A No. Our pipettes are just -- are used appropriately  
15 and they are maintained appropriately.

16 Q And how -- what's the appropriate use and maintenance  
17 for the instrument?

18 A Ours are calibrated annually by a certified pipette  
19 calibration company.

20 Q And was that documentation kept by the laboratory?

21 A Yes.

22 Q But was it included in Exhibit Q?

23 A No.

24 Q And did Ms. Arvizu request it?

25 A I don't know.

1 Q So, you mentioned the balances. How many balances are  
2 in the organic chemistry run?

3 A One.

4 Q And Mr. Kimball that that balance was reviewed  
5 annually?

6 A Yes.

7 Q And records were kept?

8 A Yes.

9 Q So, he's correct in those statements?

10 A Yes.

11 Q So, I'm sorry, I keep interrupting your breakdown.  
12 What you're saying is that you were -- essentially I believe  
13 there was a point where you were saying the quality control  
14 processes the laboratory kept in place on the gas  
15 chromatograph?

16 A Yes.

17 Q And the gas chromatograph was used to establish a  
18 variable -- excuse me -- a value for the reference solutions in  
19 the DataMaster DMT?

20 A Yes.

21 Q And then, so I've covered precision testing and you've  
22 talked about a linearity curve. And I wanted to actually -- if  
23 you're okay with me taking this --

24 MR. SLEIGH: Yeah. Sure.

25 MR. NAGURNEY: It's part of Exhibit B.



1 BY MR. NAGURNEY:

2 Q So, Ms. Bolduc --

3 MR. NAGURNEY: If I may approach the witness, Your  
4 Honor?

5 THE COURT: Yes.

6 BY MR. NAGURNEY:

7 Q I will give you a -- this document I'll represent to  
8 you as part of Exhibit P and it's the deployment testing, for I  
9 believe it's Instrument 104009.

10 A Yes.

11 Q And ask you to open that document and if you can just  
12 illustrate for the Court where the portion of the testing  
13 dealing with the precision is contained in that test report.

14 A Both the accuracy and the precision, there is a  
15 spreadsheet on -- I think it's like the fifth page in here that  
16 shows the linearity testing which documents the accuracy and  
17 precision of the instrument

18 Q And was it the practice of the lab to write any  
19 information regarding traceability on the face of the testing  
20 documents?

21 A No.

22 Q How was that information typically accumulated?

23 A In this particular format when we did the deployment  
24 packages, for the redeployment packages we started implementing  
25 a spreadsheet which would document when the testing was done

1 and who did it and the dates. There are log sheets that will  
2 correspond with the particular lot numbers of simulator  
3 solutions on those dates, which instruments they were run on.

4 If you go to the specific accuracy and precision  
5 report that would have been printed by the DataMaster it will  
6 tell you which lot number of solution was analyzed and the  
7 target concentration and who was performing the analysis.

8 Q So each testing volume for each DataMaster instrument  
9 contains that information?

10 A Yes.

11 Q So, I'll ask you the same question again with respect  
12 to the accuracy regulation. How did the Department of Health  
13 laboratory when you were there test for the accuracy  
14 regulation?

15 A We would run accuracy and precision checks on each  
16 instrument. At the time of calibration the instrument was held  
17 to a 3 percent margin of error or 3 percent accuracy. So, we  
18 would analyze -- or we would calibrate the instrument and then  
19 run a calibration check, which is an accuracy and precision  
20 check using a .1 concentration of ethanol. It had to read that  
21 target concentration within plus or minus 3 percent. So, it  
22 was held to a 3 percent accuracy at the time of calibration.

23 We would then run a certification on the instrument  
24 where we would look at a whole range of concentrations from .02  
25 as high as a .40 and ensure that the instrument could see

1 within plus or minus 10 percent at each one of those  
2 concentrations. And, in fact, we held it to plus or minus 5  
3 percent at everything at a .08 or above.

4 Q So is that what Mr. Kimball was talking about when he  
5 spoke about the difference between the in-house and out-of-  
6 house standards?

7 MR. SLEIGH: I'd object as to her opinion of what it  
8 was Kimball was saying.

9 BY MR. NAGURNEY:

10 Q Well, you heard Mr. Kimball's testimony?

11 A Uh-huh.

12 Q And did he correctly say that the Department of Health  
13 held the instrument to a higher standard for its internal  
14 testing --

15 A Yes.

16 Q -- than was required by the regulations?

17 A yes.

18 Q Each instrument is tested for accuracy?

19 A Yes.

20 Q So, Ms. Arvizu testified that she had concerns that the  
21 storage of the reference solutions that your laboratory created  
22 may have been inadequate. Tell the Court how you stored those  
23 reference solutions.

24 A Once a simulator solution is prepared it would be  
25 transferred to a 500-milliliter Nalgene bottle with a screw top

1 that was then sealed with Parafilm. We assign them a one-year  
2 expiration date. We actually had talked about possibly doing  
3 two-year because there was a study that we had looked at that  
4 Debowski (phonetic) had done where they had actually taken  
5 26-year-old simulator solution that had been sealed in a bottle  
6 and they analyzed it and depending on the concentration, some  
7 of the higher concentrations had degradation, but anything  
8 around the .1 level, which is what most of our solutions were  
9 at, didn't show significant degradation after 26 years.

10 So, we chose one year just so that we could, you  
11 know, have a regular turnover of our simulator solutions. But  
12 one year in a sealed bottle there is no degradation.

13 Q And what's Parafilm?

14 A Parafilm is just like a waxy tape that we would use to  
15 seal the bottle shut.

16 MR. NAGURNEY: If I may approach to have an exhibit  
17 marked, Your Honor?

18 THE COURT: Yes.

19 MR. SLEIGH: Thank you.

20 BY MR. NAGURNEY:

21 Q Ms. Bolduc, I'll show you what the Court has marked for  
22 identification as State's Exhibit 1 and I'll ask you if you  
23 recognize that document?

24 A I do.

25 Q Who prepared that document?

1           A    This was prepared by a chemist for the forensic lab and  
2 there was also some information that I had put on here as well.

3           Q    And you've reviewed the contents of the document?

4           A    I have.

5           Q    And does the document fairly and accurately depict the  
6 information it contains?

7           A    Yes.

8           Q    And why did you compile that document with the  
9 assistance of your colleague?

10          A    This document was put together just for ease of finding  
11 lot numbers.  So, it shows which instrument, the instrument  
12 number, and which lot numbers of solution were analyzed on that  
13 instrument.

14          Q    And the document contains a number of columns on it; is  
15 that correct?

16          A    Yes.

17          Q    And is there a column for each DataMaster instrument?

18          A    Yes.

19          Q    And then below the list of DataMaster instrument  
20 numbers we'll see a series of three-digit -- excuse me --  
21 six-digit numbers; for example, 11-37-100, and then the  
22 calibration linearity, and a bunch of -- are those lot numbers  
23 that are listed?

24          A    Yes.

25          Q    And does that document illustrate that each DataMaster

1 instrument was tested with all those various lots?

2 A Yes.

3 Q Okay. Now let me ask you, for each of those various  
4 lots of reference materials that were used to test each  
5 DataMaster instrument, which quality control checks did you  
6 perform?

7 A For the lot of the solution?

8 Q Uh-huh.

9 A It would depend on the situation. For some of the  
10 instruments I would have done everything from preparing the  
11 solution right up to testing the instrument. Solutions were  
12 made by other analysts, instruments were analyzed by other  
13 analysts but I would have done some of --

14 Q All right.

15 A -- some of these.

16 Q So, you had standard operating procedures in place at  
17 the Department of Health lab?

18 A Yes.

19 Q And you are familiar with them?

20 A I am.

21 Q And they affected and controlled the preparation of  
22 those referenced -- the lot numbers of reference material that  
23 were used for testing?

24 A Yes.

25 Q So, every single lot number on that exhibit, State's 1,

1 is in fact something that was tested according to standard  
2 procedure by the lab?

3 A Yes.

4 MR. NAGURNEY: I'd offer State's Exhibit 1, Your  
5 Honor, if I haven't already.

6 MR. SLEIGH: No objection; understanding it's a  
7 summary I guess.

8 MR. NAGURNEY: Yeah. I guess, Your Honor, my  
9 intention was to summarize that in all of Exhibit P, which is,  
10 you know, each -- the pre-deployment testing for each  
11 instrument, those various lot numbers from the Department of  
12 Health laboratory were used in the testing. But it's a link  
13 between Exhibit P and Q.

14 THE COURT: All right.

15 MR. NAGURNEY: Although, Your Honor, if I may just --  
16 with Mr. Sleigh, if you'll confirm as much, when Mr. Sleigh  
17 initially retrieved the documents that were Exhibit Q from Mr.  
18 Kimball I don't think we had aggregated all of the cases into  
19 this hearing that are now in it.

20 So Exhibit Q is really only -- I think he'll agree,  
21 is really only somewhat illustrative of the lot numbers that  
22 were tested because he -- in November when he retrieved the  
23 documents to Exhibit Q he didn't have the benefit of knowing  
24 that additional lot numbers involving additional instruments  
25 were still out there.

1 So, if that makes sense?

2 THE COURT: Do you agree with that?

3 MR. SLEIGH: I do.

4 THE COURT: Okay. State's 1 is admitted.

5 (State's Exhibit 1 received)

6 THE COURT: This might be a good breaking point. I  
7 think everyone is going to have a lot of packing to do. So, we  
8 will continue tomorrow.

9 And, just, can you give a general idea of what you  
10 expect as far as use of time?

11 MR. NAGURNEY: Your Honor, I have a few more  
12 questions for Ms. Bolduc just because she's really the  
13 institutional memory link between the Department of Health and  
14 the Department of Public Safety Program.

15 And then I'm going to call Ms. Conti who is the  
16 forensic toxicologist who is involved with the oversight of  
17 this program now that it's under DPS supervision. And I would  
18 expect her testimony will take not as much time as Ms. Arvizu's  
19 because Ms. Arvizu has sort of done some of the groundwork for  
20 the concepts we're talking about, but I would expect probably  
21 an hour of testimony from her tomorrow.

22 THE COURT: All right. And, Mr. Sleigh, do you have  
23 any projections?

24 MR. SLEIGH: Eight to twelve inches.

25 THE COURT: Okay. We'll continue tomorrow.



1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

MR. SLEIGH: Thank you.


THE CLERK: All rise.

(Proceedings adjourned at 4:27 p.m., recommencing on  
February 2, 2013 at 9:00 a.m. in Volume II)

CERTIFICATION

I, Karen Samcoe, a court approved proofreader, do hereby certify that the forgoing is a correct transcript from the official electronic sound recording of the proceedings in the above-entitled matter, to the best of my professional skills and abilities.

TRANSCRIPTIONIST(S): ANNA MARIA LEON, CET\*\*D-684; MARIA T. VONDRAS; LINDA M. LYNN; SALLY A. BLAIS, CET\*\*D-666; LIESL SPRINGER, CET\*\*D-685; MISTY PETO



---

KAREN SAMCOE

---

FEBRUARY 23, 2013