

IN THE SUPERIOR COURT OF THE STATE OF WASHINGTON

IN AND FOR THE COUNTY OF KING

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

)	
STATE OF WASHINGTON,)	
)	
Plaintiff,)	
)	
v.)	Cause No. 17-1-03475-1 SEA
)	
KYLE GRISSOM,)	
)	
Defendant.)	
)	
)	

MOTION HEARING

The Honorable Sean P. O'Donnell Presiding

September 28, 2018

Transcribed by: Sara L. Kern, CET
 Reed Jackson Watkins
 206.624.3005

A P P E A R A N C E S

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

On Behalf of Plaintiff:

E. BRADFORD BALES
King County Prosecuting Attorney's Office
516 3rd Avenue
Seattle, Washington 98104-2390

On Behalf of Defendant:

MARK BRUNS MIDDGAUGH
Northwest Defenders Association
10 2nd Avenue Suite 250
Seattle, Washington 98104-1765

E X A M I N A T I O N I N D E X

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

PAGE

WITNESS: JEANINE ARVIZU

Direct Examination by Mr. Middaugh.....	4
Cross-Examination by Mr. Bales.....	33
Redirect Examination by Mr. Middaugh.....	50

FOR THE DEFENDANT:

NO.	DESCRIPTION	EVID
2	Washington State Patrol Toxicology request for analysis form	11
3	Becton Dickinson certificate of compliance	11
4	Sequence list	27
5	Volatiles work list, traceability form	27
6	Document produced by NIST	27
7	No description given	4
8 - 11	No description given	33

-o0o-

September 28, 2018

1
2
3
4 THE COURT: Yeah, let's do that in written form. If --
5 why don't you put that -- 7?

6 MR. MIDDAUGH: 7 would be next in line, yes.

7 THE COURT: And then any objection from either side on me
8 admitting 7?

9 MR. MIDDAUGH: Not for the purposes of this hearing.

10 THE COURT: Okay. 7's admitted. You can hand -- if you
11 can hand it back up to me so I can look at it, please.

12 (Defendant's Exhibit No. 7 admitted into evidence.)

13 THE COURT: All right. You may proceed with your
14 questions.

15
16 JEANINE ARVIZU: Witness herein, having first been
17 duly sworn on oath, was examined
18 and testified as follows:

19
20 D I R E C T E X A M I N A T I O N

21 BY MR. MIDDAUGH:

22 Q. Ms. Arvizu, what do you do for a living?

23 A. I'm a chemist who works as an auditor in the field of
24 measurement quality.

25 Q. Do you have any educational background or experience related

1 to that field?

2 A. I have both. About -- approaching 40 years of experience,
3 and a bachelor of science in biochemistry from Cal Poly in
4 San Luis Obispo; "ABD" in chemistry from the University of
5 New Mexico. That's not a degree. It's "All But
6 Dissertation." It means I completed all the graduate-level
7 coursework and all the qualifying exams for admission to
8 candidacy for a Ph.D., but did not defend my dissertation.

9 Q. And was that because there was a problem with your
10 dissertation, or --

11 A. No, that's because I was working, and I would have had to
12 quit my job and move back to New Mexico to continue.

13 Q. So you went the professional track.

14 A. Yes.

15 Q. How many labs, ballpark, have you audited?

16 A. I've audited the work of -- oh, gosh -- many, many labs. In
17 person, I've done dozens of audits, and I've audited the
18 work product of probably hundreds of labs throughout the
19 country, throughout the world.

20 Q. Have you ever contracted with the United States government?

21 A. Yes. I served as program manager for the U.S. Navy's
22 quality program that audited the government and commercial
23 labs that do analytical work for the Navy. And then once
24 the lab's approved, evaluated the work and assessed the work
25 on an ongoing basis to make sure it met standards, and if it

1 didn't, I'd let the Navy know so they didn't pay for it.

2 Q. Do you teach other auditors how to do their jobs?

3 A. I have trained audit teams how to do their job. I've taught
4 field people how to collect samples. I've taught
5 bench-level chemists on good lab practices. And in recent
6 years, I have been providing training in the legal community
7 on forensic quality issues to defense lawyers, prosecutors,
8 and to groups of judges.

9 Q. And have you published papers related to the field of
10 auditing labs and best lab practices?

11 A. I wrote the standard that the Navy used as the basis for its
12 evaluation. I have presented on the field of forensic
13 quality at national and international conferences.

14 Q. Have you testified in court regarding audit practices or lab
15 practices?

16 A. The last time I counted, it was more than 250 times.

17 Q. Does that include testifying twice already today?

18 A. Twice already today.

19 Q. And have you been -- testified in Washington state?

20 A. Yes, I have.

21 Q. And how many times, if you could ballpark?

22 A. I'm really not sure. A bunch of places: Bellevue;
23 Bainbridge Island in a case for the federal public defender;
24 here in Seattle; a bunch --

25 Q. Have you testified in this building before?

1 A. I have. Not on this floor, but on this building.

2 Q. And have you qualified or been accepted in court as an
3 expert in lab practices?

4 A. Yes.

5 Q. And --

6 A. Most of them dealt with lab practices. A few were only
7 sampling. Many of them were both sampling and lab.

8 Q. And is testifying regarding blood testing practices
9 something that you've done before?

10 A. Yes, many dozens of times.

11 Q. In preparing for your testimony today, did you preview the
12 Washington State Patrol toxicology lab case file related to
13 the testing of Mr. Grissom's blood?

14 A. Yes.

15 Q. Approximately how many pages is a case file?

16 A. What the laboratory terms their case file is typically only
17 dozens of pages, but the supporting information that's the
18 basis for the scientific conclusions is much more lengthy.
19 And I routinely receive thousands of pages from the
20 Washington State Patrol tox lab in any given toxicology
21 case.

22 Q. Did you identify --

23 THE COURT: Are they the same pages? I mean, is it just
24 the same boilerplate? Or are they sending you thousands of
25 individualized pages for an individual case?

1 A. Typically dozens of pages are individual. The rest are
2 essentially applied to all the samples in the lab at that
3 period of time.

4 THE COURT: So like manuals and the like?

5 A. Manuals, temperature monitoring records, calibration records
6 for equipment, that kind of thing.

7 THE COURT: It's too bad they keep it and then to send it
8 to you in bulk, but, okay, thank you.

9 Q. (By Mr. Middaugh) I want to get directly to the analysis
10 that was done of Mr. Grissom's blood. First off, just as a
11 clerical matter, did you write a report related to your
12 analysis of how the lab analyzed Mr. Grissom's blood?

13 A. Yes, I did.

14 Q. I'm going to come forward with what's previously been marked
15 as Defense Exhibit 1. Would you please take a look at this
16 document?

17 Do you recognize that document?

18 A. Yes, that's the report I wrote.

19 Q. Okay. I'm going to ask you to flip it over and testify from
20 memory, but I'm going to ask that Ms. Arvizu be allowed to
21 have it present in case she needs to refresh her
22 recollection as to any point.

23 THE COURT: Yup.

24 MR. MIDDAGH: I am not seeking to admit it at this time;
25 just marked for identification.

1 THE COURT: Okay.

2 Q. (By Mr. Middaugh) As part of your analysis, did you receive
3 any information about the blood vials in this case?

4 A. I received some information, yes.

5 Q. I'm going to show you what's been previously marked as
6 Defense Exhibit 2, which is the Washington State Patrol
7 Toxicology request for analysis form. Do you recognize this
8 document?

9 A. I do. This is part of the case file.

10 Q. And was there anything that was unusual about the notations
11 on Defense Exhibit 2?

12 A. Yes. There is an issue on the right side of the page in the
13 column for laboratory use only where it describes the
14 specimens received.

15 Q. And what was unusual about the results that were recorded
16 there?

17 A. In this case, there were two separate tubes of blood that
18 were included in the specimen. A -- they're identified as A
19 and B. And the A tube was described as containing
20 approximately 6 milliliters of blood. The B tube was
21 described as containing only 4 milliliters of blood.

22 Q. And why is that significant?

23 A. This particular tube -- and I know what type of tube it is
24 because there is a photograph of the tube in the
25 discovery -- is a tube that's manufactured by a company

1 called Becton Dickinson. And it's the only tube in the
2 United States that's certified for use in blood alcohol
3 testing specifically.

4 And under standards, consensus standards published by the
5 scientific community, when you use a manufacturer's device
6 such as this to collect a specimen, you're required to
7 comply with the manufacturer's instructions, because those
8 are the conditions under which their certification is valid.

9 In this case, the manufacturer and consensus standards
10 require that the blood tubes be completely filled.

11 These particular tubes have a nominal fill volume of 10
12 milliliters of blood. That is they're manufactured with
13 sufficient volume -- vacuum inside the tube to suck in 10
14 milliliters of blood. Under the manufacturer's published
15 certifications, anything less than 9.3 milliliters is
16 considered an underfilled tube.

17 Also, according to the manufacturer's instructions,
18 underfilled tubes may lead to inaccurate results. They
19 impress upon the users how important it is to ensure that
20 the tubes are completely filled before the analysis is
21 performed.

22 Q. And completely filled would be within the range of 9.3
23 milliliters to 10.7 milliliters?

24 A. That is correct.

25 Q. I'm going to show you what's previously been marked as

1 Defense Exhibit 3, the Becton Dickinson certificate of
2 compliance.

3 Do you recognize what's previously been marked as Defense
4 Exhibit 3?

5 A. I do.

6 Q. What is that?

7 A. This is the certificate of compliance that the tube
8 manufacturer produces for their blood alcohol tubes that
9 states the nominal fill volume.

10 Q. And that is related to the specific lot number of tubes that
11 were used for Mr. Grissom's blood.

12 A. That's correct.

13 MR. MIDDAUGH: And I would seek to admit Defense Exhibit 2
14 and 3 for the purposes of this hearing only.

15 MR. BALES: No objection.

16 THE COURT: 2 and 3 are admitted.

17 (Defendant's Exhibit Nos. 2 and 3 admitted into evidence.)

18 Q. (By Mr. Middaugh) So just going back to the underfilling
19 issue, why is it so significant they're underfilled? What
20 is the concern that you have as a lab auditor and as a
21 chemist when you see an underfilled tube?

22 A. Well, in addition to the fact that it doesn't comply with
23 the manufacturer's own instructions, the particular concern
24 in the case, for example, of an ethanol test is that an
25 underfilled tube is like a warning sign or an indication

1 that the tube may not have been sterile at the time it was
2 used to collect the specimen. These tubes are manufactured
3 so that the inside of the tube is sterile. And there's a
4 vacuum inside.

5 If, however, the tube is allowed to get -- while it's
6 empty, it's allowed to get too cold or too hot and it leaks,
7 then when air leaks in, microbes come along with the air,
8 it's no longer sterile, and also there's no longer enough
9 vacuum to suck in 10 milliliters of blood.

10 So the presence of an underfilled tube is a warning sign
11 that the tube may have been unsuitable for use at the time
12 it was originally used.

13 Q. And that could lead to the inaccurate reporting of ethanol
14 results by a lab?

15 A. Correct.

16 Q. And you said that this is kind of generally accepted
17 standards, that you need to completely fill the tube. Who
18 issues those types of standards?

19 A. Those are issued by the Clinical & Laboratory Standards
20 Institute, CLSI. Those are consensus standards that are
21 used nationally and internationally for blood collection,
22 collection of vena puncture samples. And they make it clear
23 that you fill the tubes completely until the vacuum is
24 exhausted. And they also require that you comply with the
25 manufacturer's specific instructions for -- in the

1 individual type of tube, because there's different types of
2 tubes for different purposes.

3 THE COURT: So which of those factors would compromise the
4 alcohol rating? The microbes' lack of sterility, the
5 absence of a vacuum, how do those intersect with a false
6 positive?

7 A. Good question. The false positive can arise because when
8 microbes come into a specimen, there are certain microbes
9 that we know, a normal metabolic process is that they can
10 act on the blood in a tube. So if you collect a sample into
11 a tube that's not sterile, you -- either the tube itself is
12 already not sterile, or the collection site where you
13 inserted the needle was not sterile.

14 THE COURT: In someone's arm?

15 A. In someone's arm.

16 THE COURT: Is the arm ever going to be completely
17 sterile?

18 A. Well, it's -- exactly. It's never going to be completely
19 sterile.

20 THE COURT: Okay.

21 A. We try to do what's called an aseptic collection to minimize
22 the amount of microbes present. But microbes can still be
23 introduced. The problem is that we all have glucose in our
24 blood, and the particular microorganism that we're concerned
25 about for an ethanol test is call Candida albicans. It's a

1 yeast. There is bacteria on our skin, and there's yeast on
2 our skin. This particular one, *Candida albicans* is the
3 most -- it's very, very common. It's on all of our skin.

4 If *Candida albicans* is introduced to a blood specimen,
5 there's glucose in that blood, that -- if it's kept at room
6 temperature, that creates the conditions under which the
7 yeast can grow, feed on the glucose, and ferment it into
8 ethanol and create ethanol in the tube that was not present
9 at the time the sample was collected. And there's no way
10 the lab can tell the difference between ethanol that was
11 there at the time of collection and ethanol that was created
12 during fermentation.

13 Turns out that the additives in these tubes work very well
14 against a bacteria. That is a different kind of microbe.
15 So if bacteria's introduced, they do a pretty darned good
16 job of protecting against it, but this preservative doesn't
17 work at all against yeast.

18 The one thing that's essential for that process to happen
19 is the tube can't be refrigerated. If the tube is
20 refrigerated at all times between collection and testing,
21 then microbes can't be active, because refrigeration is the
22 best tool that we scientists have to protect against
23 microbial activity.

24 So if we had records showing in this case that the
25 specimen was refrigerated between March 1st and March 6th

1 when it was received by the laboratory, then there would not
2 be a concern as to the process of fermentation occurring in
3 the tube.

4 THE COURT: Is the presence of alcohol only a byproduct of
5 yeast, of the presence of yeast?

6 A. It is not. There is another byproduct, which is carbon
7 dioxide. But it doesn't create enough carbon dioxide for it
8 to be visible in the same way that it is when you pop the
9 top on a carbonated drink. So there are no -- there are no
10 visual clues to the fact that fermentation may have occurred
11 in a specimen.

12 THE COURT: So are there other ways to test for the
13 presence of CO2?

14 A. Not CO2. You could actually test for the presence of the
15 microorganisms. You could test for Candida.

16 THE COURT: Okay.

17 A. I don't know of any forensic laboratory that has that
18 capability. But it certainly could be done.

19 THE COURT: What? The testing for the microorganisms.

20 A. Correct.

21 THE COURT: Okay. Taking over your witness. Go ahead,
22 Mr. Middaugh.

23 MR. MIDDAGH: No problem.

24 Q. (By Mr. Middaugh) And I just want to understand relative,
25 you know, 9.3 to 10.7 is what the manufacturer recommends.

1 Correct?

2 A. That's what its spec is. It -- that's their manufacturing
3 spec, yes.

4 Q. So it's not just a recommendation. It's a -- it's their
5 specification for how much blood is supposed to be in the
6 tube.

7 A. That's correct.

8 Q. And it's not uncommon when you're doing cases, auditing
9 labs, to see blood containers that are slightly below 9.3 or
10 slightly above 10.7?

11 A. Generally, when people estimate blood volumes, they estimate
12 only to one significant digit, one number. So you'll see a
13 lot of times, the number is 9. And that's perfectly
14 acceptable.

15 It's quite uncommon to see numbers that are this low, 6
16 and 4. This is significantly low.

17 Q. And the unusualness of those numbers being that low, does
18 contain a significant indication that there might have been
19 a problem with the seal of one or both of those tubes.

20 A. It appears both. That would not be unexpected under the
21 circumstances. These tubes, the most likely cause for them
22 leaking and not having a tight seal is that they were not
23 stored at the proper temperature. They're supposed to be
24 stored empty at between 39 and 77 degrees Fahrenheit. So if
25 they get too cold or if they get too hot, then they can

1 leak. Typically, they're packaged in boxes of two in a
2 blood kit, and so typically they will experience similar
3 temperatures, the two tubes. So having them both be
4 underfilled would not be inconsistent with having had
5 adverse temperature effects.

6 Q. If you were auditing a lab and you saw that they were
7 attempting to issue a finding with regards to an ethanol
8 concentration in blood to three significant digits using
9 tubes that were filled to only 4 and 6 milliliters under
10 these circumstances, would you consider that report or
11 result to be valid?

12 A. It would not be a valid representation of the person's blood
13 at the time it was collected. It might be valid
14 representation of what was in the tube at the time it was
15 received.

16 Q. But not what was necessarily --

17 A. But not necessarily what was in the person at the time it
18 was collected.

19 Q. I want to turn next to the calibrator solutions and some of
20 the issues that you identified regarding the calibrator
21 solutions in this case.

22 A. Okay.

23 Q. So first of all, what is a calibrator solution?

24 A. A calibrator solution is a reference material. It's a known
25 solution of ethanol in water that is -- it's prepared in a

1 water matrix, and it has a known concentration of ethanol.

2 It's used -- when it's used as a calibrator, it's used
3 essentially to teach the instrument, to teach the method how
4 much ethanol gives rise to how big of a signal. So you're
5 developing a relationship between the quantity of ethanol
6 and the size of the signal produced by the instrument.

7 Q. Why is it important in the gas chromatography testing that's
8 performed by the toxicology lab for the presence of alcohol
9 in blood?

10 A. Because it's the basis for the quantity. It doesn't affect
11 the qualitative analysis, the identification of ethanol, but
12 it is the basis for how we determine the quantity of ethanol
13 in a sample.

14 Q. What is a certified reference material?

15 A. There are international standards for certified reference
16 materials. They are materials that have been produced by a
17 competent, qualified provider that have a known
18 concentration that's suitable for use, for example, in
19 calibrations. They're high-purity materials. In practice,
20 the ones used for ethanol and for drug testing and
21 toxicology are provided in little glass ampules. They're
22 sealed. You don't unscrew the lid to open them. They have
23 a little narrow neck, and you'll actual break off the glass
24 to access the inside. They've been packaged in these sealed
25 glass ampules under an inert gas so they would be nice and

1 stable because they're very high purity of known origin.
2 They're produced by people that are accredited specifically
3 to produce reference materials. There are labs that are
4 accredited to do testing, labs that are accredited to do
5 calibration. These are accredited specifically to produce
6 these certified reference materials.

7 Q. And to be clear, the Washington State Patrol lab uses
8 certified reference materials for all of their drug testing.
9 Is that right?

10 A. That is correct.

11 Q. But they don't do it for alcohol.

12 A. That is correct. They --

13 Q. Do you have any idea why not?

14 A. I wonder that a lot. Labs all around the country use
15 certified reference materials to calibrate their instruments
16 for ethanol. This is one of a handful of laboratories that
17 has chosen to prepare their own calibrator solutions.

18 Q. Why is it significant that they prepare their own and not
19 use a certified reference material?

20 A. There are limited provisions under which that is acceptable.
21 This is an accredited lab. They're required to follow this
22 international standard, ISO 17025, as a condition of their
23 accreditation. And guidance from their accrediting agency
24 explains in general that if you're making a traceable
25 measurement, which they're required to do for ethanol, then

1 you should use a certified reference material.

2 They give -- they have a little asterisk, a little note
3 where they have a separate provision where, if a certified
4 reference material is not available, for example, and if you
5 choose to make your own, you can do it, but it must be done
6 in a metrologically manner. Essentially, you impose on the
7 laboratory the same kind of requirements that are imposed on
8 an accredited producer to use what we call in science
9 "primary methods" to manufacture your reference materials.

10 Q. And is one of the requirements of producing a valid
11 calibrator solution -- or basically a "make your own"
12 reference material -- contemporaneous documentation?

13 A. It is.

14 Q. And after reviewing the analysis that was done on
15 Mr. Grissom's blood, do you believe that the Washington
16 State lab contemporaneously documented the calibrator
17 solution that they prepared?

18 A. No. The records in this case were not contemporaneously
19 documented as required.

20 Q. I'm going to show you what have been previously marked as
21 Defense Exhibit 4 and Defense Exhibit 5. I'm going to hand
22 you Defense Exhibit 4.

23 Do you recognize that document?

24 A. I do. This is what's known as a sequence list. It includes
25 a sequential list of all the individual items, samples, in

1 the batch that included Mr. Grissom's sample.

2 Q. I'm going to show you what's been marked as Defense
3 Exhibit 5.

4 Do you recognize that document?

5 A. I do. This is two pages. One is a volatiles work list, and
6 the other is a traceability form. The volatiles work list
7 is prepared by the analyst when they are starting off their
8 test, starting off their batch, when they're going to start
9 opening up all the individual tubes of blood. And in that
10 process, they open an individual tube. They use a device
11 called a "diluter" to measure out a small quantity, just a
12 few drops of the blood and to measure out some internal
13 standard into a separate little vial that then gets capped
14 and labeled. And that's one of the -- they run about 75 --
15 I don't remember the precise number -- samples in a batch
16 and put them in the slot. So it's a process of sample
17 preparation where you prepare every known and unknown sample
18 in the batch at the same time, using the same equipment.

19 And you have to document what you're doing when you do it,
20 because it's too easy to mix these things up. So you need
21 to write down which lot number of the known samples you're
22 using when you're measuring them out, and you need to write
23 down the identity of the diluter, that piece of equipment
24 that you use to make that critical measurement. That's what
25 this work list is for.

1 In this case, they identified the diluter, and they
2 identified each of the unknown samples that were prepared in
3 this batch. But they don't identify any of the known
4 quality control samples or the known calibrators that were
5 used -- that were measure- -- supposedly measured out on the
6 same day, at the same time.

7 They do it properly when they do drug testing. This form
8 does include that information when this lab does drug
9 testing.

10 But when they do alcohol testing, they don't document that
11 until the next day. The next page or the second half of
12 this one page is the traceability form. That's a summary
13 form that reports the results of the testing on the knowns.
14 And that identifies the lot numbers of those known samples.

15 But the problem is that that form has results on it. It
16 doesn't even exist until the day after these samples are
17 prepared.

18 Q. So just to be clear, does the lab's accrediting agency
19 approve of how the lab is preparing and documenting their
20 calibrator solutions and documenting the -- or the form of
21 documentation that's on Defense Exhibits 4 and 5?

22 A. The international standard that's the basis for this lab's
23 accreditation requires that records be original records
24 contemporaneously generated at the time of the observation
25 or activity. You're not allowed to write down today a

1 record for what you did yesterday. You're supposed to
2 document things when they happen. That's a requirement of
3 the lab's -- of the international standard that the lab's
4 accredited to. That's also a requirement for a traceable
5 measurement.

6 THE COURT: So what's the policy issue behind that? I
7 mean, if it's the same tech doing the -- doing the
8 documentation on Day A -- or Day 1 and then Day 2?

9 A. Well, the problem is that there are lots of different
10 bottles of this stuff around. There are lots of different
11 pieces of equipment around. They're dif- -- and it's --
12 frankly, the big challenge of doing this kind of testing
13 isn't getting a number. It's getting a number where all the
14 supporting documentation is consistent and complete. The
15 actual measurement itself is pretty straightforward.

16 The challenge is when -- especially -- this is like doing
17 science on a production line and having in place real
18 rigorous systems for keeping track of this and not mixing
19 anything up. Labs across the country have had problems with
20 using -- documenting the wrong number of calibrators and so
21 forth.

22 And they do it properly for drugs. They document the lot
23 numbers on this same form for all of their drug testing.

24 I don't know why ethanol isn't done the same way.

25 Q. (By Mr. Middaugh) Okay. And this -- I'm sorry. This

1 specific lab has a toxicologist that you're aware of who has
2 had problems with some of these very types of issues.

3 Correct?

4 A. Every laboratory has challenges with these kinds of issues.
5 It's constantly a challenge.

6 Q. I want to next turn to the ethanol result in this case. My
7 understanding is that the lab produced a result of .056 for
8 ethanol. Is that your understanding as well?

9 A. Yes.

10 Q. Is that below the lowest calibrator solution that was used
11 by the lab in this case?

12 A. It is.

13 Q. And is that consistent with generally accepted scientific
14 standards?

15 A. It is not.

16 Q. I'm going to show you what's previously been marked as
17 Defense Exhibit 6. I'm going to show Mr. Bales first.

18 Do you recognize this document as an excerpt and where
19 it's from?

20 A. Yes. This is a document produced by NIST, the National
21 Institute For Standards and Technologies. In the old days,
22 it was known as the National Bureau of Standards. It's a
23 branch of the Department of Commerce. They are our national
24 metrology institute; that is all things measurement.

25 Q. So, Ms. Arvizu, does NIST direct the lab to not report

1 results that are lower than the lowest calibrator solution
2 used?

3 A. That is a generally held scientific principle that is
4 elucidated in this handbook very explicitly, that your range
5 of reliable measurement for chemistry, for an analytical
6 chemistry measurement is limited by the range of your
7 calibrators, that you should never report a result that it
8 is at a lower concentration than your lowest calibration
9 solution or higher than your highest, because the low to the
10 high represents your range of reliable measurement.

11 Q. And just to contextualize this, is this something that you
12 should know in tenth grade? Or is this something that you
13 only learn on the last day of getting your Ph.D.?

14 A. This is a sophomore chemistry founding principle. This is
15 an analytical chemistry quantitative analysis.

16 Q. Are you aware of any other labs that consistently report
17 results that are lower than the lowest calibrator solution
18 that they use?

19 A. I am not. Occasionally, labs will -- will make those
20 mistakes, will make -- will inadvertently, but not as a
21 routine practice.

22 Q. And is it your understanding that the routine practice of
23 the Washington State Patrol toxicology lab is to have its
24 lowest calibrator solution set at .079. Correct?

25 A. That's correct.

1 Q. And is it your opinion that that affects the integrity of
2 the reported result for ethanol in this case?

3 A. It affects the reliability of the concentration of ethanol.

4 Q. Not for the presence of ethanol.

5 A. Correct.

6 Q. I want to turn next to the drug testing that was done in
7 this case. And I want to turn to the cannabinoids and
8 benzodiazepine testing that was performed. And I'm going to
9 ask the clerk to mark --

10 THE COURT: Mr. Middaugh, let me ask you a question. You
11 had indicated at about 30 minutes, maybe 45 minutes at most,
12 for this testimony.

13 MR. MIDDAGH: I said 45 to 60 in my email to the Court.
14 Mr. Bales said 30. I'm -- I apologize to the Court if -- I
15 should have corrected him.

16 THE COURT: Well, what are you thinking?

17 MR. MIDDAGH: Your Honor, I have more exhibits, but I
18 have, kind of, two topics left in my direct examination. My
19 guess would be 15 to 20 minutes.

20 THE COURT: Okay. And, Mr. Bales, is it your plan to do
21 cross-examination today? Or are you wanting to -- I know
22 you had both talked about some future video appearance for
23 the witness. What's your preference today?

24 MR. BALES: At this point, I can end up cross-examining
25 her. I've already canceled my afternoon appointment.

1 THE COURT: Okay. So you do have the time to
2 cross-examine today?

3 MR. BALES: I do at this point, Your Honor. I mean --

4 THE COURT: Let's plan on doing it, then.

5 Okay.

6 Q. (By Mr. Middaugh) So earlier we talked about certified
7 reference materials.

8 A. Yes.

9 MR. MIDDAGH: Oh, I'm sorry. Would the Court please
10 admit for the purposes of this hearing Exhibits 4, 5, and 6?

11 THE COURT: Any objection?

12 MR. BALES: No, Your Honor.

13 (Defendant's Exhibit Nos. 4, 5, and 6 admitted into evidence.)

14 Q. (By Mr. Middaugh) And you indicated that the lab uses
15 certified reference materials for the testing of drugs.

16 A. Yes, they do.

17 Q. And so they use certified reference materials for the
18 testing of cannabinoids and benzodiazepine?

19 A. Yes.

20 Q. But did you identify errors in the way that they were used
21 in this case?

22 A. I did. May I refer to my report --

23 Q. If you would refresh your recollection.

24 A. -- for the specific? Yeah.

25 Q. (By Mr. Middaugh) Ms. Arvizu, did looking at your report

1 refresh your recollection?

2 A. Yes, it did.

3 Q. What were the ways in which the testing was done improperly
4 in this case?

5 A. I testified earlier with regard to ethanol that the
6 certified reference materials must be used in accordance
7 with the manufacturer's instructions. The manufacturer's
8 instructions for these drug standards, the certified
9 reference materials, are explicitly described as ampules for
10 one-time use, that they need to be used immediately after
11 opening. They can't be opened and reused.

12 In some cases, the lab properly documents the fact that
13 the ampules are opened the day that they're used. But they
14 didn't do that for each of the ones used in this case.

15 Q. I'm going to show you what's previously been marked as
16 Defense Exhibits 8, 9, and 10, which are the (inaudible).
17 I'm going to show you Exhibits 8 and 9 together. They are
18 similar documents. Do you recognize those?

19 A. I do.

20 Q. What are they?

21 A. These are essentially documents that describe the
22 preparation of their calibration standard and the
23 preparation of the control that they use to check their
24 calibration for the analysis of benzodiazepines in this
25 batch, the ones that were used for this particular case.

1 Q. And what is the difference between Exhibits 8 and 9? Are
2 they different dates?

3 A. They're different solutions. They were prepared on
4 different dates.

5 Q. And is there also a difference in terms of the documentation
6 of when they were opened?

7 A. There is. In only one case did they document the fact that
8 it was a freshly opened ampule that was used. In the other
9 case, they did not document the date that it was opened.

10 Q. Why is that so significant?

11 A. Well, because there's this perception out there in the world
12 that these are expensive materials, and so it's -- some
13 people think they -- it's okay to save them and reuse them
14 days later, weeks later.

15 Q. And is that correct?

16 A. It is not.

17 Q. And those are generally accepted scientific standards?

18 A. They are -- it's explicitly identified on the certificate of
19 analysis produced by the manufacturer; it prohibits that
20 practice.

21 Q. All right. I'm going to show you what's previously been
22 marked as Defense Exhibit 10. Do you recognize that
23 document?

24 A. I do.

25 Q. And what is significant to you about that document?

1 A. This is another document that describes the preparation of
2 one of the calibrators in this solution, and it does not
3 describe a date opened.

4 The second one is a certificate of analysis from the
5 manufacturer; Cerilliant is the manufacturer. And this is
6 just an example. There are one of these for each solution
7 that's used; lots of and lots of these pages. But the
8 information is the same. It specifically says that each
9 ampule is intended for one-time use. International
10 standards clarify that that means you must open and use it
11 immediately. You cannot reuse these materials.

12 Q. And just to be clear, is contemporaneous documentation
13 required by international standards?

14 A. It is.

15 Q. And that's contemporaneous documentation of when you open
16 the ampule?

17 A. Contemporaneous documentation of any critical activity.

18 Q. And opening of the ampule directly before the testing
19 process is --

20 A. It is.

21 Q. -- critical activity?

22 A. -- because the manufacturer's certificate specifically
23 limits their warranty and states that it needs to be used
24 shortly after opening to avoid concentration changes.

25 Q. And any change in concentration could affect a test, not for

1 the presence, but for the amount in an individual's blood?

2 A. That's correct.

3 Q. So the presence is not affected by the errors that you've
4 identified, but the amount identified.

5 A. That's correct.

6 Q. Finally, I want to turn to the methadone results in this
7 case. Was there a test for methadone performed?

8 A. Yes, there was.

9 Q. And I see -- are you checking your --

10 A. Checking my notes, yes.

11 Q. In what way did the test for methadone not comply with the
12 lab standards for methadone testing?

13 A. This lab's procedure, written approved procedure,
14 specifically requires that when you use a certified
15 reference material to prepare your calibrator, you must use
16 a certified reference material from a different source to
17 prepare your control, to check it. That is, you teach the
18 instrument with one solution, but you check it with a
19 solution from a different source. That's a generally
20 accepted principle in the field of analytical chemistry.
21 It's expressly required by the lab's standard operating
22 procedure. But in this case, both the calibrator and the
23 control for methadone were prepared from the same source
24 material.

25 Q. And to be clear, is that a violation of international

1 standards?

2 A. It is. It's a violation of the lab's own procedures, as
3 well. And kind of just --

4 Q. And just one --

5 A. -- common sense, because if you -- if you calibrate your
6 bathroom scale at home with a brick that you believe to be
7 five pounds and then you go back and say, I'm going to check
8 it and make sure it's right by using the same brick, that
9 doesn't check anything. You have to have your calibrator
10 and your control be from different sources.

11 Q. I'm going to show you what's previously been marked as
12 Defendant's Exhibit 11. This is the 10-page WSP standards.
13 And do you recognize this document, Defendant's Exhibit 11?

14 A. I do. This is the laboratory's procedure for methadone
15 analysis.

16 Q. And does that specifically bar the practice in this case?

17 A. It does.

18 Q. It specifically says that you have to use two different lot
19 numbers for the methadone solution?

20 A. If I can find it, yes, it does. I don't recall where --
21 what the exact language is.

22 Yeah, it specific -- shall I read -- refer you to the
23 section?

24 Q. If you could just tell us what page it's on, and then if you
25 could read it out loud.

1 A. It's on page 4 of 10, Section 5.6.3.2.C.

2 Q. And if you could just read out loud what the standard says.

3 A. "The control stock standards must be either a different lot
4 number or from a different supplier to those used in
5 producing the working standard."

6 Q. And that's exactly what the lab did not do in their
7 methadone test.

8 A. That's correct.

9 MR. MIDDAUGH: I would seek to admit the remainder of the
10 exhibits that have been marked other than Defense Exhibit 1,
11 which was just as used for refreshing Ms. Arvizu's
12 recollection.

13 THE COURT: Any objection?

14 MR. BALES: No, Your Honor.

15 THE COURT: All right. Those are admitted.

16 (Defendant's Exhibits No. 8 through 11 admitted into evidence.)

17 MR. MIDDAUGH: Thank you.

18 THE COURT: Any other questions?

19 MR. MIDDAUGH: Do you want me to leave the exhibits with
20 her?

21 MR. BALES: Sure.

22 THE COURT: All right. Cross-examination.

23 C R O S S - E X A M I N A T I O N

24 BY MR. BALES:

25 Q. Is it Ms. -- is it Arvizu?

1 A. Very good.

2 Q. I have a couple of quick questions. In your analysis of the
3 procedures and everything, do you consider the fact -- with
4 regard to reliability, do you consider the fact that the
5 defendant admitted to drinking alcohol, admitted to taking
6 each of the drugs tested, and even the police officer's
7 observations regarding some of those drugs, do you consider
8 any of that?

9 A. No. In conducting a data audit, it's whether or not the
10 reported result stands on its own scientific merits for that
11 determination.

12 Q. So even if you had a sample that, say, contains
13 cannabinoid -- marijuana, something like showing evidence of
14 marijuana, it wouldn't matter to you if the defendant said,
15 "yes, I smoked a lot of marijuana," and the police officer
16 said, "I smelled a lot of marijuana"?

17 A. No. It wouldn't. In fact, in the analytical laboratory
18 environment, we work very hard to make sure that we remove
19 any potential for examiner bias. And so it's very important
20 that your determination of what's present or absent in a
21 sample and in how you process things, not be affected by
22 that kind of external information.

23 Q. Wouldn't you also agree that a lot of this evaluation that
24 you're talking about goes to the weight of the evidence as
25 opposed to the admissibility of the evidence?

1 MR. MIDDAUGH: I'd object. That is calling for a legal
2 conclusion.

3 THE COURT: Sustained.

4 Q. (By Mr. Bales) So let's talk a little bit about your
5 report. You indicated a lot of your conclusions were based
6 on the fact that you just didn't have information that
7 established some of the things that you were looking for.
8 Correct?

9 A. That was the case regarding the collection of the blood
10 specimen. I did not have a contemporaneous records
11 generated during the collection process. I often, for
12 example, will get video of the blood collection so I can
13 evaluate the practice to determine whether it was done in
14 compliance with standards. And I really didn't get those
15 kinds of records in this case. Those are records that are
16 generated before the sample ever gets to the laboratory.

17 Q. Right. And so you said, for instance, custody records, you
18 didn't get anything on custody records.

19 A. That's correct.

20 Q. And then in the first part of your report, you're mentioning
21 that you had difficulty determining who was responsible for
22 the different testing that was done and the custody of the
23 items. Correct?

24 A. Yes.

25 Q. Did you consider the chain of custody documents?

1 A. I did not receive chain of custody documents. That was the
2 problem.

3 Q. Well, if there are chain of custody documents, obviously,
4 that would significantly impact your assessment, then.
5 Correct?

6 A. That would have helped. The records that I did receive,
7 some of the records would have many different analysts'
8 names on them. And so I couldn't tell who did which part of
9 the batch processing, because I couldn't -- with many names,
10 I couldn't tell who did what. That's something that should
11 be really clear to an independent reviewer: who was
12 responsible for which activity on which sample. And then I
13 can -- if I'd had the custody records, I at least could have
14 known who physically had possession of Mr. Grissom's sample.

15 Q. Correct. So on part of your report you indicate that
16 Ms. Dawn Sklerov possessed the item on 3/24, but per the
17 chain of custody documents, that -- would it surprise you
18 that she never even possessed the items on that date?

19 A. That would be a problem if she did not have custody of the
20 item on a date when she purportedly was testing it.

21 Q. Right. But there isn't a reported testing that she's done
22 on 3/24/2017.

23 A. There's different kinds of activities associated with the
24 tests. There's preparation, what's called extraction where
25 the drugs in question are extracted from the specimen. And

1 then a separate analysis, part of the analytical process is
2 the instrumental analysis, testing it on -- the extract on
3 the instruments. So oftentimes these analytical processes can
4 extend over a period of many days.

5 Is that -- I'm not sure I'm asking you -- answering your
6 question.

7 Q. Right. Well, I guess the bottom line is if there was
8 custody documents -- and presumably there are -- that that
9 would have given you significant information for your
10 analysis.

11 A. That would have been very helpful, yes.

12 Q. All right. You talked a little bit about questions
13 regarding the integrity of the sample, I think, under a
14 number of different areas. One of them was the volume that
15 was included in the tubes. But what is the purpose of
16 potassium -- is it oxalate and sodium fluoride?

17 A. Potassium oxalate is an anticoagulant. And if you just put
18 blood into a sterile tube, it will very quickly clot and
19 turn solid. That's a normal metabolic process.

20 Q. Right.

21 A. However, with -- in the presence of an anticoagulant, it
22 keeps it in liquid form, suitable for analysis. So the
23 anticoagulant keeps it in a whole-blood form suitable for
24 analysis.

25 The other additive that's present is sodium fluoride.

1 That's an antiglycolytic agent. It functions as the
2 preservative that inhibits any bacterial action on the
3 blood. It is not effective at inhibiting yeast action on
4 the blood.

5 Q. So your -- you claim that it doesn't affect yeast at all.

6 A. It is not effective in preventing action and growth by
7 yeast, that's correct.

8 Q. Well, don't studies show, even without the use of any of
9 those extra chemicals, that microorganisms could only
10 produce maybe, at a maximum, up to .02 grams per 100
11 milliliters of alcohol with regard to the result? And
12 that's without any chemicals, and we're not even talking
13 about refrigeration.

14 A. An average person has a -- typically on the order of 100
15 milligrams of glucose in your blood. That's an average
16 person who doesn't have -- who's fasting and who doesn't
17 have diabetes.

18 There's a formula that you can use. It's stoichiometry
19 where you can compute how much ethanol can I produce from
20 100 milligrams of glucose, and you can produce approximately
21 .05 gram percent ethanol from an average concentration of
22 glucose. So from 100 milligrams, you can get .05 percent
23 ethanol.

24 I don't know what this subject's eth- -- or glucose
25 concentration was at the time the sample was collected.

1 Have no way of knowing that. At this time nobody would have
2 any way of knowing that.

3 But an average person would -- you'd be able to create
4 .05, if all of the glucose was consumed.

5 Q. So wasn't -- are you familiar with the study with regard to
6 biological microorganisms, and they said that it would only
7 produce close to .02?

8 MR. MIDDGAUGH: I would object to this as improper
9 impeachment --

10 THE WITNESS: What --

11 MR. MIDDGAUGH: -- I mean, what's the study?

12 THE COURT: The witness hasn't answered the question
13 whether she's aware of the study.

14 MR. BALES: Aware of it or not.

15 MR. MIDDGAUGH: Sure.

16 THE COURT: Not the content --

17 THE WITNESS: Can you give me --

18 THE COURT: -- or the conclusion.

19 THE WITNESS: Can you give me the title or the name?

20 Q. (By Mr. Bales) Are you aware of any studies with regard to
21 the formulation of microbiological organisms in blood
22 samples where they indicated the maximum possible -- the
23 average increase or change might be .02, which is without
24 sodium fluoride? Have you reviewed any studies like that?

25 A. I have not. I believe I've read all the studies that are

1 published, that I know of that are published in English.

2 There's one in German that I haven't read in the original.

3 I am not aware of that one. I know that -- I've seen much
4 higher numbers in some cases. But I -- I don't know of any
5 average of only .02.

6 Q. You indicated maybe .05.

7 A. For an average person.

8 Q. And one of the things that you indicated, there was no
9 evidence that the tubes were inverted eight times. Isn't
10 that correct?

11 A. That's one of the things I wrote in my report, yes.

12 Q. And --

13 MR. MIDDGAUGH: I guess I would object to that as beyond
14 the scope of -- if it's not one of the bases that was raised
15 in this motion.

16 THE COURT: Are you talking about the sample tubes?

17 MR. BALES: Correct.

18 THE COURT: Objection is overruled.

19 MR. BALES: Well, they're the tubes that were collected.
20 She indicated in the blood --

21 THE COURT: The blood sample tubes that were collected?

22 MR. BALES: Right. She was indicating -- had indicated
23 the procedures that were followed, et cetera. This is part
24 of the procedures that were followed.

25 THE COURT: The objection's overruled. So...

- 1 Q. (By Mr. Bales) Are you aware that the Washington
2 administrative code says that they only need to be inverted
3 three or four times?
- 4 A. I am. That's actually incompatible with the manufacturer's
5 instructions. They have different types of tubes with
6 different additives that only require three to four
7 inversions. But this particular formulation requires a
8 minimum of eight under the manufacturer's specs.
- 9 Q. And you believe that underfilled tubes somehow compromise
10 the testing.
- 11 A. I do. The manufacturer's product insert states that.
- 12 Q. It states that it actually compromises?
- 13 A. It states that underfilled tubes may lead to inaccurate
14 analytic results.
- 15 Q. With regard to these tubes, if the seal is broken and
16 there's -- isn't that tube going to be inoperable if the
17 seal is actually broken?
- 18 A. If it's completely removed and there's no vacuum at all
19 inside, then they won't be able to function in the normal
20 manner. It would not drop -- it would not suck in blood,
21 essentially.
- 22 Q. And so isn't it true when they put the -- the needle in the
23 arm, that they press that tube on there and it's the actual
24 presence of the vacuum that pulls the blood out?
- 25 A. That is correct.

- 1 Q. And so the fact that there's blood in the tube shows that
2 the tube actually had some vacuum at the time it was used?
- 3 A. It shows that it has some vacuum. If it wasn't able to draw
4 in 10 mils, then it means it didn't have sufficient vacuum.
- 5 Q. Doesn't it also potentially mean that somebody pulled it off
6 of there when they were filling it?
- 7 A. That's absolutely a possibility, although all phlebotomists
8 are trained to leave the needle in as long as blood is
9 flowing.
- 10 Q. And you think -- well, aren't you saying that all laboratory
11 technicians are trained to do their specific task in a
12 certain way --
- 13 A. And it doesn't always happen.
- 14 Q. And isn't it true that the lab uses ethanol calibrators that
15 are made from traceable ethanol and that they are calibrated
16 against NIST standard reference material, which is, I guess
17 it's the SRM, and that the SRM is a higher credibility than
18 the CRM?
- 19 A. That is -- that is true. That does not solve their problem
20 of the fact that they don't manufacture them according to
21 primary standards in a metrologically valid manner. They
22 perform -- they prepare them in an approximate
23 concentration, and then they determine the assigned value by
24 analyzing it as a sample.
- 25 Q. And that's part of the lab's standard operating procedure.

- 1 A. It is.
- 2 Q. And with regard to those where you're talking about the
3 traceability, those lot numbers were documented on both the
4 sequence parameters and on the toxicology info path form,
5 weren't they?
- 6 A. Yes, both of which didn't exist until after the testing was
7 performed; the instrumental analysis. My concern is that
8 during the preparation, when all these bottles are open and
9 all the things are on the benchtop, that's contemporaneous
10 recordkeeping.
- 11 Q. Right. But there's multiple different records that they
12 have where they can record them on one document or multiple
13 documents, and the requirement would be that they at least,
14 under your standard, at least on one of the documents; maybe
15 not the document you're referencing.
- 16 A. Well, for an audit trail, you need to know who did what
17 when. And that's -- in this case, the lot number that was
18 used to measure into the vial on the date it was prepared
19 was not documented. It was documented the next day on a
20 different record.
- 21 Q. But what about on -- what about on the sequence parameters
22 and also on the toxicology on both?
- 23 A. I can show you that. Okay. The -- this -- this volatile --
24 this work list, this first page form, this is Exhibit 5, the
25 left side, this is the document that in the case of drug

1 testing, this is where they write down the lot numbers of
2 each of the knowns that are used.

3 It's not done in the case of ethanol. In the case of
4 ethanol, it's done on this other page where results are
5 summarized.

6 Those are really two different parts of the process.
7 There is the preparation that happens, processing all these
8 samples, one by one, opening each container, measuring them
9 out, sealing them up, going through that for dozens and
10 dozens and dozens of samples. And then once that's done,
11 then you go over to the instrument and place them on the
12 positions in the autosampler and hit go. The next day when
13 all the results are reported, that's when this form is
14 generated, and that's where the lot numbers are documented.

15 Q. And you think that they just had them from memory on the lot
16 numbers, that the next day they just pulled that out of the
17 air from, you know what? I was testing this yesterday, I
18 remembered lot number PC 562581?

19 A. That's exactly what we're concerned about. You want --
20 when -- at the time you look at the bottle to measure it
21 out, to measure -- to prepare the batch, that's when you
22 should be writing down or notating on a computer the fact
23 that that's the one that you used. You don't want to have
24 to rely on your memory of what you did the day before.

25 Q. You don't think that they're actually memorizing the lot

1 numbers in their head and then write them down on the form
2 the next day, do you? Memorizing a 10-digit number or?

3 A. I don't know. There may be some of those numbers that they
4 use over and over, and they might have them memorized. I
5 don't know.

6 Q. And the instrument that was used for the blood alcohol has
7 been validated to quantify blood alcohol levels from .01 to
8 .40. Correct?

9 A. I've looked at the validation study for several of their
10 different instruments. I don't remember the range for this
11 one, but that's probably approximately close. I'm not sure
12 of the exact numbers.

13 Q. So that wouldn't surprise you --

14 A. It's slightly different for each instrument.

15 Q. Right. But that wouldn't surprise you --

16 A. It would not.

17 Q. -- it was validated to .01 to .40?

18 A. It would not.

19 Q. And that during the volatile run, they use a .04 grams per
20 100 milliliter controls as -- that are tested?

21 A. They did.

22 Q. And also external proficiency in, I guess, linearity
23 samples, also been tested with this volatile analysis
24 method, that same method?

25 A. Same method, different times.

1 Q. And I believe in your report, I think it was under No. 10,
2 you stated something like you did not -- you did not ensure
3 that the materials were from a known concentration.

4 A. Yes. That's because the "date opened" was not documented
5 for -- in some cases. For some of the standards, it was,
6 but not for all of them.

7 Q. But you're not saying that there weren't fresh ampules used
8 each time.

9 A. In some cases, but in other cases, that could not be
10 demonstrated from the records.

11 Q. Are you aware that, for instance, the standard that -- I
12 guess it was CAN 160630-W was prepared and tested against
13 the lot number? That was in the working standard. I guess
14 that one was CAN 150805-W. And then --

15 A. Can I refer to the original records for those? I don't
16 remember those numbers.

17 Q. You can.

18 A. Okay.

19 Okay. I'm sorry. Which numbers were you referring to?
20 This -- we're looking at cannabinoids?

21 Q. Correct.

22 A. Okay.

23 Q. I guess the working standard was the CAN 160630-W was
24 prepared and tested against the lot number on the working
25 standard, which was CAN 150805-W.

- 1 A. Those are not the standards that I have used in this case.
2 Let me...
- 3 Q. And there was a working control of CAN 151022-Q.
- 4 A. Okay. The cannabinoid working standard that was used in
5 this batch to calibrate was CAN 160630-W.
6 The cannabinoid working control was CAN 160620-Q.
- 7 Q. You don't have CAN 150805-W?
- 8 A. Not on the date this was tested, no.
- 9 Q. Or CAN 151022-Q?
- 10 A. No. This -- the work list, it's page 27 of 50 in the case
11 file. That's the work list for the batch that included
12 Mr. Grissom's sample.
- 13 Q. And are you confident that you have all the records on that?
- 14 A. There's only one batch list for cannabinoids.
- 15 Q. Now, are you aware that with the working standards and
16 controls that they verify prior to being put into use?
- 17 A. Oh, yes. They must be.
- 18 Q. And if they don't -- if they're not verified, then a new lot
19 working control or standards are prepared?
- 20 A. Yes, I would expect that to be the case.
- 21 Q. And also are you aware that two tests are performed for any
22 drug or volatile reporting on the toxicology lab? Two
23 separate tests?
- 24 A. That is required by standard.
- 25 Q. And on the 3/13/2017, cannabinoids were identified as

1 presumptive positive via that EMIT testing performed by
2 Ms. Sklerov?

3 A. Yeah, that's not a confirmation. That's a tentative
4 identification. It's a screen that's subject to false
5 positives, and it must be confirmed. In this case, it was
6 confirmed.

7 Q. Right.

8 A. Using the work list that I described.

9 Q. And then on 3/16/2017, methadone was identified by
10 Ms. Sklerov using the gas chromatography mass spectroscopy
11 using a separate sample?

12 A. I would have to look at the dates, but, yes, there was a
13 confirmatory test done for methadone.

14 Q. And they did a quantitation that those drugs were performed
15 by two different analysts using two different instruments
16 than the ones that originally identified the compound.

17 A. Yes.

18 Q. And using different --

19 A. There was no doubt about the identification of these drugs,
20 the qualitative identification.

21 Q. And they used separate samples of the defendant's drugs for
22 each one of those tests.

23 A. Yes.

24 Q. And they didn't do a quantitative on -- is it -- what is it?
25 Seven? -- is it --

- 1 A. 7-aminoclonazepam?
- 2 Q. Thank you. So they didn't -- they didn't use a quantitative
3 or report a quantitative on that, did they?
- 4 A. No. They only reported it as positive.
- 5 Q. And with regard to one of the last topics that you had, it
6 was with regard to the fact that on the methadone, that they
7 used the same lot number?
- 8 A. Yes.
- 9 Q. And they did actually use separate fresh ampules for each
10 test. Correct?
- 11 A. Let me look.
- 12 Q. And while you're looking, they actually did that on
13 different days and different technicians did that
14 separately.
- 15 A. There was a sample extracted on April 19th of 2017. And
16 there were -- and that sample was also analyzed on the
17 instrument on April 19th.
- 18 You said it was two different analysts on?
- 19 Q. Well, didn't they, on the -- we had --
- 20 A. Are you referring to the screening that was done earlier and
21 identified a presumptive for methadone?
- 22 Q. It was when they were preparing the working standard for the
23 calibrator. They used fresh ampules from the methadone CRM
24 lot number, FE 062 --
- 25 A. They were done on different days. That does not absolve you

1 of responsibility for using a different source.

2 Q. And it's your position that the lab's not allowed to
3 actually use different ampules if they come from the same
4 lot number.

5 A. That's correct. That's what the standard says. That's what
6 their own procedure says.

7 Q. And, again, with the methadone, there wasn't a quantity;
8 there was only just the presumptive positive standard that
9 was reported.

10 A. No, they actually reported a quantity.

11 Q. And what was the quantity?

12 A. 0.12 milligrams per liter.

13 Q. And you don't know if that was consistent with what the
14 defendant reported he had actually taken that day?

15 A. I don't.

16 Q. All right.

17 MR. BALES: I have no further questions.

18 THE COURT: All right. Any redirect?

19 MR. MIDDAGH: I just want to brief address.

20 R E D I R E C T E X A M I N A T I O N

21 BY MR. MIDDAGH:

22 Q. You indicated that you did not review custody records or
23 chain of custody records or you were not sure if the chain
24 of custody records that you received were complete. Is that
25 correct?

- 1 A. Correct.
- 2 Q. Does that affect whether the vials were properly filled?
- 3 A. No.
- 4 Q. Does that affect whether -- how the calibrator solutions for
5 ethanol were prepared?
- 6 A. No.
- 7 Q. Does that affect whether the calibrator solutions used for
8 ethanol were accurate?
- 9 A. No.
- 10 Q. Or the contemporaneous documentation of the calibrator
11 solutions?
- 12 A. No.
- 13 Q. Do the chain of custody records reflect whether the ethanol
14 result is lower than the lowest calibrator solution used in
15 the case?
- 16 A. It does not.
- 17 Q. Does it affect anything else that you testified to on direct
18 examination?
- 19 A. Don't believe so.
- 20 Q. You were cross-examined about the contemporaneous
21 documentation of the calibrator solution. You reviewed the
22 entire SDT response that was provided to you by the
23 Washington State Patrol toxicology lab. Correct?
- 24 A. Yes.
- 25 Q. Was there any contemporaneous documentation of the

1 calibrator solutions?

2 A. Not for ethanol. There was for the drugs.

3 Q. And finally with regards to the methadone, just to be clear
4 so we can focus in on the issue, do the standards care about
5 whether methadone was tested on one day and tested again on
6 another day if the same lot number was used as the certified
7 reference material?

8 A. No.

9 Q. That is a violation of the standards?

10 A. It is.

11 Q. And it is a violation of the WSP's own standards that are
12 published on their website.

13 A. I don't know if it's published on their website, but the
14 procedure that I have that was in effect at the time of this
15 testing.

16 Q. That is in the document that, I believe, is Defense
17 Exhibit 10 or 11?

18 A. 11.

19 Q. Defense Exhibit 11. Okay.

20 MR. MIDDAUGH: And then I have no further questions.

21 THE COURT: All right. Recross?

22 MR. BALES: No, Your Honor.

23 THE COURT: All right. You may step down.

24 THE WITNESS: Thank you, sir.

25 THE COURT: All right. So we'll hit pause here. Is that

1 right?

2 MR. BALES: Correct.

3 THE COURT: Are you going to have any other witnesses?

4 MR. MIDDGAUGH: No, Your Honor.

5 THE COURT: Okay.

6 MR. MIDDGAUGH: I think there is a scheduling question.
7 I'm in -- currently in an indecent liberties trial in Judge
8 Doyle's court. We expect to be in Monday through Thursday
9 of next week. On Friday, I have an issue that's going to be
10 taking me out of the office for a substantial portion of the
11 day.

12 THE COURT: Okay. I think what I'll have you do is --
13 when is your trial date?

14 MR. MIDDGAUGH: Well, that's the problem. It's
15 October 9th. I am not going to be finishing my indecent
16 liberties trial until the 9th. So we're going to be on
17 standby. I have an in-custody robbery trial that's -- the
18 prosecutor's projecting eight to ten days on.

19 THE COURT: Okay.

20 MR. MIDDGAUGH: That's on standby. I just --

21 THE COURT: So.

22 MR. MIDDGAUGH: I don't know what's realistic as far as
23 scheduling.

24 THE COURT: Yeah.

25 MR. BALES: I would just suggest that because your expert

1 had scheduling issues and if the motion is denied
2 (inaudible) you're going to be calling her during the trial
3 anyway. So I would suggest that you coordinate with her on
4 her availability. I will talk to my individual experts on
5 when they are available, and we might -- might need to move
6 for a short continuance in the case to correspond with your
7 experts' availability and following up with this hearing.

8 THE COURT: Okay. So why don't you all do that. And then
9 check with Rianne after you've done that. And then we'll
10 deal with your trial dates as we get closer to the 9th. And
11 I think that's a --

12 MR. BALES: During the week.

13 THE COURT: Or during the week.

14 MR. BALES: We'll set a hearing.

15 THE COURT: Okay. That sounds good.

16 MR. MIDDGAUGH: Is that -- I just want to be clear,
17 Your Honor. I took over this case from Mr. Leavitt with a
18 promise to be ready to go. And that was my intention. I'm
19 sorry that it looks like we might have to have another --

20 THE COURT: Things happen.

21 MR. MIDDGAUGH: -- delay in this case.

22 THE COURT: Things happen. Okay.

23 MR. MIDDGAUGH: Okay.

24 THE COURT: All right. Thank you, everyone.

25 MR. MIDDGAUGH: Thank you.

1 MR. BALES: All right. Thank you, Your Honor.

2 THE COURT: Thank you, Madam Clerk.

3 (Conclusion of hearing)

4

5

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

C E R T I F I C A T E

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

STATE OF WASHINGTON)
)
COUNTY OF KING)

I, the undersigned, do hereby certify under penalty of perjury that the foregoing court proceedings were transcribed under my direction as a certified transcriptionist; and that the transcript is true and accurate to the best of my knowledge and ability, including any changes made by the trial judge reviewing the transcript; that I received the audio and/or video files in the court format; that I am not a relative or employee of any attorney or counsel employed by the parties hereto, nor financially interested in its outcome.

IN WITNESS WHEREOF, I have hereunto set my hand this 29th day of November, 2018.

Sara L. Kern, CET