

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

STATE OF FLORIDA  
DIVISION OF ADMINISTRATIVE HEARINGS

HOA VUONG, on behalf of himself  
and other Palm Beach County DUI  
Defendants,

Petitioner(s),

vs. Case No: 12-3898RX

DEPARTMENT OF LAW ENFORCEMENT,

Respondent.

\_\_\_\_\_ /

FINAL HEARING  
VOLUME II

DATE TAKEN: March 7th, 2013  
TIME: 9:00-5:00 P.M. EST  
PLACE: The DeSoto Building  
1230 Apalachee Parkway  
Tallahassee, Florida  
BEFORE: W.F. QUATTLEBAUM  
Administrative Law Judge

This cause came on to be heard at the time and place  
aforesaid, when and where the following proceedings  
were reported by:

TRACY LEFEBVRE, Court Reporter  
For the Record Reporting, Inc.  
1500 Mahan Drive -- Suite 140  
Tallahassee, Florida 32308

1 APPEARANCES OF COUNSEL:

2

3 On behalf of Petitioner(s):

4 BRIAN P. GABRIEL, ESQUIRE  
Gabriel and Gabriel, LLC  
5 Suite 206  
4601 Military Trail  
6 Jupiter, FL 33458  
Phone: 561.622.5575  
7 E-mail: Briang@gabriellawteam.com

8

On behalf of Respondent:

9

ANN MARIE JOHNSON, ESQUIRE  
10 Florida Department of Law Enforcement  
2331 Phillips Road  
11 Tallahassee, Florida 32308  
Phone: 850.617.1276  
12 E-Mail: Annmariejohnson@fdle.state.fl.us

13

14

15

16

17

18

19

20

21

22

23

24

25

## 1 INDEX TO WITNESSES

2

3 WITNESS PAGE

4 MATTHEW MALHIOT

Cross-Examination by Ms. Johnson 154

5

THOMAS WORKMAN

6 Direct Examination by Mr. Gabriel 172

Recross-Examination by Ms. Johnson 183

7

LAURA BARFIELD

8 Direct Examination by Ms. Johnson 242

9 CERTIFICATE OF REPORTER 303

10

\*\*\*

11

## E X H I B I T S

12

Petitioners' Exhibits:

13

NUMBER DESCRIPTION ADMITTED

14

85 CMI Intoxilyzer Parts List 219

15

87 Thomas Workman CV 186

90 Tom Workman Article 240

16

95 Case Law 204

17

18

19

20

21

22

23

24

25

## P R O C E E D I N G S

(Continued from Volume I following lunch recess.)

MATTHEW MALHIOT

CROSS-EXAMINATION

BY MS. JOHNSON:

Q. Good afternoon, Mr. Malhiot.

A. Good afternoon, Counselor.

Q. When did you begin your employment with FDLE as a department inspector?

A. January 2002.

Q. And when did you leave?

A. August of 2010 -- August, September, I'm not sure. It was like the 28th of August or the 5th of September.

Q. And what were the circumstances regarding your departure from your FDLE?

A. I resigned under good terms. My daughter graduated college. I had some personal changes in my life, and I was vested in the retirement system. The governor was cutting our pay, so it was time for me to leave.

Q. And you stated previously you started your consulting firm immediately after leaving FDLE?

A. I did.

Q. How many employees are employed at your

1 consulting firm?

2 A. Myself, plus one.

3 Q. What type of consulting do you do?

4 A. Consulting on any issue involving alcohol:  
5 Criminal, civil, arbitration, anything where alcohol  
6 and alcohol measurement may be a part of the  
7 litigation. I also do training of attorneys.

8 Q. What part of your consulting is for the  
9 defense?

10 A. Approximately 80 percent is criminal defense.

11 Q. Okay. Turning your attention back to August  
12 of 2004 when you were at CMI.

13 A. Yes, ma'am.

14 Q. The purpose of the testing done at CMI was to  
15 develop software, correct?

16 A. It was debugging of software and development  
17 of very specific tasks the instrument was to do under  
18 the Florida requirements, but yes.

19 Q. So you were not conducting approval  
20 evaluations, correct?

21 A. That's correct.

22 Q. That had been done in April and May of 2002?

23 A. Well, there was numerous evaluations. The  
24 evaluations in April and May of 2002 were to approve  
25 the instrument, so yes.

1 Q. The Intoxilyzer 8000 make and model was  
2 approved by rule promulgation in November of 2002; is  
3 that correct?

4 A. By publication in the rule, yes.

5 Q. And per rule, the Intoxilyzer 8000 could not  
6 be approved for evidentiary use until the software was  
7 evaluated, correct?

8 A. The software version to be used had to be  
9 approved, yes.

10 Q. Okay. So you were there to develop software.  
11 There was no software that could be evaluated at that  
12 time in August of 2004, correct?

13 A. There was no specific Florida software.  
14 There was software in the instruments that were  
15 evaluated there and during the approval evaluations.

16 Q. You're familiar with 11D-8.003?

17 A. Yes.

18 Q. And the 2002 rule promulgation process is  
19 what approved the Intoxilyzer using software evaluated  
20 by the department, correct?

21 A. Yes.

22 Q. So the Intoxilyzer 8000 wasn't approved for  
23 evidentiary use until March of 2006; isn't that  
24 correct?

25 A. I don't remember the exact date of Version

1 26, evaluation of that software, but it went into  
2 evidential use in March 26th, 2006, correct.

3 Q. Now, you previously stated that you were  
4 doing troubleshooting on the instrument to figure out  
5 why you were getting low simulator results, correct?

6 A. Correct.

7 Q. You said you changed the quick connects?

8 A. The connectors. Quick connect is a type of  
9 connector, so we changed numerous connectors for the  
10 simulators, yes.

11 Q. And how many instruments were you  
12 troubleshooting?

13 A. I believe there was one or two. I'm not  
14 sure.

15 Q. You said you changed hoses as well as part of  
16 your troubleshooting?

17 A. Yes, we did.

18 Q. Okay. Did that all happen simultaneously, or  
19 did you change the connections, then run more testing,  
20 then change hoses and do more testing, or did you do  
21 all of them simultaneously?

22 A. The latter. We would change one thing, test,  
23 change another thing, test. The only way to narrow  
24 down to a specific cause was to test each individual  
25 component separately, so it was separate steps.

1 Q. Okay. And you didn't assess that there was a  
2 need to drill a hole in the exhaust valve, correct?

3 A. I did not make that assessment.

4 Q. That opinion came from CMI's engineers,  
5 correct?

6 A. That is correct.

7 Q. And you said the instrument was disassembled  
8 and reassembled after the hole was drilled; is that  
9 correct?

10 A. That is correct.

11 Q. Okay. So it could have been the reassembly  
12 that solved the problem with the air leaks; is that  
13 correct?

14 A. Could it have been the reassembly? That  
15 makes an assumption that the original assembly was  
16 incorrect, so I don't know because if that was the  
17 cause, then there would be another causation for the  
18 hole, so I don't think so.

19 Q. Didn't you think that you should test more  
20 than one or two instruments before deciding that a  
21 hole should be drilled?

22 A. I didn't decide the hole should be drilled;  
23 the engineers did.

24 Q. Okay. Was it ever discussed that you should  
25 bring in more instruments and test more than the one

1 or two that you had in the room at the time?

2 A. No, because they were all exact duplicates of  
3 each other.

4 Q. You consult in cases in states other than  
5 Florida, correct?

6 A. I do.

7 Q. Are there other states that use the 8000?

8 A. Yes.

9 Q. Do some of the other states use an 8000  
10 without a hole?

11 A. Not to my knowledge.

12 Q. So every state that uses the Intoxilyzer 8000  
13 has an Intoxilyzer 8000 with a hole in it?

14 A. That is my understanding, yes.

15 Q. You were notified about the hole in the valve  
16 in person since you were there, correct?

17 A. Correct, I was there.

18 Q. Do you know what the purpose is behind the  
19 written notice requirement?

20 A. So it could be evaluated for its effect on  
21 the analytical reliability of the instrument prior to  
22 its implementation within the state.

23 Q. Would it be correct to say that it was also  
24 so that FDLE would be on notice of modifications that  
25 were made when FDLE had no other means to learn of

1 such modifications?

2 A. Yes. Yes.

3 Q. And that written notice requirement was part  
4 of the 2002 rule before the Intoxilyzer was approved  
5 for evidentiary use in 2006, correct?

6 A. Well, I disagree that it was approved in  
7 2006. The final software version and it went into  
8 evidential use in 2006, but, yes, the hole was drilled  
9 prior to 2006.

10 Q. And you previously said the rule was amended  
11 in 2004 removing the written notice requirement?

12 A. It was.

13 Q. But the 8000 couldn't be approved as an  
14 evidentiary instrument until the instrument software  
15 could be evaluated, correct?

16 A. That's correct.

17 Q. Does the hole in the exhaust block purge  
18 valve alter the 8000's method of analysis?

19 A. It does not.

20 Q. So the method is still infrared light  
21 absorption, correct?

22 A. It is.

23 Q. And you previously stated the hole in the  
24 purge valve, that only comes into play during  
25 simulator testing, correct?

1 A. Correct.

2 Q. That doesn't -- the hole in the purge valve  
3 has no involvement during a subject breath test; is  
4 that correct?

5 A. That's correct. That valve is a different  
6 air flow as we discussed with the four different air  
7 flows.

8 Q. You also previously stated that there were  
9 numerous modifications made to the Intoxilyzer 8000,  
10 correct?

11 A. There was between 2002 and 2006 when it went  
12 into service.

13 Q. Okay. But you said the majority of those  
14 modifications were insignificant?

15 A. Yes.

16 Q. And that some of them were so insignificant  
17 you don't even remember them?

18 A. Yes.

19 Q. You worked for FDLE for six years after the  
20 hole was drilled; is that accurate?

21 A. Approximately, yes.

22 Q. In the two years between 2004 when the hole  
23 was drilled and 2006 when the instrument was approved  
24 for evidentiary use in Florida, did you bring it to  
25 Mr. Skipper's attention that you had concerns either

1 about the hole or concerns that no notice was  
2 provided?

3 A. No.

4 Q. Did you approach Ms. Barfield, who was your  
5 supervisor at the time, about concerns that you had  
6 about either the hole was drilled or that there was no  
7 notice provided, no written notice?

8 A. We had discussions about re-approval of the  
9 instrument prior to -- or an approval evaluation.  
10 There was numerous discussions back and forth after  
11 modifications all the way up until we left and  
12 discussions to reapprove the instrument versus do an  
13 evaluation for the changes. So there was numerous  
14 discussions with numerous changes on the instrument  
15 and the need for a -- or lack of need for an approval  
16 evaluation prior.

17 Q. Do you know if -- does FDLE have legislative  
18 authority to reapprove an instrument?

19 A. Well, that's an interpretation of the rule.  
20 To approve an instrument, yes. Is the actual language  
21 of reapprove? No, that's not the statutory language.

22 Q. So the legislative authority granted to FDLE  
23 is only to approve or disapprove an instrument; isn't  
24 that correct?

25 A. Yes. That's my understanding.

1 Q. From 2006 when the instruments were approved  
2 for evidentiary use and 2010 when you left FDLE, did  
3 you bring it to Mr. Skipper's attention that you had  
4 concerns about the hole?

5 A. No.

6 Q. What about Ms. Barfield; did you bring any of  
7 your concerns to her attention?

8 A. We discussed numerous times numerous changes  
9 on the instrument, but, specifically, the hole, no.

10 Q. And between 2006 and 2010, you testified  
11 numerous times in criminal courts across the State of  
12 Florida involving the Intoxilyzer 8000, correct?

13 A. I did.

14 Q. Both for the state and the defense?

15 A. Majority for the state, but, yes, a few times  
16 I was called by the defense.

17 Q. Did you ever bring up the hole in the valve  
18 to the state attorneys in any of the jurisdictions  
19 that you were testifying in?

20 A. I did not.

21 Q. What about did you bring it up to any defense  
22 attorneys?

23 A. Not until testimony in Sarasota County when I  
24 was specifically asked about it.

25 Q. And that was in 2012?

1           A.    It may have been, yes.  Late 2011, early  
2    2012.

3           Q.    And from 2006 to 2010 when you ended your  
4    employment with FDLE, you consistently testified that  
5    the Intoxilyzer 8000 is an accurate and reliable  
6    instrument, did you not?

7           A.    There were cases that I testified to that,  
8    yes.

9           Q.    When you were doing the approval evaluations  
10   in April and May of 2002, the instrument was still not  
11   approved pursuant to rule promulgation, correct?

12          A.    Correct.

13          Q.    So you weren't performing what's considered  
14   Form 34 evaluations?

15          A.    No.  We were considering -- conducting Form  
16   34 evaluations in April and May.

17          Q.    Okay.  So even though it was not an approved  
18   instrument?

19          A.    Well, that's how it becomes approved is  
20   complete Form 34 and then any additional testing that  
21   may be required.  That's step one.  And then after it  
22   completes that process, then it is added to the rule  
23   as an approved instrument through the rule  
24   promulgation process.

25          Q.    And you stated on direct that the

1 modification by the drilling of the hole only became a  
2 modification when it was done to all instruments,  
3 correct?

4 A. Well, yes and no. Once it was determined by  
5 engineering, and I would imagine, the issuance of  
6 their change notice, did it become an official change  
7 to all the instruments. The single -- or two  
8 instruments we had on the bench in engineering were  
9 more research instruments and development instruments  
10 than they were property of Florida or sold to Florida  
11 or used in Florida.

12 Q. You stated before that the other  
13 modifications that were done on the instrument were  
14 insignificant because they didn't alter the portions  
15 of the analytical bench or instrumentation, correct?

16 A. Correct.

17 Q. Are you saying that the hole in the exhaust  
18 block changed the analytical method of analysis?

19 A. It does not change the method of analysis.

20 Q. You were involved, you said, in the rule  
21 promulgation process in 2002, correct?

22 A. For the November rule?

23 Q. Yes.

24 A. Yes.

25 Q. Okay. What's your understanding of FDLE's

1 authority as vested in it by the legislature?

2 A. As far as vested in what? The rule?

3 Q. The authority that is vested in FDLE by the  
4 legislature, what's your understanding of that  
5 authority?

6 A. To write rule. There's a laundry list in the  
7 statute of what FDLE's authority and responsibilities  
8 are: To develop rule, approve instruments, can enter  
9 into contracts. There's an entire laundry list of  
10 authority FDLE's been given by the legislature.

11 Q. Isn't it true that FDLE's authority in  
12 writing rules is only with the -- to write rules for  
13 the operation and maintenance?

14 A. And approval and entering contracts, numerous  
15 different statutory -- or statutory authorities or  
16 delegation of authority.

17 Q. Is it your understanding that the purpose of  
18 writing a rule is to regulate entities that the agency  
19 has authority over?

20 A. To write rules to regulate the agencies that  
21 they have authority over?

22 Q. Correct.

23 A. I'm trying to understand the question.

24 Q. Correct.

25 A. Yes. The purpose of rule is twofold: One,

1 to ultimately ensure the reliability of the  
2 instrumentation; two, to establish rules and  
3 guidelines; and, three, to put public on notice of  
4 what's going on, what is breath testing about.

5 Q. But would you agree that the purpose of  
6 writing rules is not to regulate the agency itself?

7 A. Self-regulate?

8 Q. Correct.

9 A. It could be.

10 Q. Aren't internal agencies' policies and  
11 procedures not having an application outside the  
12 agency statutorily exempt from the definition of a  
13 rule?

14 A. You're asking me a legal question. I  
15 couldn't give you a legal interpretation if they're  
16 exempt or not.

17 Q. Would you agree that calibration procedures  
18 have no applicability outside of FDLE staff?

19 A. I would disagree. I'm sure you guys are  
20 going to court and -- about instruments you have  
21 calibrated. The procedures -- no personnel outside of  
22 FDLE perform those procedures, but affects every  
23 breath test instrument that is calibrated.

24 Q. Okay. So it's my understanding of what you  
25 just said that no one outside of FDLE ATP staff

1 members would ever perform a calibration, correct?

2 A. Other than the manufacturer and authorized  
3 repair facilities, that is correct.

4 Q. Okay. So they would have no applicability  
5 outside of FDLE staff, correct?

6 A. They would not have the procedures done  
7 outside of FDLE staff, that is correct.

8 Q. So it would be any policies or procedures  
9 about performing any type of calibration, not just  
10 flow calibration, those policies and procedures would  
11 only be applicable to FDLE staff, correct?

12 A. They are the only ones who would perform  
13 those procedures, that is correct.

14 Q. Okay. So that would be an internal FDLE  
15 policy?

16 A. That's the way it's written today is an  
17 internal FDLE policy.

18 Q. Okay. Talking about the 2002 version of the  
19 rule in response to -- I mean, in regards to the  
20 written notification, was there any -- were you  
21 involved in the 2004 rule promulgation?

22 A. Yes.

23 Q. Okay. Was there anything in the 2004  
24 language that was included to compensate for the  
25 removal of the written notice requirement?

1           A.    I'm not aware of anything right off the top  
2           of my head.

3           Q.    Isn't it true that the language was added  
4           about the department inspections validating the  
5           approval, accuracy, and reliability of evidential  
6           breath test instruments?

7           A.    Yes, that was added, but I don't know if that  
8           was added to compensate for the lack of written notice  
9           from the manufacturer on changes. I think it was  
10          added for many reasons. That may have been one of  
11          them.

12          Q.    What involvement did you have with the 2002  
13          rule promulgation process?

14          A.    Part of the staff and members that would meet  
15          and discuss rules, review drafts, give input as to our  
16          feelings and beliefs and recommendations.

17          Q.    Isn't it your understanding that during the  
18          promulgation -- the rule promulgation process, that  
19          there's an -- that the proposed rule is published in  
20          the Florida Administrative Law Weekly?

21          A.    No. I know it's published for comment from  
22          the public. The exact publication or method in which  
23          it's presented to the public, I'm not sure. But I  
24          have no reason to --

25          Q.    But it is your understanding that the

1 public is put on notice --

2 (The court reporter requests the parties  
3 speak one at a time.)

4 MS. JOHNSON: Sorry.

5 THE WITNESS: Yes, the public is put on  
6 notice of the proposed rule before it is official  
7 and goes in effect.

8 BY MS. JOHNSON:

9 Q. And the public is given an opportunity to be  
10 heard if they so chose, correct?

11 A. That is my understanding.

12 MS. JOHNSON: One second, Judge.

13 THE COURT: Uh-huh.

14 (Sotto voce discussion.)

15 BY MS. JOHNSON:

16 Q. The flow calibrations that you discussed  
17 being done by repair facilities, does FDLE rely on any  
18 calibrations made by a repair facility or CMI?

19 A. What do you mean by "rely"?

20 Q. When an instrument is sent to either CMI or  
21 Enforcement Electronics, the repair facility, what's  
22 the procedure when it leaves that facility?

23 A. As of today or when in time?

24 Q. As of 2006.

25 A. It would come back to the agency, directly to

1 the agency, and a department inspection would be done  
2 before it is placed into service and an agency  
3 inspection would be done before it was placed into  
4 service.

5 Q. So any time an instrument is returned from a  
6 repair facility or from CMI, a department inspection  
7 has to be done per rule requirement, correct?

8 A. That is correct.

9 Q. Before it's placed back into evidentiary use?

10 A. That is correct.

11 Q. Okay. When you're performing your department  
12 inspections, do you rely on any of the data provided  
13 by CMI or their repair facility when you're doing your  
14 department inspection?

15 A. Within the department inspection, you're  
16 validating an operation calibration accuracy, so  
17 you're not relying on their calibration certificate.  
18 As far as the discussion of the flow sensor, you're  
19 not -- you're relying on their flow sensor calibration  
20 because it's not part of the department inspection.

21 Q. Would you look at -- strike that. Would you  
22 not perform or check the calibrations on an instrument  
23 that was returned from a CMI repair facility because  
24 of the fact that CMI or the repair facility has said  
25 that the instrument was calibrated?

1           A.    I'm trying to understand.  It sounded like a  
2   double negative question, but I'll try and understand.

3           Q.    I apologize.  Let me rephrase it.  Would you  
4   not perform any of the steps in your department  
5   inspection because of information that you relied upon  
6   from CMI or the repair facility?

7           A.    No.  You would complete a department  
8   inspection irrelevant of it returning from a repair  
9   facility or not.

10          Q.    Okay.  With regard to performing flow  
11   calibrations, that's not part of a department  
12   inspection, is it?

13          A.    It is not.

14          Q.    It's not part of an agency inspection?

15          A.    It is not.

16          Q.    Or a -- and you stated before that a breath  
17   test operator or an agency inspector would never  
18   perform calibrations; is that correct?

19          A.    That is correct.

20                MS. JOHNSON:  I don't think I have any more  
21   questions at this time, Judge.

22                THE COURT:  Redirect?

23                                REDIRECT EXAMINATION

24   BY MR. GABRIEL:

25          Q.    Sir, if there was an FDLE policy -- excuse

1 me, an FDLE rule, if there was, regarding calibration  
2 of flow sensors, wouldn't it by its very nature have  
3 to encompass agency operators down at the local level?

4 A. If there was a --

5 Q. If there was a rule, wouldn't it by nature  
6 have to rely upon an agency person saying -- calling  
7 up the department inspector and saying, "I've got a  
8 problem with flow here." "You better check this out."  
9 And then it would be sent to FDLE to be calibrated?

10 A. Well, depending on the language of the rule,  
11 it would, yes.

12 Q. Would my example, my hypothetical I'm giving  
13 you, would that be a reasonable one, that the  
14 department would be notified by the people in the  
15 field that there's a problem and then if a need for  
16 calibration of the instrument is needed?

17 A. Or investigation of the problem, yes.

18 Q. And the act of calibration should always be  
19 left up to the department?

20 A. Department or repair facility, yes.

21 Q. The repair facility is not an employee of  
22 FDLE?

23 A. They are not.

24 Q. They're just a contracted vendor, so to say,  
25 that they get work for -- from?

1           A.    I don't even know if they're contracted --  
2           under contract.  They may be under contract with local  
3           agencies, but they are the only authorized repair  
4           facilities to work on the instruments.

5           Q.    There has been a lot of questions about dates  
6           of approval of the Intoxilyzer, correct?

7           A.    Correct.

8           MR. GABRIEL:  You were just asked -- Judge,  
9           may I approach?

10          THE COURT:  Yes.

11          MR. GABRIEL:  And by chance -- can I, by  
12          chance, peruse through the exhibits and grab a  
13          couple?

14          THE COURT:  Help yourself.  They're in no  
15          particular order now so --

16   BY MR. GABRIEL:

17          Q.    Let me show you what has been marked into  
18          evidence as DD -- Petitioner's Exhibit No. 13.  That  
19          is the approval study of the Intoxilyzer, correct?

20          A.    The May 2002 evaluation with the purpose of  
21          approving it as an evidential instrument, yes.

22          Q.    And the conclusion of the department is what?

23          A.    "Based on the program's review of this  
24          evaluation, the CMI Intoxilyzer 8000 was approved for  
25          use in Florida."  And it goes on.

1 Q. That makes it an approved instrument,  
2 correct?

3 A. This allows it to be added to the rule. Once  
4 it's published in the rule, it is official.

5 Q. I'm going to show you what's been marked as  
6 DD -- Petitioner's Exhibit No. 14, the 2002 rules and  
7 refer you to 11D-8.003.

8 Do the rules classify the Intoxilyzer 8000  
9 instrument as an approved instrument for evidentiary  
10 breath testing in the State of Florida?

11 A. Yes, it does.

12 Q. The date of that, sir, is what?

13 A. November 2002.

14 Q. Every study that we went through from FDLE  
15 that was a Form 34 evaluation from as far back as  
16 2004, the approval in 2005, and the ones that continue  
17 through 2007, do each and every -- excuse me, up to  
18 2006, in each and every one, isn't it a fair statement  
19 that they always classify the 8000 as an approved  
20 instrument for evidentiary breath testing in the State  
21 of Florida?

22 A. Yes, they do.

23 Q. And that's prior to this 2006 date when it's  
24 put in use?

25 A. Correct.

1 Q. If I took the Intoxilyzer 8000 instrument  
2 that was used in May 2002 when the approval study  
3 occurred, it had software in it, correct?

4 A. It did.

5 Q. And that software had been evaluated by the  
6 Florida Department of Law Enforcement, had a Form 34  
7 evaluation done, right?

8 A. It did have a Form 34 evaluation done.

9 Q. Pursuant to the rules, if the department  
10 wished, they could take that instrument, and they  
11 could use it for evidentiary breath testing?

12 A. If they changed the rules or changed the  
13 forms, yes.

14 Q. Because it may not do the proper sequencing  
15 of breath samples, then control tests and stuff of  
16 that nature?

17 A. And print forms that print the result on an  
18 affidavit versus a print slip, but yes.

19 Q. But as far as the instrument itself, it was  
20 approved?

21 A. Yes.

22 Q. And so was the software?

23 A. That's the software that was in there during  
24 the evaluation, yes.

25 Q. The department asked you regarding

1 legislative authority and your understanding of it?

2 A. Yes.

3 Q. And you talked about a long laundry list of  
4 things that the Florida Department of Law Enforcement  
5 has the power under Florida Statute to do?

6 A. Power and responsibility.

7 Q. You're pretty familiar with it, aren't you?

8 A. Yes.

9 Q. Do you know of anywhere in that long laundry  
10 list of statutory authority that's given to FDLE, does  
11 it ever, ever give the Florida Department of Law  
12 Enforcement the authority to do evaluations?

13 A. I don't think the term "evaluations" is in  
14 there.

15 Q. You agree with me that the term in Florida  
16 Statute 316.1932 is to approve or to disapprove?

17 A. Correct.

18 Q. But FDLE's scheme right now is set up doing  
19 evaluations with the purpose of approval?

20 MS. JOHNSON: Objection to the term "scheme."

21 BY MR. GABRIEL:

22 Q. Modus operandi? I mean, their method, their  
23 methodology, how the rule reads now is that it's set  
24 up to do an evaluation, and one purpose of an  
25 evaluation is for approval?

1 A. Correct.

2 Q. One purpose of an evaluation is because we're  
3 trying to investigate something and find out if  
4 there's a problem or not?

5 A. Correct.

6 Q. Another reason may be to see how something  
7 worked that was brought to their attention, the  
8 department's attention?

9 A. Well, they can do a research study or an  
10 evaluation, but, yes, those are the tools that they  
11 use to investigate and evaluate.

12 Q. But the rule as it exists today does not  
13 state anywhere that there is an evaluation to check on  
14 a modification of a previously-approved instrument?

15 A. Correct.

16 Q. That was removed right after this hole was  
17 drilled, right?

18 A. Yes.

19 Q. You were questioned about whether or not the  
20 hole affects the method of analysis?

21 A. Correct.

22 Q. You stated it did not?

23 A. It did not.

24 Q. Would it affect analytical reliability, which  
25 was in the rules in 2002 which were in effect when the

1 hole was drilled?

2 A. Well, it affected analytical reliability when  
3 the simulator changed the values right then and there.  
4 Over the long term and the long scheme and the final  
5 instrument software and everything, I don't know. But  
6 at the time, we were doing analytical analysis, and it  
7 was affected by two one-hundredths of a gram during  
8 those analytical analyses.

9 Q. And that's a significant effect?

10 A. Well, significant is very subjective. It was  
11 more than 20 percent.

12 Q. Well, let me -- if that had been a procedure  
13 being conducted under FDLE rules for a monthly  
14 inspection or a yearly inspection, it would have  
15 flunked it?

16 A. It would have failed the evaluation because  
17 the results were outside the acceptable tolerance of  
18 the target value.

19 Q. And the only way that it became into  
20 acceptable range was after the hole was drilled?

21 A. That is correct.

22 Q. It did not occur when you changed connectors?

23 A. No.

24 Q. You were questioned about why was it that you  
25 never -- or you never testified while you were still

1 an FDLE employee about the fact of a hole?

2 A. Yes.

3 Q. Would it be a fair statement that except for  
4 you, Roger Skipper, and Ms. Barfield and CMI  
5 employees, nobody else in the world knew about this  
6 hole?

7 A. I think all the department inspectors knew  
8 about the hole because between 2004 and 2006, all the  
9 instruments were sent back to CMI for these updates,  
10 the hole. And CMI engineering staff came to Florida  
11 and modified the instruments that were in the state.

12 Q. All right. Let me ask you a question this  
13 way: Would it be a fair statement that besides for  
14 the respondent, FDLE and its employees, that the  
15 public, the attorneys, doing this every day, never  
16 were able to find out this until you stated it the  
17 first time in 2011 in Sarasota County?

18 A. That's, to my knowledge, correct.

19 MR. GABRIEL: That's all the questions I  
20 have, Judge.

21 THE COURT: Is there going to be another --  
22 I'm sorry.

23 MS. JOHNSON: I just have a couple of  
24 questions to follow up.

25 THE COURT: Is there going to be another

1 witness who -- or it may be this witness -- who  
2 will testify about how the breathalyzers actually  
3 work? Or if I have questions about that, is this  
4 the guy I should ask those questions?

5 MR. GABRIEL: We have either -- Judge, we  
6 have this expert that you could ask that of and we  
7 have another one. That will be fine.

8 MS. JOHNSON: The department will be calling  
9 Ms. Barfield, who is the program director.

10 THE COURT: Okay. You testified that the  
11 part that the hole was drilled in isn't involved  
12 in the actual breath testing that's used as  
13 evidence?

14 THE WITNESS: That is correct. It is part of  
15 the valve that has the air flow after the sample  
16 is analyzed. It is part of the air flow when the  
17 calibration is checked.

18 As a person blows into this instrument to  
19 have their breath test taken, that portion of the  
20 instrument doesn't affect it. When you hook the  
21 simulator up to check the calibration or to  
22 calibrate the instrument, the flow does go through  
23 that valve.

24 THE COURT: Okay.

25 MR. GABRIEL: Can I ask some questions and

1           maybe shed some light on that?

2                   THE COURT:  Sure.

3  BY MR. GABRIEL:

4           Q.  Would it be a fair statement that the  
5  analyzation -- the individual blows into the  
6  Intoxilyzer, correct, when they're doing the breath  
7  test?

8           A.  Correct.

9           Q.  And that air sample goes into what we call  
10 the "analytical chamber"?

11          A.  Sample chamber, analytical chamber.

12          Q.  And at that chamber, at one end, you have an  
13 infrared light source?

14          A.  Correct.

15          Q.  The other end you have filters?

16          A.  Correct.

17          Q.  And it's doing an analysis of infrared light  
18 and absorption levels and is able to therefore  
19 calculate a breath alcohol level?

20          A.  That's the Reader's Digest version, yes.

21          Q.  That's what we call, in essence, the method  
22 of analysis, that infrared?

23          A.  Correct.

24          Q.  And that's where really the determination of  
25 the value of breath or alcohol in that breath is made?

1           A.    Breath simulator dry gas, that's how it  
2           analyzes the alcohol in the sample that's provided.

3           Q.    You testified before that in the world of  
4           science, there were two different major components of  
5           a breath test being samplization and analyzation?

6           A.    Correct.

7           Q.    When we're talking about the analytical  
8           chamber, that could be the analytical -- analyzation  
9           section, so to say?

10          A.    That is correct.

11          Q.    What we've been contesting here is more on  
12          the samplization?

13          A.    Correct.

14                MR. GABRIEL:  I don't know if that answers  
15                anything more, Judge, or not -- or it confuses you  
16                even more.

17                THE COURT:  It's probably some of both.

18                Well, you had other questions.  Go ahead.

19                                REXCROSS-EXAMINATION

20           BY MS. JOHNSON:

21           Q.    What effect does the hole in the exhaust  
22           valve have on a subject's breath test?

23           A.    On a subject's breath test, it has no effect  
24           whatsoever because the sample of a subject does not go  
25           through that valve.  That valve is in a different

1 position during samplization from a subject.

2 Q. Going back to the 2004 rule promulgation  
3 process, when did you become involved in that rule  
4 promulgation process?

5 A. If the rule was published in 2004, probably  
6 as the start of drafting of it -- it's a very long  
7 process. Maybe 18 months prior to that publication  
8 date.

9 Q. Okay. So the rule promulgation process  
10 removing that written notice requirement, that process  
11 was well in progress before you even went to CMI in  
12 Kentucky, correct?

13 A. I don't know if that particular paragraph,  
14 but the process of revised -- rule revision was well  
15 in progress before we went to Kentucky, yes.

16 Q. Okay. Isn't it true that the proposed rule  
17 would have had to have been published in the spring of  
18 2004 in order for it to be published -- for the  
19 promulgation process to finish as of November of 2004?

20 A. I don't know the exact timeline, but I have  
21 no reason to doubt that timeline.

22 Q. Okay. So it wasn't that the -- that written  
23 notice requirement was removed after you went to CMI  
24 in August of 2004, correct?

25 A. Well, it was -- it wasn't removed as a result

1 of going to CMI in 2004.

2 Q. Okay. You testified as to R-value; what  
3 exactly is the R-value?

4 A. It's an electronic digitally-retained number  
5 in the instrument's software to represent the resting  
6 value or resistance value of that circuit.

7 Q. Okay. So is that what R stands for?

8 A. It's either resting or resistance of that  
9 circuit.

10 MS. JOHNSON: I have no further questions,  
11 Judge.

12 THE COURT: Thank you. You're done.

13 THE WITNESS: Thank you, Your Honor.

14 (The witness is excused.)

15 THE COURT: Next witness?

16 MR. GABRIEL: Thomas Workman.

17 (The witness is called.)

18 THE WITNESS: Good afternoon.

19 THE COURT: You can have a seat.

20 THE WITNESS: Do I need to be sworn first?

21 THE COURT: Yeah, but you can have a seat and  
22 be comfortable, sort of an informal formal  
23 hearing.

24 THE WITNESS: Okay.

25 (WITNESS SWORN.)

1 WHEREUPON,

2 THOMAS WORKMAN

3 was called as a witness, and after having been first  
4 duly sworn to tell the truth, was questioned and  
5 testified as follows:

6 DIRECT EXAMINATION

7 BY MR. GABRIEL:

8 Q. State your name for the record, sir.

9 A. Thomas E. Workman, Jr., W-O-R-K-M-A-N.

10 Q. How are you employed, sir?

11 A. I operate a computer forensic business. I am  
12 an adjunct professor of evidence at University of  
13 Massachusetts Law School and I'm a licensed attorney.

14 MR. GABRIEL: Judge, at this time, I'd like  
15 to introduce Exhibit No. 87, his CV. I believe it  
16 was stipulated.

17 MS. JOHNSON: Correct.

18 THE COURT: Thank you. It's admitted.

19 (Petitioners' Exhibit No. 87 was admitted  
20 into the record.)

21 BY MR. GABRIEL:

22 Q. Explain to the Court a bit about your  
23 background, please, sir.

24 A. Before going to law school, I worked in the  
25 technology fields of electrical -- I have electrical

1 engineering degrees, and I worked at Hewlett Packard,  
2 Digital Equipment, Thinking Machines, Xerox  
3 Corporation, a number of computing companies and  
4 companies that build electronic devices for use in  
5 everything from medical hospitals to commercial  
6 computers and line printers and data processing  
7 equipment.

8 Q. How long did you do that for?

9 A. Approximately 23 years.

10 Q. I assume you started in those companies and  
11 built your way up, so to say?

12 A. Fair to say.

13 Q. Tell us briefly how you started and where you  
14 built up to.

15 A. Well, I started at Texas Instruments. I had  
16 a secret security clearance. I worked on military  
17 systems, as well as commercial systems. I was there  
18 three years, and when I left, I was a manager. That  
19 is, I had supervisors of programmers reporting to me,  
20 and I was responsible for putting together various  
21 design systems for both commercial and for the  
22 military.

23 Q. How does an electrical engineer go from there  
24 to law to then breath testing to the 8000; how does  
25 that all happen?

1           A.   Well, through my career, I've always worked  
2           in areas of technology, mostly with computers and with  
3           medical systems and systems that deal with the public  
4           that have a computer in them.  And the Intoxilyzer has  
5           a computer in it that basically controls what it does.

6                        When I worked at Hewlett Packard, I managed  
7           two particular groups that are relevant to, I think,  
8           what I'm going to talk about today.  One is I was a  
9           quality manager for Hewlett Packard.  I was  
10          responsible for the computer group, about half of the  
11          business in HP.  And as a quality manager, you look at  
12          problems that systems have, and you try to figure out  
13          how to make designs in the future that don't have  
14          problems that previous systems have.  So you're sort  
15          of responsible for the long-term goodness of the  
16          product line.

17          Q.   You're not only talking about product line,  
18          you're not talking about software issues, you're  
19          talking about hardware?

20          A.   I'm talking about hardware/software systems  
21          because the customer doesn't really care whether it's  
22          a hardware problem or a software problem.  The system  
23          doesn't do what it's supposed to do, that's a problem.  
24          And the company owns it.  We don't say it's the user's  
25          fault that the system doesn't work -- or we try not to

1 do that.

2 I know some people might -- when you call  
3 online support, you may seem to think that you're  
4 getting that when you call for help. But that's not  
5 an acceptable excuse.

6 Q. You also held the title of materials manager?

7 A. I did. And the materials manager in an  
8 organization is responsible for the quality of the  
9 parts that are purchased. That person is responsible  
10 for the procurement department, for the planning, for  
11 the incoming inspection of parts that come in, and  
12 ultimately is responsible for any failure of parts  
13 that may occur.

14 So, for example, the -- I'll talk a little  
15 later about the hole-drilling episode and how that  
16 would relate to what the materials manager in a  
17 manufacturing organization would typically have to say  
18 about that modification.

19 In Hewlett Packard or in any commercial  
20 endeavor of any substance, that's an unacceptable  
21 method of making a change. You -- and I'll talk more  
22 about that later. But you go back to the manufacturer  
23 of the part and you say, I need a part that has a hole  
24 in it, or I need a part with a different  
25 specification.

1           Maybe a hole is a wrong way to do it. Maybe  
2           there's a better way to do it. People that  
3           manufacture the part know how to do it. But that's  
4           kind of what a materials manager did, and I managed  
5           250 people across basically three countries. I had  
6           organizations in Japan, in Germany, as well as the  
7           United Kingdom and in the United States.

8           Q.    How did you get involved in the science of  
9           breath testing?

10          A.    Well, I guess in my mid-life crisis, if you  
11          will, I looked around at the things I had done in my  
12          career and the people that I had managed that I  
13          enjoyed working with, and the people that I enjoyed  
14          were the people who were attorneys. So someone said,  
15          well, if you enjoyed working with attorneys, why don't  
16          you go to law school and be one. So I said, well, I  
17          think I will do that.

18          And that's what I did. I went and took the  
19          LSAT. I took the -- without taking any prep course  
20          because I didn't know any better. And I applied to a  
21          couple of law schools, got in, was admitted, graduated  
22          when I was in my -- let's see, I graduated 16 years  
23          ago and I'm 64 now, so I graduated when I was 48,  
24          started a practice and I started working with  
25          attorneys.

1           Because of my technical background, which was  
2           not usual with most attorneys, I got a lot of  
3           questions from people about technical areas. And  
4           breath test machines was one of those areas.

5           Q.    And you've been involved in dealing with the  
6           science behind the Intoxilyzer instruments, both the  
7           5000 series instruments and the 8000?

8           A.    Correct.

9           Q.    And multiple versions of the Intoxilyzer 5000  
10          and the Intoxilyzer 8000?

11          A.    That's correct.

12          Q.    You've testified in other states?

13          A.    I have.

14          Q.    Approximately how many?

15          A.    About 20 now, I think. Last week, I was in  
16          Alaska.

17          Q.    And they're dealing, again, with the  
18          Intoxilyzer issues?

19          A.    Well, different states use different  
20          machines. So on the Intoxilyzer, I've testified in  
21          Ohio, in Arizona, in Florida, in California, in --  
22          I've testified over 600 times, so I don't recall all  
23          of the specific details.

24          Q.    I understand. And you testified regarding --

25          A.    Those were regarding the 8000.

1 Q. You testified regarding other manufacturers'  
2 breath testing instruments in other states?

3 A. I have, about half the states in the U.S.  
4 now and also in Canada.

5 Q. Considering your background, how was it that  
6 you became knowledgeable about different, quote,  
7 unquote, issues with volume in the Intoxilyzer 8000?

8 A. I actually was contacted by an attorney in  
9 Sarasota, two attorneys, and it was pointed out to me  
10 that they had observed some, what they felt were  
11 strange issues with volumes that were reported on the  
12 8000 from the website, the FDLE website.

13 They knew that I had collected all of the  
14 data on the FDLE website, and I have analyzed it and  
15 produced reports in the past that look at ways of  
16 studying the data and being able to determine whether  
17 that's a one-off type situation or whether it's  
18 common.

19 And I was able to do an analysis for the  
20 machines that were in Sarasota for a trial and  
21 presented that. And I believe that was the first time  
22 that -- the press covered it and wrote articles about  
23 it. And it was during that hearing, that, I think,  
24 for the first time, the public began to be aware of  
25 some of the major issues where the machine was

1 reporting volumes that were impossible to be correct.  
2 They were wrong.

3 Q. Instead of a 1.1 liters, they were reporting  
4 14's and 15's, I think?

5 A. We had some 15-liter samples. We had a huge  
6 number -- and by huge, I mean a number that was more  
7 than you could statistically explain as aberrations.  
8 There was something wrong with the machines.

9 Q. From there, I assume you were contacted by  
10 other counsels regarding their counties and their  
11 machines?

12 A. Correct.

13 Q. And you've testified in more than, I think,  
14 in just Sarasota about the issue of volume?

15 A. That's correct, I have.

16 Q. And were you able to accumulate the  
17 information and data from the Florida Department of  
18 Law Enforcement?

19 A. I was.

20 Q. What type of data did you accumulate?

21 A. Well, I was able, through a public records  
22 request from FDLE, to collect the subject test  
23 information that relates to tests. And I also have  
24 collected the inspection data, and I have collected  
25 compilations of the R-values that have been measured

1 that have been discussed here today across different  
2 machines, some of the spreadsheets, as well as the raw  
3 handwritten sheets that reflect the collection of that  
4 data.

5 Q. Now, you had --

6 MS. JOHNSON: I'm sorry. I'm going to object  
7 to this line of questioning. This witness hasn't  
8 demonstrated any familiarity with FDLE rules, and  
9 the validity of the rule is at issue here. This  
10 isn't a motion to suppress or a motion in limine.  
11 We're here to determine the validity of the rule.

12 I don't understand how this witness has any  
13 relevance to that issue.

14 MR. GABRIEL: I disagree with the objection.  
15 I can ask him questions, if the Court wishes, on  
16 it, which is right where I'm going.

17 THE COURT: Okay.

18 BY MR. GABRIEL:

19 Q. You're familiar with FDLE rules?

20 A. Yes.

21 Q. You've studied them?

22 A. Yes.

23 Q. You're an attorney. Did you use your  
24 attorney thinking cap and study them?

25 A. I see things through that prism. I can't

1 help it. That's what I do.

2 Q. Have you testified before in the State of  
3 Florida regarding FDLE rules and volume issues --

4 A. I have.

5 Q. -- and the interplay between the two?

6 A. I have.

7 Q. Approximately how many times?

8 A. I want to say between five and ten. I don't  
9 have a specific memory of docket numbers.

10 Q. I understand. You're familiar with FDLE  
11 rules and the different versions they have?

12 A. Yes.

13 Q. Do you know if in the -- let me ask you this  
14 way: Is it correct in the FDLE rules as they exist  
15 today that there are no rules formally adopted under  
16 the APA dealing with calibration of the instrument?

17 A. I have looked at the rules, and I have been  
18 unable to find anything that deals with calibration of  
19 any of the predicate scientific parameters that are  
20 measured and make up the breath test result.

21 Q. You have examined Rule 11D-8.003?

22 A. I've examined 11D-8. I can't say it's .003,  
23 but I've examined all of 11D-8.

24 Q. You examined FDLE forms that are incorporated  
25 by reference into 11D?

1 A. I have.

2 Q. And, again, in the forms, there's no rule  
3 requirement regarding calibration?

4 A. That's correct, not that I can find.

5 Q. Let's talk about the need for those rules.  
6 Do you have an opinion regarding the need for rules  
7 dealing with calibration of --

8 MR. JOHNSON: Object -- sorry.

9 MR. GABRIEL: Go ahead.

10 MS. JOHNSON: I would object to him offering  
11 his opinion. He's not been tendered as an expert  
12 under --

13 MR. GABRIEL: Judge, I'll tender him as an  
14 expert at this time regarding science of --  
15 regarding the science of the breath testing  
16 device. I will tender him as an expert  
17 regarding -- in electrical engineering. I will  
18 tender him as an expert in the theory and  
19 operation of the Intoxilyzer 8000. I would like  
20 to tender him as an expert in -- as a quality  
21 manager and tender him as an expert in materials  
22 managing, all of which he's testified to.

23 MS. JOHNSON: And I would object to him  
24 rendering an opinion on FDLE rules when he is not  
25 an expert on FDLE rules.

1           THE COURT: You have an objection to the  
2 tender of his expertise? I understand the  
3 objection to the rules testimony. Do you have an  
4 objection to his --

5           MS. JOHNSON: Not as to those areas.

6           THE COURT: Okay. He's accepted as an expert  
7 in those areas.

8 BY MR. GABRIEL:

9           Q. Sir, do you agree -- with your expertise, do  
10 you agree that volume is a key component for a breath  
11 sample?

12          A. I do.

13          Q. Do you agree that volume is a key component,  
14 therefore, for a valid and reliable and accurate  
15 breath test?

16          A. I do.

17          Q. Do you agree, scientifically speaking, that  
18 in order to ensure a valid, accurate and reliable  
19 breath sample and, therefore, breath test, that there  
20 should be rules requiring the proper calibration of  
21 those components that regulate a key component, that  
22 being volume?

23          MS. JOHNSON: Objection as to his opinions on  
24 whether or not there needs to be rules.

25          THE COURT: I think the question really is

1           whether he believes that that's a requirement to  
2           obtain a valid test result.

3           MR. GABRIEL: Yes.

4           THE COURT: And whether it's in the rules or  
5           not, his opinion of whether it's in the rules or  
6           not --

7           MR. GABRIEL: Well, we know it's not --

8           THE COURT: Well, it doesn't matter. His  
9           opinion of whether it should be in the rules or  
10          not, it doesn't matter. At this point, it's my  
11          determination as to whether the rules are invalid  
12          because they don't contain something that you all  
13          are suggesting that they should.

14          MR. GABRIEL: Right. But his -- as an  
15          expert, he should be allowed to say it is my  
16          scientific opinion based on XYZ that it should be  
17          included and, therefore, give a basis for the rule  
18          challenge.

19          MS. JOHNSON: I disagree. I think that's the  
20          ultimate determination of the Court.

21          MR. GABRIEL: Well, it is the ultimate -- I'm  
22          not disputing that. It is the ultimate decision  
23          of the Court, but to have experts come in and  
24          testify in their expertise and back that up of why  
25          they think it needs to be in the rules is the

1 basis of a rule challenge.

2 THE COURT: Well, no, I think it's his  
3 expertise as to why it should be happening at all,  
4 whether it's in the rule or not. It's my  
5 determination if it's not in the rule as to  
6 whether the rule's invalid because it's not in  
7 there.

8 MR. GABRIEL: I understand.

9 THE COURT: His expertise -- his testimony  
10 regarding his opinion on that case in that  
11 instance is not really relevant.

12 MR. GABRIEL: I'll rephrase the question.

13 THE COURT: Thank you.

14 BY MR. GABRIEL:

15 Q. Do you believe that in order to have a  
16 reliable and accurate breath sample and, therefore, a  
17 breath test, that the issue of calibration of the key  
18 components of that sample and test is scientifically  
19 needed?

20 A. It's not only needed, it's required. It's a  
21 scientific predicate.

22 Q. How is that?

23 A. If you don't -- first of all, when an  
24 Intoxilyzer 8000 is built, it is a -- it's a dumb  
25 machine. It doesn't have any idea of what a

1 particular sample would look like until it is  
2 calibrated. It's only after it's calibrated that it  
3 can measure things like volume, like flow of air, and  
4 like the alcohol level that's in a human breath.  
5 Prior to calibration, it cannot do any of those  
6 things.

7           If the machine is subsequently calibrated  
8 again after it's initially calibrated, it will totally  
9 reset what it's beliefs are about the world, and in  
10 the future, it will report results based upon that,  
11 I'll call it a recalibration, although a recalibration  
12 should be exactly the same thing as a -- as the first  
13 calibration. There should be no difference between  
14 them. But a recalibration means that it had been  
15 previously calibrated and is being calibrated again.

16           When it's calibrated again, it's very much  
17 like your bathroom scale that you stand on. If you  
18 turn the knob on the scale and change what the  
19 particular numbers will show up on the scale, you have  
20 recalibrated the scale, and the next person that  
21 stands on that scale will weigh differently than if  
22 you had not turned the knob on the scale. And that  
23 is, in essence, a calibration of a weighing device.  
24 And the same thing is true on the 8000.

25           Now, the other reason that it's essential is

1 that if the machine is not reporting any of the  
2 predicate measured issues, which are volume, flow,  
3 alcohol level and time, if it can't do those things,  
4 then the entire device is called into question, and  
5 you don't know whether you have a scientifically valid  
6 measuring device or not. And that comes from the many  
7 years at HP and the many instruments, both medical as  
8 well as electrical measuring instruments. They all  
9 require recalibration.

10 Q. Wouldn't the performance of a, quote/unquote,  
11 monthly inspection or department inspection show that  
12 it was working fine?

13 A. No.

14 Q. Explain to the Court why that is not.

15 A. First of all, those only exercise a limited  
16 amount of functionality of the device. They don't  
17 exercise everything in the device, and they also don't  
18 exercise the full range of the device in the way that  
19 the agency and department inspections are done in  
20 Florida.

21 They don't go all the way up to the top level  
22 of alcohol that could, for example, be detected by the  
23 machine. I don't think they detect above .30, that  
24 the inspections only go up to a .30 level of alcohol.  
25 So above that, you really are not exercising the

1 machine.

2           It's like testing the speedometer in your car  
3 up to 45, but yet the speedometer goes to 90. You  
4 don't know whether the top end of the speedometer  
5 works or not. When you would calibrate the  
6 speedometer, you would calibrate it all the way up to  
7 the top of that range -- or you should if you're doing  
8 it scientifically correctly. And the inspections  
9 don't do that. So there's that issue.

10           There's also the issue of all of the  
11 different error messages that the machine can produce.  
12 Those are not perturbed or they're not created as part  
13 of the inspection, so you don't know whether the error  
14 detection mechanisms in the machine are still working  
15 now or not. You have no idea whether those things or  
16 working or not.

17           And when you calibrate the machine, you  
18 calibrate it with different levels of alcohol, and you  
19 tell it what the machine should respond if it sees  
20 those levels again given that it's properly  
21 calibrated.

22           Q. Now, are you talking that during a normal  
23 department inspection or agency inspection on a  
24 monthly and yearly basis --

25           A. Well, an inspection is a certification of the

1 calibration. It's not a calibration. When you do an  
2 inspection, you do not tell the machine to forget the  
3 past and reset itself to perform in a new way. That's  
4 what a calibration does. A calibration says, forget  
5 all of what you've seen in the past and from here  
6 forward, this is how you're to look at this particular  
7 measurement aspect.

8           So if the machine was working incorrectly, as  
9 it was measuring volume, if you recalibrate the way  
10 the machine reads volume, it will work correctly in  
11 the future given that the components are working  
12 correctly. And that's a big caveat here because we  
13 have some evidence that that's not the case.

14           Q. So calibration is completely different than  
15 the inspection mechanism that the Florida Department  
16 of Law Enforcement has set up in its rules required on  
17 a monthly and yearly basis?

18           A. That's correct. They may look similar to  
19 someone who casually glances at what's going on, but  
20 they're totally different. The calibration resets the  
21 machine and tells it in the future "this is what  
22 you're to interpret these signals to mean."

23           A certification or an inspection is like  
24 taking a 50-pound block and putting it on a scale and  
25 saying, did the scale read 50 pounds plus or minus 2

1 pounds. That's a certification -- or that's an  
2 inspection of the scale.

3 A calibration would be to bring in a special  
4 block that weighs exactly 50 pounds that's a standard,  
5 put that on the scale and then turn the knob so that  
6 it now reads exactly 50. That's a calibration of a  
7 scale. So checking the scale and calibrating it are  
8 two separate functions. They both use the standard,  
9 but they perform totally different tasks.

10 MR. GABRIEL: May I approach the witness?

11 THE COURT: Yes.

12 MR. GABRIEL: Let me show you what's been  
13 marked as Petitioner's Exhibit No. 95. And I  
14 would like to move it into evidence. I think  
15 there was no objection, case law.

16 MS. JOHNSON: No objection.

17 THE COURT: It's admitted.

18 (Petitioners' Exhibit No. 95 was admitted  
19 into the record.)

20 BY MR. GABRIEL:

21 Q. Take a second and read the highlighted  
22 portions to yourself.

23 A. (Witness complies.)

24 Q. Mr. Workman, is it your opinion, based upon  
25 your expertise in science dealing with the breath

1 testing device, the 8000 we're here on today, that in  
2 order to ensure scientifically reliable results, there  
3 needs to be the proper testing, inspection and  
4 calibration of an evidentiary breath testing device?

5 A. Well, this is the Bender predicate that I've  
6 studied before, and it specifically says in Part 2  
7 that the machine itself has been calibrated, tested  
8 and inspected. So I take the construction to mean  
9 that all three of those are required and that none of  
10 them may be omitted and still have the device meet the  
11 predicate.

12 Q. Being an attorney, you know what case law is;  
13 you know what precedential value is; you've studied  
14 that before?

15 A. I do.

16 Q. And in your study and experience, have you  
17 ever seen any type of case law from the State of  
18 Florida that would change the opinion of the Florida  
19 Supreme Court requiring all three of those?

20 A. Well, I've Shepardized Bender, not in the  
21 past week, but probably within the past six months,  
22 and I understand it to be still good law.

23 Q. And do you believe it's still good science  
24 that science requires those three individual  
25 components?

1           A.    I'm not sure I consider it to be totally --  
2           you know, the total picture, but those are certainly  
3           based upon sound science.

4           Q.    Do you think there should be more --

5           A.    I do.

6           Q.    -- in your scientific opinion?

7           A.    I do.

8           Q.    Do you believe that anything is more  
9           important than those three, if you use those as the  
10          three starting blocks, the three pillars?

11          A.    They're certainly the three that I would  
12          start with, yes.

13          Q.    Okay.  In regard to the calibration and flow,  
14          have you been notified regarding issues with the  
15          Intoxilyzer 8000 instrument and problems reporting the  
16          flow?

17          A.    I have personally researched those and found  
18          issues, and I've also seen the Brady notices that were  
19          sent in Sarasota to individuals who had been charged,  
20          basically telling them that new exculpatory  
21          information had been discovered, and they would be  
22          allowed to vacate their pleas, their guilty pleas, and  
23          relitigate the criminal matters with the courts there.

24          Q.    What about, do you know when I say "R-value"  
25          what I'm referring to?

1           A.    I do.

2           Q.    Tell the Court a little bit about that.

3           A.    The R-value is the resting value or the  
4 measurement of the pressure transducer at rest.  And  
5 when I say at rest, I mean when no air is being blown  
6 through the device.  So those devices when they're  
7 manufactured have a reading that they will report when  
8 nothing is happening.

9                    So, essentially, it's the -- it's your scale  
10 and when there's no weight on the scale, it should  
11 read zero.  When we say zero, if it's an electronic  
12 scale, there may be a voltage that flows out of the  
13 scale that we could measure and perhaps 2 volts mean  
14 zero.  So we have to know what the electrical  
15 characteristics are of that pressure transducer when  
16 no air is being blown and there's no pressure on that  
17 part.

18          Q.    In your review of FDLE records regarding  
19 volume problems and "R" issues, were those -- did  
20 those two somehow come together?

21          A.    I did begin to see a correlation between  
22 R-values that were low -- and by low, I mean there  
23 were times when I thought below a hundred and then  
24 there were other documents that I saw that mentioned  
25 below 60 as being levels that were of concern.

1           So as the part begins to electrically --  
2           electronically drift and as it wears out, the R-value  
3           may drift. And basically that is a symptom or a  
4           suggested electronic value that suggests that there's  
5           a problem with the part.

6           It's very much like when your mechanic looks  
7           at your car and maybe reads things from the computer  
8           to say, you don't have a problem with this yet, but I  
9           think this problem is coming, in that the R-value is a  
10          predictor of problems that are either occurring now or  
11          will be occurring shortly with respect to measuring  
12          pressure and, therefore, volume.

13          Q.    Does it make sense in the scientific  
14          community dealing with breath testing and this  
15          Intoxilyzer to ignore that indicator, the R-value, and  
16          to not check it?

17          A.    Technologically, it would be irresponsible, I  
18          would say, to ignore it.

19          Q.    Do you know who Enforcement Electronics is?

20          A.    I do.

21                  MR. GABRIEL: May I approach?

22                  THE COURT: Yes.

23                  BY MR. GABRIEL:

24          Q.    Let me show you what has been marked as  
25          Exhibit No. 84 in evidence.

1           A.    I've seen this before.

2           Q.    Is it a fair statement that with the  
3 manufacturer, they're setting standards different than  
4 what you've heard of have been set by the Florida  
5 Department of Law Enforcement regarding R-values and  
6 when it's an indicator?

7           A.    The entire style and the contents of these  
8 folks' standards are different from --

9           Q.    "These folks" being Enforcement Electronics?

10          A.    Enforcement Electronics.  They do things  
11 totally differently from the way FDLE does things,  
12 both in terms of the order in which things are done,  
13 in terms of when they calibrate, they use different  
14 concentrations of solutions to do their calibration.  
15 It's an apples to pineapples kind of comparison.

16          Q.    Do you believe, scientifically speaking, that  
17 in order to have a reliable sample, therefore, a  
18 reliable breath test, that uniformity of inspection  
19 procedures is a requirement?

20          A.    If it's different, you have to understand how  
21 you have not compromised the reliability of the  
22 result.  And I see nothing to suggest that that study  
23 has been done to show that both of these are equally  
24 as good.  And from a scientific standpoint, you find  
25 the best way to do it and then you adopt that as a

1 standard. You don't have three or four different  
2 standards.

3 I say that because I've actually promulgated  
4 standards in industry with the I triple E (IEEE),  
5 which is the Institute of Electrical and Electronic  
6 Engineers.

7 Q. Tell us about that.

8 A. And I was on their computer standards  
9 committee for a time which was responsible for  
10 figuring out what standards would need to be  
11 generated. So, for example, the USB standard that's  
12 for a small thumb drive, that standard -- the standard  
13 for USB makes that device possible. If you allowed  
14 all the companies to individually go make their own  
15 USB port, none of them would work together today.

16 So the IEEE promulgated a standard USB, which  
17 makes all of them interchangeable and exchangeable.  
18 And I served on that committee, and I actually co-lead  
19 one of the organizations to measure the reliability of  
20 software.

21 And as part of that, I co-chaired national  
22 standards committees with the National Bureau  
23 Standards, which is not called NIST, National  
24 Institute of Science & Technology, in systems  
25 reliability. And I actually was invited by the

1 Congress to testify before a Blue Ribbon panel when we  
2 were investigating Star Wars to see whether we had the  
3 reliability of our systems to be able to put things up  
4 into satellites and be able to do that job. So there  
5 was a time when that was an area where I worked quite  
6 significantly with the government.

7           If you -- standards, the idea of standards  
8 are to take practices that work to distill out the  
9 ones that are best practices and then to document  
10 those so everybody learns and uses the same standards.  
11 We do that in the courts in terms of how the courts  
12 operate.

13           We don't -- every court doesn't operate  
14 differently, hopefully. Maybe they -- sometimes we  
15 think they do, but there's a basic structure to the  
16 way things work, and there are basic rules about how  
17 we interact with one another in court. And there are  
18 timelines, and we all agree that we meet in the same  
19 courtroom and that type of thing.

20           So those things have been standardized. And  
21 in the field of science and technology, you basically  
22 distill out what are the best practices. You document  
23 those, and everybody does it the same way.

24           Q. Now, do you keep that knowledge of distilling  
25 out the best practices and getting everyone to do it,

1 is it -- in the scientific community, is it normal to  
2 just keep that internal and not make that a  
3 requirement?

4 A. The general idea when you develop a standard  
5 is you publish it for public comment. And you do that  
6 because you don't necessarily have all of the  
7 expertise in-house. And you also don't know all of  
8 the people who are affected by the standard. You may  
9 think you know all the people that are affected until  
10 you publish it for comment. And then you find out  
11 that there are other organizations you didn't think  
12 about when you wrote the standard, and they have some  
13 ideas and suggestions about how you ought to change  
14 what you've done.

15 It helps you in the long term to develop a  
16 better mechanism for distilling and making these  
17 standards, but it also is a safety check to make sure  
18 that you haven't forgotten some organization or some  
19 research that's been done that you don't know about  
20 that someone else can point to your -- point out to  
21 you to help you improve the ultimate product.

22 Rarely are things totally internal. Even  
23 though the particular process may be performed by  
24 internal employees of FDLE, for example, it does not  
25 mean that it's not a proper topic for standardization,

1 and it does not mean that you should not have rules to  
2 govern how that's done.

3 Q. Are you familiar with the Administrative  
4 Procedures Act?

5 A. I am.

6 Q. Have you practiced in it in any way before?

7 A. I have -- I haven't litigated in Florida.  
8 I'm not licensed to practice here, but I have  
9 litigated matters of an administrative nature in other  
10 states, in Massachusetts.

11 Q. Considering your familiarity in other states  
12 and in Florida, would you agree that a purpose of the  
13 APA procedures is to -- deals with notification?

14 MS. JOHNSON: Objection. He just stated he's  
15 not familiar with Florida and APA.

16 THE COURT: Sustained.

17 BY MR. GABRIEL:

18 Q. Sir, I would like to switch a little bit and  
19 talk to you about the aspect of a hole being drilled  
20 into the Intoxilyzer.

21 A. Okay.

22 Q. You've learned about that, correct?

23 A. I have.

24 Q. You've seen photographs of that?

25 A. I have.

1 Q. You've talked to people who were there?

2 A. Correct.

3 Q. You've heard testimony from government  
4 experts and from defense experts previously?

5 A. I have.

6 Q. You've been involved yourself and testified,  
7 I think, about it before?

8 A. I believe I have.

9 Q. You've testified in other states regarding  
10 it?

11 A. I have.

12 Q. Or has that not yet arisen?

13 A. It has. It actually arose two weeks ago in  
14 Ohio where I testified from a transcript of Laura  
15 Barfield that she had been told by CMI that both  
16 Florida and Ohio have devices which have the hole  
17 drilled in them, and they were quite surprised to hear  
18 that.

19 Q. Going back to your background in quality  
20 management and a materials manager, explain to the  
21 Court the process that under those sciences should be  
22 taking place when you have a device, it's being  
23 tested, and it's failing.

24 A. Well, the first thing that you must do is you  
25 must understand the cause of the failure. And that

1 requires, perhaps, experiments. But you don't start  
2 out with fixes to the problem until you understand  
3 what the problem is. And when I say the problem, I  
4 don't mean that the alcohol level is reading  
5 incorrectly. I mean what is the root cause of that  
6 problem.

7           If it's -- if the hypothesis is that there  
8 are leaks in the system, you need to find out where  
9 those leaks are. And there are tools to do that kind  
10 of thing. People who fix air conditioners in cars, if  
11 you watch them, they have different solutions and just  
12 simply soap and water on the various connections to  
13 see if bubbles form. And that will occur if you have  
14 positive pressure and you have a leak and there's a  
15 leak in some of the plumbing.

16           And I call the method of transporting the  
17 breath through the machine, the plumbing of the  
18 machine. Once you determine what the problem is, you  
19 can then begin to look at various solutions to the  
20 problem. As you look at solutions to the problem, you  
21 may be able to modify a part if you have engineering  
22 control of how that part is manufactured.

23           If you don't have engineering control, it's a  
24 very dangerous and not -- it would not be allowed in  
25 the manufacturing organizations where I've worked to

1 go in and, say, drill a hole in a particular part.

2 Q. What significance would you attach to the  
3 fact that the manufacturer of the 8000 made a decision  
4 to drill a hole in the valve of all of their  
5 Intoxilyzer 8000s utilized in the State of Florida?

6 A. Well, I'm assuming that they did it for all  
7 of the machines, and I would say it is a -- it's an  
8 absolutely dangerous -- dangerous procedure for a  
9 couple of reasons. First of all, where is the hole  
10 drilled in the part, and is it drilled in precisely  
11 the same place in all the parts? Where is the  
12 specification for drilling the hole?

13 I don't see that in any of the Florida cases  
14 or any of the -- I see pictures, but I don't see a  
15 specification that says, you go, you know, .256 inches  
16 down from the top and exactly this far in, and you put  
17 the hole centered here, plus or minus some quantity.  
18 There's none of that. It's as though somebody took a  
19 hand drill and just started drilling holes in the  
20 part.

21 The second thing that we don't know is  
22 whether the part that you're drilling the hole in is  
23 identical from unit to unit. And, historically, as a  
24 materials manager, we saw our manufacturing line shut  
25 down numerous times because the manufacturer of that

1 part would change the way it's manufactured.

2           And their goal was to keep the part the same  
3 from a form, fit, and function standpoint. And that  
4 was important. Form, fit, and function means it's the  
5 same physical size and the specifications from outside  
6 the part are identical, so whatever the part's  
7 designed to do, it will continue to do that: The  
8 holes that are drilled for mounting it are in the same  
9 place, and it's not made out of metal versus plastic  
10 so that one is conducting electricity and the other's  
11 not.

12           But that's form, fit and function. What goes  
13 on inside the part is basically none of the customer's  
14 business because you're only concerned about the form,  
15 fit and function. So by drilling a hole in this part,  
16 you don't know what's inside the part is the same from  
17 the parts that you drilled the hole in, and you don't  
18 know what the effect would be of having that hole  
19 there.

20           What quality managers and material managers  
21 deal with all too often is a concept called  
22 "unintended consequences." And unintended  
23 consequences basically say that while the modification  
24 that you made did not intend to create a problem in a  
25 different area, in fact, it does create a problem in a

1 different area. And the only way to find those is to  
2 thoroughly test or to re-certify the machine once  
3 you've made a change that could have unintended  
4 consequences.

5           If we didn't believe in those kinds of tests,  
6 the FDA would simply test a drug by giving it to one  
7 person and saying, well, you got well, so we're not  
8 going to really worry about the side effects that may  
9 occur from this; we're just going to approve the drug.  
10 And that's not the way business is done in matters  
11 that are important and where unintended consequences  
12 can and have historically occurred.

13           This, to me, is incredibly technologically, I  
14 would almost say, irresponsible to implement it this  
15 way. The proper way to do this is to go back to the  
16 manufacturer of the part and say, we believe we have a  
17 problem with the use of this part; we need a part that  
18 does something differently than what you're delivering  
19 to us now.

20           The manufacturer might have said, oh, we've  
21 already got a part that does that. It's a different  
22 part number, even costs the same amount of money. You  
23 just need to order this other part instead of that  
24 one, and it will do what you're looking for. That  
25 could be the case.

1 MR. GABRIEL: May I approach?

2 THE COURT: Yes.

3 MR. GABRIEL: I'm going to show him Exhibit  
4 No. 85. Do you have any objection to that?

5 MS. JOHNSON: No, I do not.

6 MR. GABRIEL: I'd like to move that into  
7 evidence, Judge.

8 THE COURT: Eighty-five is admitted.

9 (Petitioners' Exhibit No. 85 was admitted  
10 into the record.)

11 BY MR. GABRIEL:

12 Q. Take a look at Exhibit No. 85, which is an  
13 Intoxilyzer 8000 parts list. The page I'm referring  
14 you to and the part number is part number -- according  
15 to the change order of 401453.40 (phonetic). What is  
16 that reflecting?

17 A. 340145?

18 Q. Yes, sir.

19 A. Actually, I know this document is called a  
20 parts list, but, technically, it's a document called a  
21 bill of materials.

22 Q. Okay.

23 A. Which is what a materials manager actually  
24 manages. The difference is the bill of materials  
25 contains not only the part number, the description,

1 but also requires the quantity that are required to  
2 build the device.

3 Q. Okay. Is that reflected in that list there?

4 A. And those are all reflected here, so I would  
5 say this is -- a parts list would not have the  
6 quantity required in it, but would just list all the  
7 parts.

8 This lists the quantity that's required so  
9 that the procurement organization can go out and buy  
10 the right number of things to build one of these, or  
11 when it's built, they know how many parts have been  
12 taken off the shelf and what we have to replenish our  
13 raw material stock with.

14 Q. Turning back to your engineering background,  
15 do you know what cracking pressure of a valve is?

16 A. The cracking pressure for a check valve is  
17 the amount of pressure required to cause that valve to  
18 open and permit a flow through the valve.

19 Q. Does that Exhibit 95 -- I believe it is in  
20 front of you -- denote the cracking pressure of that  
21 valve?

22 A. It does not. Although that's not  
23 particularly unusual. There would be a specification  
24 that would be associated with this particular part  
25 that would go into more detail.

1 Q. What does the 3 denote in front of checking  
2 valve or after the checking valve?

3 A. I'm not sure of the -- normally, that would  
4 say 3 inches, but I'm not certain what --

5 Q. If it said 3 inches, what would that denote?

6 A. That would denote a physical size of the  
7 check valve, so it would infer that there are  
8 different sizes available, physical sizes, and that  
9 this particular part has to be of both physical  
10 dimensions in order to fit into the box.

11 If you had a 2-inch check valve, it would be  
12 smaller, and it would not fit physically into the  
13 device and a 4-inch check valve might be too large and  
14 wouldn't fit at all because of the space constraints.

15 Q. You're familiar with the operations of an  
16 Intoxilyzer 8000?

17 A. I am.

18 Q. You teach a course, I believe, on it?

19 A. I do.

20 Q. Where at?

21 A. I teach a course that's a CLE, a continuing  
22 legal education course that's accredited, and we teach  
23 to toxicologists and pharmacologists, as well as to  
24 attorneys.

25 Q. And in what state?

1           A.    It's in Louisiana.

2           Q.    With the Intoxilyzer 8000 and this exhaust  
3 valve, do you see -- from an issue of your background  
4 and engineering, do you see an issue in the way that  
5 this solution was done of drilling a hole into this  
6 valve?

7           A.    The drilling of the hole at the factory may  
8 have been a good step to diagnosing the problem, but  
9 using that as a method of a solution, to me, is --  
10 it's irresponsible without doing a full test of the  
11 device because you have changed the way that the  
12 machine analytically analyzes samples.

13          Q.    Let me ask you a question:  From your  
14 background and the science that we've talked about,  
15 would it make sense to the -- for the test, to  
16 determine whether or not it's a good solution, would  
17 the test be to run some simulators and see if the  
18 results are good?

19          A.    That would be at a minimum what you would  
20 have to do, but it's not just the simulators.  That  
21 valve is in play for all four of the different things  
22 that go on in that device.  And I guess I disagree  
23 with my colleague, Mr. Malhiot in that it has nothing  
24 to do with breath test because it may have something  
25 to do with the breath test.

1 Q. Explain how that is.

2 A. Well, if the check valve is normally closed  
3 and it would be closed tight, it would be as though  
4 nothing flows through it, and you drill a hole in it,  
5 you've destroyed the basic function of that device.  
6 It now does something different than what a check  
7 valve would normally do. And where it is in the  
8 circuit of the plumbing may or may not have an effect  
9 on a human breath sample.

10 Q. Is the fact that in a circuit of circulating  
11 air the fact that you drill a hole in a valve that's  
12 supposed to shut off air or let air go, obviously  
13 that's closed, you drill a hole in it, does that fact,  
14 in and of itself, indicate that there is another  
15 problem somewhere else besides that valve?

16 A. Well, in a closed system, there would have to  
17 be two other problems in order for the system to not  
18 give correct results. There would have to be, one,  
19 leakage in the plumbing before the cylinder where the  
20 measurement takes place; and there would have to be a  
21 second leak after that cylinder.

22 Because if there was only one leak in the  
23 system, then the pump would not force air in or out  
24 because it would basically -- the leak wouldn't do  
25 anything. You have to have two leaks in order for air

1 to go in one place and out another.

2           And the place where the air would come in,  
3 typically, is in the simulator, the wet bath simulator  
4 itself. The wet bath simulator, Judge, is a glass  
5 jar, a half a liter in size, about the size of a large  
6 peanut butter jar, and then on top of it is screwed on  
7 top a metal cap, if you like, that has some tubes that  
8 go down into it.

9           When you're drawing air in from that  
10 simulator, if the seal of that -- of the top that fits  
11 on top of here -- there's a rubber seal that goes  
12 around the top that mates with the glass -- if that  
13 seal is leaking, you'll draw air into the simulator,  
14 and you'll dilute the concentration of the gas that  
15 you're bringing in that's laden with ethanol. And if  
16 that happens, you'll end up getting a machine that  
17 will be out of -- that won't read correctly when  
18 you're trying to --

19           Q. You're diluting it so it will read low?

20           A. It will read low. And, in fact, that is the  
21 symptom that I think we saw that they were trying to  
22 fix. Now, by drilling a hole in this check valve,  
23 what they're doing is they're allowing the machine to  
24 draw air in from a different place in the circuit  
25 after the cylinder, which is not going to dilute the

1 solution, and they're drilling a hole that's large  
2 enough that the air that will be drawn into the  
3 circuit will be from the place of least resistance and  
4 that's with the larger hole. I understand that's  
5 what's going on with this hole-drilling mechanism is  
6 that there are other leaks.

7 Now, the proper solution, from a  
8 technological standpoint, is fix the leaks. I mean,  
9 you don't drill a hole in the top of your hot water  
10 heater because your house has leaky faucets. I mean,  
11 that's just a -- it might be useful to diagnose the  
12 problem, but to use that as a solution to the problem,  
13 to move where the leaks are taking place -- and by the  
14 way, there's got to be two leaks because if there's  
15 only one, then you won't be drawing air in or let air  
16 escape.

17 Q. Well, according to the manufacturer, the  
18 manufacturer notes -- it's been moved into evidence in  
19 their engineering change notes. Have you seen those?

20 A. I have.

21 Q. They note that there is another leak. They  
22 note that the hole is being drilled to compensate for  
23 leaks in the recirculation path.

24 A. But the key word there is "leaks" plural  
25 because it's not one leak. There's multiple leaks and

1 they're drilling a hole now to create a path of least  
2 resistance to allow that leak to be the one that's  
3 activated. And it's leaking in a place that they  
4 believe is not harmful to the result for a wet bath  
5 test. But therein is the problem: They haven't  
6 really studied will that cause a harm to a human  
7 subject breath or other things that the machine does.

8 Q. So, for instance, if you're in a boat and you  
9 take a 12-gauge shotgun and shoot a hole in the bottom  
10 of the boat, and you take a .45 and you shoot a hole  
11 in the boat, and you take a .22 and you shoot a hole  
12 in the boat. You've got three holes in your boat,  
13 right?

14 A. Right.

15 Q. Your boat's sinking, right?

16 A. Well --

17 Q. You may be able to fix the .45 hole and the  
18 .22 hole, but your boat is still sinking, right?

19 A. Well, suppose the boat was -- had a big motor  
20 on the back so that the boat is tilting up a bit, and  
21 the water accumulates to a level where one of those  
22 holes is actually above the water, you could say,  
23 well, I shot that hole to let the water drain out.

24 But you're right, the solution is fix the big  
25 holes that are letting the water in. Don't shoot more

1 holes in the bottom of your boat to let the water flow  
2 out because -- that might be useful to diagnose the  
3 fact that you've got holes in the bottom of your boat,  
4 but that's not going to fix the problem.

5 Q. But you can take a -- one remedy you can take  
6 is to take something and plug the .45 hole and the .22  
7 hole, right? You can plug two of the three holes?

8 A. Correct.

9 Q. You still have a sinking boat, though, don't  
10 you?

11 A. You would. But the difference with the  
12 Intoxilyzer is you have a closed system that should be  
13 sealed so that you -- so that any one hole or one leak  
14 should not cause either material to go out or material  
15 to come in.

16 Q. Kind of like a hull that should not leak  
17 water when you're in the water?

18 A. Well, yeah, but large boats have hulls that  
19 are sealed so that they're -- so that if you put one  
20 hole in it, it's not supposed to sink the boat by  
21 virtue of the fact that -- the Titanic was one of  
22 those, so probably a bad example.

23 But if you look at the recirculating system  
24 of an Intoxilyzer 8000 --

25 Q. Right.

1           A.    -- the pump, which is forcing air to go in  
2           one direction, if all of that plumbing is airtight and  
3           there are no leaks, then that air will simply go  
4           around and around that recirculating circuit.

5                    If I put one hole someplace or one leak  
6           someplace in that system, because I have everything  
7           else sealed, when I get to that point where there's a  
8           hole, there's no pressure for the stuff to go out  
9           because I've got material coming in and material  
10          coming out of that section of pipe, and the amount  
11          coming out has to be equal to the amount going in.

12                   The pump is drawing air in from one side and  
13          pushing it out the other, okay.  So one hole should  
14          not create a leak because it's a closed system, and it  
15          should be sealed so that you don't lose anything.  But  
16          if you have two leaks, then you've got a problem.

17          Q.    But how is it that the creating of another  
18          leak fixes a leak?

19                   A.    Because the place where that hole was drilled  
20          was past the cylinder so that what's going to be drawn  
21          in is air before it goes to the simulator.  So because  
22          it's air before it goes to the simulator, the air that  
23          goes through the stimulator will then be laden with  
24          ethanol at the prescribed amount.

25                    And if you make a hole big enough so that it

1 will draw air in from that point and not from the  
2 tighter point in the simulator itself, then the air  
3 coming into the machine will be of the correct  
4 concentration for the simulator portion of what's  
5 going on, but not for any of the other three functions  
6 that are done by the machine.

7 Q. You've seen the pictures -- they've been  
8 moved into evidence -- of the hole in the check valve  
9 assembly, correct?

10 A. Yes.

11 Q. And you have a copy in front of you? I know  
12 that's just a copy, right?

13 A. I do.

14 Q. You're familiar with -- are you familiar at  
15 all with the specifications of the plate that goes on  
16 top of that hole?

17 A. I've seen that. I haven't -- I'm familiar  
18 with the fact that there's a plate over the hole.

19 Q. Do you know whether or not there is any type  
20 of air fixtures or seals that are going to make that  
21 exhaust block assembly airtight in and of itself?

22 A. No. The fact that there's a hole there makes  
23 it not airtight, and it's under negative pressure, I  
24 believe, to accomplish what has been claimed.

25 Q. Is it therefore going to be, in essence, at

1 certain points in time, not all the time, but at  
2 certain points in time, leaking alcohol-laden air into  
3 the internal parts of the instrument?

4 A. Well, there's a second -- there are two other  
5 leaks before this one came into play. And if there  
6 are two other leaks, we have to assume that one of  
7 them could be inside the instrument. And if one of  
8 them is inside the instrument, then what we have is  
9 air laden with alcohol that's being leaked inside the  
10 machine that should not be leaked inside the machine.

11 And that air with alcohol in it -- or with  
12 ethanol in it can do mischief with the mechanisms  
13 inside the machine. For example, people have talked  
14 about the fact that there's a light source that shines  
15 through a cylinder. What they haven't said, though,  
16 is that there's a gap between the light source and the  
17 cylinder. So that alcohol-laden air can occupy that  
18 gap, and if it does, it's going to read more because  
19 that's -- those are ethanol molecules that are there.

20 And depending upon that concentration, which  
21 we don't know what it is, it's really the  
22 concentration of the previous person if there's a leak  
23 inside the machine. So we could have a person who was  
24 at a .35 alcohol that blew into it and then your  
25 client comes along at a .02, well, the alcohol inside

1 the machine came from the guy with the .30. It's  
2 going to be a lot more ethanol than somebody with a  
3 .20, (phonetic) and that may be significant enough to  
4 affect the breath test.

5 And until tests are done to show how much  
6 ethanol is leaking, what the volume is, where it goes  
7 and how that can affect the machine, you've basically  
8 violated the test that you did in the first place to  
9 show that this machine is a functioning machine.

10 Q. When you have a machine, a machine of science  
11 I'll call it, be it an Intoxilyzer or other  
12 instrument, and you start modifying it, are you  
13 creating, in essence, a different machine?

14 A. You are.

15 Q. By its modification?

16 A. That is correct.

17 Q. Does science, the science dealing with breath  
18 testing machines, in your opinion, does it dictate the  
19 fact that there ought to be procedures for determining  
20 whether or not that modification substantially affects  
21 the instrument to such a nature that it calls for  
22 re-approval?

23 A. There are, but I would -- I would also say  
24 that in this instance we know that it does and I --  
25 triggers that mechanism. And I say it does because

1 allegedly the implementation of this hole has changed  
2 the amount of alcohol measured by the wet bath portion  
3 of this machine by at least a .02, which can be 25  
4 percent at the .08 level. So that's a significant  
5 modification of the results.

6 Q. Before the hole was drilled, you did not have  
7 compliance with the rules as existed, and after, you  
8 have a machine that allegedly works and is now within  
9 range?

10 A. Well, someone may want to suggest that that's  
11 the case. What I would suggest from a scientific  
12 standpoint is that you cannot modify a device and not  
13 understand what the problem was and then say that,  
14 "well, look, now it works," without an analysis of  
15 what else did it change. We just --

16 Q. But when you -- why worry what else it  
17 changes if it's working, if you're running the normal  
18 monthly, yearly department inspections on that  
19 machine, you're putting .08 solution through the  
20 simulator and getting an .08; you're putting a .10,  
21 getting a .10? It's working according to their  
22 standards, FDLE?

23 A. Well, those are called necessary, but not  
24 sufficient. The scientists would say those are  
25 necessary, but not sufficient to ensure validity,

1 especially when you've made a change in the machine,  
2 and you don't understand what else that change may  
3 impact. And, again, you have unintended consequences  
4 of that change.

5 Q. The fact that the rules require monthly and  
6 yearly inspections is necessary is what you're saying,  
7 scientifically speaking?

8 MS. JOHNSON: Objection.

9 THE WITNESS: They're necessary for what the  
10 machine --

11 MS. JOHNSON: Objection to what the rules  
12 provide.

13 THE COURT: You want to rephrase your  
14 question?

15 MR. GABRIEL: Sure.

16 BY MR. GABRIEL:

17 Q. Scientifically speaking, in the science of  
18 alcohol breath testing devices, how is it determined  
19 or how should it be determined, the analytical  
20 reliability of an instrument? Forget modification for  
21 a minute. The analytical reliability?

22 A. Well, that needs to be determined upfront in  
23 the way the particular machines are, if you like,  
24 approved. There's an approval process that needs to  
25 be accomplished.

1 Q. Right.

2 A. And then there needs to be a maintenance  
3 process that basically talks about and what has to  
4 happen to each machine to ensure that it doesn't break  
5 down and we don't know that it's broken for some time.

6 Q. Is that, again, in your opinion, why, for  
7 instance, the Supreme Court requires three  
8 inspections, testing and calibration?

9 A. I can't -- I can only speak for the science.  
10 I can't speak for what the Bender court was thinking.

11 Q. You're correct.

12 A. Under the Bender predicate, I think that -- I  
13 would suspect they were thinking either about Frye or  
14 about Daubert, and those are the pillars of what  
15 science would require at a minimum. And I say at a  
16 minimum because there are other things that you may  
17 also want to be concerned about.

18 For example, you need to know if you make  
19 changes to the machine, what changes are going to  
20 trigger a reassessment of the initial assessment that  
21 you did. In other words, when do we have to re-exam  
22 this machine to see if it's still working the way we  
23 thought it should work. You need to define that.

24 And then you need to define what are the  
25 things that you do when you do that certification.

1 And both of those are not in the rules, and I would  
2 suggest that they need to be in the rules. And this  
3 is the -- Exhibit No. 1 as to why they need to be in  
4 the rules.

5 Q. What do you mean by that?

6 A. By that, I mean there are holes drilled in  
7 here which change the readings that the machine gave  
8 so that it would bring them into what FDLE considered  
9 an acceptable range.

10 So does it affect the measurement system? It  
11 absolutely does. By definition, it does because we're  
12 getting a different answer now than we used to get.  
13 So the machines before the holes give a different  
14 answer than the answer after the holes. So, of  
15 course, there's a change in the way the measurement is  
16 being performed.

17 Now, it may not change the infrared light  
18 spectrum that's being used and the light source and  
19 the detector, but it's changing the overall process of  
20 the way this machine is functioning. It's not working  
21 the same way now as it used to work.

22 Q. Do you believe in the field of alcohol  
23 testing and the science behind it, that there is a  
24 need for uniformity of maintenance in calibration  
25 issues?

1           A.    Yes.  I think that the calibration should be  
2           done the same way every time.  It should not matter to  
3           the person being tested whether the machine was  
4           calibrated by FDLE or by the Electronic --

5           Q.    -- Enforcement?

6           A.    -- Enforcement -- or the Enforcement  
7           Electronics' calibration standard.  It shouldn't make  
8           a difference.  You should get the same quality test as  
9           a citizen regardless of who calibrated it.  And today,  
10          you can't say that.  You don't know that.

11                    If I could add one other thought, and I think  
12          it is FDLE that needs to tell Enforcement Electronics  
13          what they need to do to calibrate Florida machines and  
14          not the other way around.  I think FDLE has the  
15          responsibility of telling them, "these are FDLE's  
16          standards for calibration and you need to follow our  
17          standards," not that Enforcement Electronics will do  
18          something different, and FDLE will say that that's  
19          okay.

20          Q.    Does your opinion, scientifically speaking,  
21          change at all if you have knowledge as to the accuracy  
22          of certain component parts that are measuring the  
23          alcohol volume, i.e., the flow sensor?

24          A.    Sure.  I've talked about that before.

25          Q.    You're familiar that the flow sensor,

1 according to CMI, has an accuracy rate plus or minus  
2 10 percent?

3 A. That's what they represent, yes.

4 Q. And is that, in the scientific community,  
5 alarming?

6 A. Well, where you have a breath test that can  
7 be rejected on a volume of 1.099 liters, that could be  
8 problematic if you're the citizen who happens to blow  
9 that number, that volume, and you actually had a  
10 volume that was over 1.1. And that's currently the  
11 state of the way the machine --

12 Q. Or it could be the opposite?

13 A. Or the opposite way, right.

14 Q. An individual may get a benefit for blowing a  
15 point -- 1.009 versus the one that blows over 1.1  
16 would have an allegedly valid sample?

17 A. When it's not. So it cuts both ways. Ten  
18 percent is a big number, and I say that because the  
19 tolerances of individual parts are cumulative within a  
20 machine. So if there are three parts that each have a  
21 10 percent tolerance, you potentially have a  
22 30-percent error in the machine. So it's not capped  
23 at the maximum tolerance of any one of the parts.  
24 It's actually a multiplicative of the different parts,  
25 so it's worse than adding the three 10-percent factors

1 together.

2 THE COURT: Is that a convenient place to  
3 take a break?

4 MR. GABRIEL: Sure.

5 THE COURT: If this is not it, we can find  
6 one.

7 MR. GABRIEL: No, no, that's fine, Judge.  
8 I'm trying to wind up.

9 THE COURT: Let's take 10 minutes.

10 (Break taken.)

11 BY MR. GABRIEL:

12 Q. Mr. Workman, now recently, have you -- I'm  
13 going to approach and show you what's been marked as  
14 Exhibit No. 90.

15 A. Yes.

16 MR. GABRIEL: Hang on one second.

17 MS. JOHNSON: I do object to it.

18 THE COURT: I don't know what it is. What is  
19 it?

20 MR. GABRIEL: It is a copy of an article  
21 regarding --

22 BY MR. GABRIEL:

23 Q. The title of it again is?

24 A. The title is, "The Science Behind Breath  
25 Testing For Ethanol."

1 Q. And it's written in the Massachusetts -- I  
2 think it's the Massachusetts Law Review?

3 A. It's published at 7 UMass LRV 110 (phonetic),  
4 which is the Law Review, and it's authored by myself.  
5 I am the author of the article.

6 MS. JOHNSON: Are you using it to refresh his  
7 recollection or what purpose is this being offered  
8 for? I would object --

9 MR. GABRIEL: The purpose, Judge, is it's an  
10 article that deals with the Intoxilyzer 8000  
11 instrument utilized here dealing with the issues  
12 of calibration versus inspection, and that's why I  
13 would like to use it.

14 MS. JOHNSON: If the article was written by  
15 Mr. Workman, I don't understand why he -- why we  
16 would need to introduce the article. His  
17 testimony regarding the issue should be  
18 sufficient.

19 MR. GABRIEL: So I don't need to elicit hours  
20 of testimony regarding it and I can kind of make  
21 it with one question.

22 THE COURT: The objection that was noted was  
23 a hearsay objection, and it's not hearsay because  
24 he's here to testify about it. You're welcome to  
25 ask him any questions you want to, but it's

1 admitted.

2 (Petitioners' Exhibit No. 90 was admitted  
3 into the record.)

4 BY MR. GABRIEL:

5 Q. How long ago did you write that article,  
6 Exhibit No. 90, I believe it is?

7 A. This was published within the last six  
8 months.

9 Q. Okay. And part of the article dealt with  
10 breath testing devices, the Intoxilyzer 8000 used in  
11 the case?

12 A. It does. In fact, it uses the Florida data  
13 as part of the information that was studied to present  
14 information in the article.

15 Q. And, again, in this study, it discussed the  
16 difference between calibration and inspection of the  
17 breath testing device that we've talked about here  
18 already?

19 A. That's correct.

20 Q. And in your opinion, again, calibration is  
21 something completely different?

22 A. It's not just my opinion, it's basically in  
23 science they're two phenomena or two different --

24 Q. Does the science of breath testing, in your  
25 opinion as an expert in that field, require

1 calibration of key integral parts of the Intoxilyzer  
2 8000 in order to ensure scientifically reliable and  
3 accurate breath samples and, therefore, breath tests?

4 A. Yes.

5 MR. GABRIEL: That's all the questions I  
6 have, Judge.

7 THE COURT: Thank you.

8 THE WITNESS: Was this marked into evidence  
9 or --

10 MR. GABRIEL: Yes, 90, I believe.

11 THE COURT: Yes. It was admitted as  
12 Petitioner's 90.

13 THE WITNESS: I just don't want to walk away  
14 with it.

15 THE COURT: That's all right. I'll make sure  
16 I get them all before anybody gets out of here.

17 THE WITNESS: In fact, Judge, I have two  
18 other documents here if I can hand them to you.

19 THE COURT: Thank you.

20 MR. GABRIEL: I'm going to place these other  
21 ones back right here, Judge.

22 THE COURT: Okay.

23 MR. GABRIEL: If you don't want them back, I  
24 can --

25 THE COURT: Okay.

1 MS. JOHNSON: Judge, I don't have any  
2 questions.

3 THE COURT: Okay. Thank you.

4 THE WITNESS: Can I be released?

5 THE COURT: As far as I'm concerned.

6 (The witness is excused.)

7 MR. GABRIEL: Judge, can I just take two  
8 minutes to speak with him? He's going to probably  
9 be getting back on a plane to go to Massachusetts.  
10 I know the Court just took a break, but it will  
11 only take one moment.

12 THE COURT: Two minutes.

13 (Break taken.)

14 THE COURT: Next witness?

15 MR. GABRIEL: None further, Judge.

16 THE COURT: Okay.

17 MS. JOHNSON: The respondent calls Laura  
18 Barfield.

19 (The witness is called.)

20 THE COURT: You can go ahead and have a seat.

21 (WITNESS IS SWORN.)

22 WHEREUPON,

23 LAURA BARFIELD

24 was called as a witness, and after having been first  
25 duly sworn to tell the truth, was questioned and

1 testified as follows:

2 DIRECT EXAMINATION

3 BY MS. JOHNSON:

4 Q. Would you please state your name for the  
5 record and spell your last name.

6 A. My name is Laura Barfield. Last name's  
7 spelled B, as in boy, A-R-F-I-E-L-D. First name's  
8 spelled L-A-U-R-A.

9 Q. Ms. Barfield, how are you employed?

10 A. As the Alcohol Testing Program manager with  
11 the Alcohol Testing Program with the Department of Law  
12 Enforcement.

13 Q. How long have you been the program manager of  
14 the Alcohol Testing Program?

15 A. I've been the manager since July of 2001.

16 Q. Can you tell me about your experience before  
17 becoming the Alcohol Testing Program manager?

18 A. I was originally employed with the Department  
19 of Law Enforcement in December of 1994 in the  
20 toxicology section of the Tallahassee Regional --  
21 what's now called the Tallahassee Regional Operations  
22 Center. At that point in time, I was a forensic  
23 technologist, and I assisted the crime laboratory  
24 analysts in processing case work, mainly blood and  
25 breath -- excuse me, blood and urine samples for the

1 presence of alcohol and drugs of abuse.

2 And I would perform the initial drug screen  
3 on those biological specimens on nine different drug  
4 classes and then give the results to the analysts, and  
5 then conduct further sample preparations for them for  
6 further analysis of those samples. I prepared  
7 laboratory reports based on their findings, opened and  
8 inventoried evidence. So it was like an assistant  
9 role.

10 In February of 1994 -- 1996, excuse me, I was  
11 promoted to crime laboratory analyst where I began a  
12 two-and-a-half-year training program in the area of  
13 forensic toxicology. It's a two-phase process. The  
14 first phase deals mainly with the analysis of  
15 biological specimens for the presence of alcohol. I  
16 started that in February of 1996 and finished that in  
17 October of 1996.

18 That training involved learning about the  
19 methods of alcohol analysis. You can analyze  
20 biological specimens for alcohol using infrared light  
21 absorption. That's used in breath testing. An  
22 electrochemical technique, which is commonly called  
23 the fuel cell, which is used in breath testing. You  
24 can use chemical oxidation, which in the past has been  
25 used in both blood and breath alcohol analysis. You

1 can use gas chromatography/mass spectrometry. These  
2 are different methods of alcohol analysis.

3 I learned how to analyze biological and  
4 beverage specimens for the presence of alcohol, using  
5 mainly the technique called gas chromatography. I  
6 learned how to interpret those results, report my  
7 findings. I learned about the anatomy and physiology  
8 of the human body, various systems within the body,  
9 including the respiratory system, the effects of  
10 alcohol, pharmacokinetics, the absorption,  
11 distribution and elimination of alcohol, basically,  
12 what does a body do as the alcohol traverses through  
13 it; and the pharmacodynamics of alcohol, the outside  
14 effects that would be exhibited by someone under the  
15 influence of alcohol or has consumed alcohol.

16 I had to successfully complete various  
17 laboratory practicals, written assignments, oral  
18 assignments, written examinations, oral examinations,  
19 and a formal moot court and was certified as an  
20 alcohol toxicologist in October of 1996.

21 I then continued on with training in the area  
22 of analyzing biological specimens for the presence of  
23 drugs of abuse, various drugs and drug classes. I  
24 learned the methods that are used to analyze  
25 biological specimens. Gas chromatography would be an

1 example, fluorescence, polarization, immunoassay,  
2 radioimmunoassay, mass spectrometry, thin-layer  
3 chromatography, high-performance liquid  
4 chromatography. These are all just different methods  
5 of analysis that I had to become familiar with.

6 I learned how to further extract the drugs  
7 out of the biological specimens. Although, I was  
8 doing that before, I had to demonstrate proficiency in  
9 that again. I had to learn how to analyze the  
10 specimens using the techniques used in the particular  
11 laboratory that we had. The screening technique was  
12 fluorescence, polarization, immunoassay and then we  
13 confirmed any positive drug class screenings with a  
14 technique called gas chromatography/mass spectrometry.

15 I learned how to interpret those results and  
16 report my findings. I learned about the pharmacology  
17 of drugs of abuse. The -- again, pharmacokinetics,  
18 the absorption, distribution, elimination of various  
19 drugs and drug classes and how they affect the human  
20 body as they traverse through them. And I learned  
21 about the pharmacodynamics or the outward effects of  
22 what would be seen by a person who was under the  
23 influence of those drugs or drug classes.

24 I, again, had to complete various written  
25 exercises, laboratory practicals, oral examinations,

1 written examinations, a formal oral board and a formal  
2 moot court before I could -- before I was certified as  
3 a forensic -- in forensic toxicology by the Department  
4 of Law Enforcement, which occurred in June of 1998.

5 I did do case work until -- as a crime  
6 laboratory analyst, until March of 2000 when I was  
7 promoted to senior crime laboratory analyst with the  
8 Alcohol Testing Program. And my job then was to  
9 approve alcohol reference solutions for use in  
10 evidentiary breath test instruments in Florida using  
11 the method gas chromatography. I had to prepare stock  
12 solutions, mainly mouth alcohol solution and acetone  
13 stock solution that are also used to check the breath  
14 test instruments each month.

15 I was responsible for the permitting process  
16 for blood analysts, which I had to obtain blood  
17 proficiency samples from a vendor that we had a  
18 contract with and then prepare various kits with  
19 different alcohol samples in them so that the blood  
20 analysts could either obtain or maintain a permit in  
21 accordance with Chapter 11D-8.

22 I provided scientific and technical  
23 information or answered questions to my chain of  
24 command and testified in court throughout the State of  
25 Florida. And then in July of 2001, I was promoted to

1 Alcohol Testing Program manager.

2 Q. Can you tell me what your educational  
3 background's in?

4 A. I have a bachelor's of science in  
5 biochemistry that I received from Florida State  
6 University in August of 1994.

7 Q. What are your responsibilities as program  
8 director of the Alcohol Testing Program?

9 A. Well, as program manager, I am responsible  
10 for supervising the members within the program and  
11 ensuring that they perform their duties and  
12 responsibilities as I assign.

13 I still approve alcohol reference solutions  
14 for use in Florida. I still prepare the stock  
15 solutions that are also used in Florida. I still  
16 actually now prepare blood samples, instead of under  
17 contract having had them made for me, and prepare the  
18 samples and the kits for blood proficiency testing  
19 each quarter for blood analysts to either maintain or  
20 obtain their blood permit.

21 I am the records custodian for the Alcohol  
22 Testing Program, responsible for approving new breath  
23 test instruments in the State of Florida. I'm  
24 responsible for keeping up with new methodologies that  
25 may be out, new instrumentation that's out,

1 maintaining scientific and technical knowledge in the  
2 field of blood and breath alcohol testing.

3 I create and revise Chapter 11D-8, which are  
4 the rules governing blood and breath alcohol analysis  
5 and ensure that they go through their promulgation  
6 process properly.

7 I create and revise the curriculum used to  
8 train breath test operators and agency inspectors in  
9 the State of Florida and breath test instructors. I  
10 permit all breath test operators, agency inspectors,  
11 and breath test instructors to perform their  
12 respective duties within the State of Florida.

13 I permit all blood analysts who conduct blood  
14 alcohol analyses within the State of Florida. I  
15 testify in courts all over the State of Florida in  
16 reference to FDLE's interpretation of Chapter 11D-8,  
17 the Intoxilyzer 5000, the Intoxilyzer 8000, breath  
18 alcohol testing, infrared light absorption, blood  
19 alcohol testing, gas chromatography, different methods  
20 of alcohol analysis, alcohol reference solutions,  
21 medical blood conversions, retrograde extrapolations.  
22 That's about what I do now.

23 Q. What kind of training do you have  
24 specifically pertaining to the Intoxilyzer 8000?

25 A. Oh, I am certified by the manufacturer to

1 repair, operate, calibrate, and maintain an  
2 Intoxilyzer 8000. I have taken that course now four  
3 times, so I'm certified four times -- no, I'm  
4 certified once, but I've taken the class four times to  
5 maintain my knowledge. It's always good to hear  
6 things that you've heard before for continuing  
7 education.

8           And I routinely attend conferences or  
9 training specifically related to the Intoxilyzer 8000  
10 or the area of breath alcohol testing, quality  
11 assurance within breath test results. And my  
12 background in biochemistry deals with the methodology,  
13 as well as my certification and training in the area  
14 of forensic toxicology deals with the underlying  
15 methodology that's used in breath test devices, as  
16 well as the Intoxilyzer 8000.

17           MS. JOHNSON: At this time, Judge, I tender  
18 the witness as an expert in regards to breath test  
19 methods and analysis, the Intoxilyzer 8000, and in  
20 FDLE Administrative Rule 11D-8.

21           MR. GABRIEL: No objection.

22           THE COURT: Accepted.

23 BY MS. JOHNSON:

24           Q. Can you give the Court a brief overview of  
25 the Intoxilyzer 8000?

1           A.    Yes.  The Intoxilyzer 8000 is an instrument  
2           that measures vapor samples and calculates their  
3           alcohol concentration.  A breath sample can be a vapor  
4           sample; a control sample can be a vapor sample, be it  
5           a wet bath or a simulator sample or a dry gas  
6           standard.  These are all different vapor samples.  
7           There's also the air that can be a vapor sample that's  
8           analyzed by the instrument.

9           Q.    Let me stop you for a second.  Can you  
10          explain what a wet bath is?

11          A.    Yeah.  I don't like to call it wet bath.  
12          That's why I kind of paused on that.  I don't like  
13          calling it that.

14                 Alcohol reference solutions are solutions.  
15          They're made up of alcohol and water.  What was your  
16          question again?

17          Q.    I just asked you if you could explain what a  
18          wet bath is.

19          A.    Oh, okay, yeah.  That's when you analyze  
20          alcohol in water samples.  And they're placed into a  
21          device called a simulator, which depending on what  
22          concentration of alcohol or solution that you put in  
23          there, the simulator will heat that solution to a  
24          known temperature, and based on that temperature, the  
25          alcohol will partition from the solution into the head

1 space above it creating a vapor, which the instrument  
2 then pulls into its sample chamber for analysis.

3 So you're putting a known answer or a known  
4 result or a known concentration into the instrument to  
5 check the instrument in totality.

6 It's checking the calibration of the  
7 instrument. You could also use that to actually  
8 calibrate the instrument. But when you're using them  
9 to check the instruments, you're checking the entire  
10 thing from beginning to end, that it's analyzing that  
11 sample properly.

12 Q. Okay. And what was the -- you said there  
13 were different ways to introduce a sample into the  
14 Intoxilyzer?

15 A. Well, when you're going to -- it depends on  
16 what you're testing. If you're testing a person, they  
17 are going to provide their sample through the breath  
18 tube, which is heated on the Intoxilyzer 8000 to  
19 prevent condensation. And that sample is introduced  
20 via the breath tube into the sample chamber where it  
21 is analyzed. So that's one route in.

22 The dry gas standards are a mixture of gas  
23 and ethanol at a known concentration so we know the  
24 answer to it, and that is introduced through the  
25 calibration inlet port when that tubing is connected.

1           That sample introduces -- is introduced and  
2           is analyzed in the sample chamber. So we've got two  
3           different introductions of two different types of  
4           samples. They're both being analyzed in the same  
5           place.

6           Then you have your simulator or your alcohol  
7           reference solutions, your alcohol and water. Those  
8           are introduced through the calibration inlet port on  
9           the side of the instrument, the same place as the dry  
10          gas standard, and those vapor samples are also  
11          analyzed in the sample chamber. Then there's the air  
12          blank, which the instrument is actually analyzing the  
13          room air as it's being sucked through all of these  
14          particular entrances into the instrument.

15          So the air is coming in through the breath  
16          tube. It's coming in through the -- not through the  
17          calibration inlet port, but then it's exiting the  
18          instrument. So it's being drawn in through all the  
19          spaces of the instrument. And the result of that must  
20          be within a certain specification in order for the  
21          instrument to continue. So that's another way the air  
22          is introduced as well.

23          Actually, I think, if I looked at the flow  
24          diagram, it may be coming in from the calibration  
25          inlet port. I would have to refresh my memory on

1 that.

2 MS. JOHNSON: I believe it's Exhibit No. 16.

3 (Brief pause.)

4 THE COURT: Makes you wish you left them all  
5 in that book, doesn't it?

6 MR. GABRIEL: Exhibit 16 may not, by chance,  
7 be there.

8 THE COURT: I don't think so. I'm looking a  
9 second time but -- no.

10 BY MS. JOHNSON:

11 Q. I'm showing you what has been previously  
12 admitted as 16, Exhibit 16. Can you tell me what that  
13 is?

14 A. This is the plumbing flow diagrams, and it's  
15 got four different diagrams. One is the flow of air  
16 when you're running a simulator with the alcohol and  
17 water solution; one when you're running the dry gas  
18 standard; one when you're running the breath test; and  
19 one when you're running an air blank.

20 Q. Does that assist you in --

21 A. Yes, it does.

22 Q. -- in answering the question?

23 A. During the air blank, it's clearing out or  
24 putting air into basically all the paths that are used  
25 in the other three mechanisms.

1           Q.    What is required -- what are the requirements  
2           for a valid sample?

3           A.    For a valid breath sample during a breath  
4           test would be -- they've been defined by the  
5           manufacturer.  It's a minimum flow -- minimum flow  
6           rate of .15 liters per second sustained, .17 liters  
7           per second to trigger the tone.  So you have to have  
8           tone when you're providing your breath sample.

9           Q.    Can you explain to the Court what "triggering  
10          the tone" means?

11          A.    It tells the operator that the person or the  
12          subject is providing a sample that the instrument is  
13          analyzing.  Because if you don't provide enough flow,  
14          you could blow in the instrument all day long and  
15          never get a result out of it because you haven't  
16          triggered the tone, and the instrument doesn't know  
17          that that breath sample is going through it to be  
18          analyzed.

19                        So the tone tells the operator the person is  
20          providing sufficient flow and then the other minimum  
21          sampling requirements will begin kicking in.  So you  
22          have to have sufficient flow, and you'll have the tone  
23          for the operator to hear that you have sufficient  
24          flow.

25                        Then you have to have sufficient flow for at

1 least one second and that's so -- the instrument will  
2 not provide a numerical result, it needs at least at a  
3 minimum -- it's actually a little bit over one second,  
4 but it needs a minimum of one second to even produce a  
5 number.

6           Then we build on -- they have to provide  
7 sufficient flow for at least one second and provide at  
8 least 1.1 liters of breath. And that's so that we're  
9 getting to the beginning of their deep lung air.  
10 That's the only significance behind that.

11           To get a valid or a good reading out of  
12 someone -- the instrument will analyze whatever you  
13 put in it, and what we want to make sure is that are  
14 we putting a good sample in that the instrument is  
15 analyzing. And then -- so these are what these  
16 minimums are going to.

17           And then the fourth requirement would be the  
18 sufficient flow for at least the one second, at least  
19 1.1 liters, and then it has to meet slope. And what I  
20 mean by that is the alcohol concentration of a breath  
21 sample should rapidly rise and then begin leveling off  
22 within a preset specification. And if it doesn't do  
23 that, then it could be that the person hasn't provided  
24 enough breath.

25           Even if it's bigger than 1.1 liters, they may

1 not have provided enough breath or that the alcohol  
2 concentration has rapidly risen and then dropped off  
3 rapidly, which could be indicative of a sample that  
4 contains mouth alcohol. Mouth alcohol can falsely  
5 elevate a person's alcohol result if it's present.

6 And so those are the four minimum sampling  
7 requirements that have to met to get a valid breath  
8 sample.

9 Q. Are there methods to ensure -- you said that  
10 mouth alcohol can inflate results. Are there methods  
11 to ensure that mouth alcohol is not present when a  
12 subject takes a breath test?

13 A. Yes. The actual -- there are rule  
14 requirements in place. The minimum sampling  
15 requirements that I just defined are not in rule.  
16 They're not rule requirements.

17 But there is the definition of an approved  
18 breath test in the rule, and it requires a minimum of  
19 two samples that are obtained within 15 minutes of  
20 each other and must agree in alcohol concentration  
21 within .020 of each other. And that is so we ensure  
22 that there's no mouth alcohol radiofrequency  
23 interference or interfering substances: The mouth  
24 alcohol I previously described; radiofrequency  
25 interference could be electromagnetic waves that may

1 be present in sufficient strength to affect a reading  
2 from the instrument; and then the interfering  
3 substances would be, say, someone who is a diabetic  
4 who is in ketoacidosis or in diabetic shock, and they  
5 have very high concentrations of acetone on their  
6 breath.

7           The instrument would be able to detect that.  
8 If it didn't detect that, the definition in requiring  
9 the minimum of two samples that are obtained at least  
10 two minutes apart and no more than 10 minutes apart  
11 with that 02 agreement between them, that ensures that  
12 all of those three things are not affecting the test.  
13 So good samples ensure the good test.

14           Q. Okay. Can you take us through, basically,  
15 the steps in a subject breath test?

16           A. Yes. That would be outlined in FDLE ATP Form  
17 37, which are the operational procedures for the  
18 Intoxilyzer 8000. And the operator -- basically, the  
19 testing sequence starts with a diagnostic check. And  
20 that's where the instrument is checking its internal  
21 workings to make sure they're within specification,  
22 things such as temperatures, voltages, stability  
23 within those voltages, that it has a valid software in  
24 it.

25           It checks its random access memory. It

1 checks to make sure that there is either an internal  
2 printer or an external printer connected that is  
3 working properly. It checks the realtime clock to  
4 make sure it has a date and time, not necessarily the  
5 correct date and time, but a valid date and time,  
6 meaning its format.

7           So it's checking its internal components. It  
8 does that first. And then it does an air blank where  
9 it is flushing the entire plumbing system within the  
10 instrument with room air and setting its zero  
11 reference.

12           Q. Can you explain what you mean by "setting its  
13 zero reference"?

14           A. The instrument before subject -- before  
15 control tests or subject breath samples are obtained,  
16 it sets its zero reference or what it determines zero  
17 to be at that point in time. And it uses that result  
18 throughout the entire testing process, the entire  
19 sequence. So it's setting up what zero is and then it  
20 conducts a control test.

21           The control test is performed with the dry  
22 gas standard, which is a mixture of alcohol or ethanol  
23 and nitrogen gas at a known concentration. And that  
24 sample is delivered, that vapor sample is delivered  
25 into the instrument, and the instrument must produce

1 the correct result, which is .08 plus or minus .005.

2 Q. Can you just explain to the Court how that  
3 .08 control test is introduced into the instrument?

4 A. There is a regulator attached to -- that the  
5 dry gas standard cylinder-- it's compressed gas. So  
6 a cylinder of that compressed gas is attached to a  
7 regulator. And from the regulator, there is tubing  
8 connected to the calibration inlet port, which is on  
9 the side of the instrument. It's the same place that  
10 the alcohol and water sample -- the simulator samples  
11 are delivered that was previously discussed in other  
12 testimony.

13 And there is no connection to the outlet  
14 port, the recirculation port. There's no connection  
15 in that. That one's just left alone. So the dry gas  
16 is connected to the calibration inlet port which will  
17 deliver vapor into the sample chamber.

18 Q. Okay. And then what happens after the  
19 control test?

20 A. Another air blank flushing out the previous  
21 sample and using room air to clean the plumbing within  
22 the instrument and then the instrument sets or makes  
23 sure that it has zero, a zero reading, a zero alcohol  
24 reading. And then the subject will provide their  
25 first breath sample into the instrument.

1           Q.    And you say the first breath sample; how many  
2    breath samples are required?

3           A.    There's required a minimum of two breath  
4    samples.  Within one test sequence, the instrument can  
5    ask for up to three breath samples.  And that's in  
6    case there is -- one of, you know, the first two  
7    samples, if they're not valid or acceptable or don't  
8    meet all of the requirements, it gives the subject an  
9    opportunity within that testing sequence to provide  
10   another sample and still keep it within the 15-minute  
11   requirement from rule.  Then we'll start a whole new  
12   test if need be but -- so the first breath sample is  
13   provided into the instrument and analyzed by the  
14   instrument and then the subject has up to three  
15   minutes to provide a valid sample.

16          Q.    Okay.  After that first breath sample is  
17   provided, what happens after that?

18          A.    There's an air blank to flush that breath  
19   sample out of the instrument and cleanse the  
20   instrument's plumbing with room air and then there is  
21   the remainder of what is a two-minute wait.

22          Q.    So there has to be two minutes between the  
23   first sample and the second sample?

24          A.    Yes.  There will be a minimum of two minutes  
25   between samples, yes.

1 Q. Okay. And then the instrument will prompt  
2 the operator to provide a second sample?

3 A. Well, it will display "wait" and then it does  
4 another air blank. So although it's already  
5 air-blanked itself, it's going to do another air blank  
6 and establish or make sure it has zero and then  
7 request a second subject sample. And, again, the  
8 person will have up to three minutes to provide that  
9 breath sample or a valid breath sample.

10 Q. And both of those two breath samples have to  
11 meet the requirements you've already discussed with  
12 minimum flow of 1.1 liters of breath and slope,  
13 correct?

14 A. Correct. If they don't, a message is  
15 displayed for the operator, but the instrument will  
16 continue on with the testing sequence up to this  
17 point.

18 Q. And then what happens after the second  
19 sample?

20 A. If we have two valid breath samples and the  
21 result of both of those agree within plus or minus  
22 .020, then the instrument will perform an air blank,  
23 again, flushing its internal plumbing with room air.  
24 It will make sure it has zero again, conduct another  
25 control test using that dry gas standard that's

1 connected to it, the .08 dry gas standard.

2 The correct result must be received from that  
3 or a message will appear. It will conduct another air  
4 blank and another diagnostic check and that test would  
5 be complete at that point.

6 If there is not a .020 agreement between the  
7 first sample and the second breath sample or if  
8 there's a message associated with either one of those  
9 samples, it will request a third breath sample.

10 First, it will conduct an air blank,  
11 establish zero, request the third subject sample, and  
12 then it will conduct another air blank, a control  
13 test, an air blank and a diagnostic check.

14 The third scenario would be if there are two  
15 breath samples provided, neither of which are valid or  
16 have a message associated with them, the instrument  
17 will not request the third breath sample because it  
18 will have nothing to compare it to. In that instance,  
19 even if there's two samples with messages, it will  
20 conduct an air blank, a control test, an air blank, a  
21 diagnostic, and that test would be done.

22 The main point of that is diagnostics and  
23 control tests bracket subject samples. So not only do  
24 we have the quality assurance of the minimum of the  
25 two breath samples together and then within .020 of

1 each other collected within 15 minutes of each other  
2 and then the two minutes in between the samples  
3 themselves, but we also have the fact that we've  
4 established before and after those samples that the  
5 instrument's working within its specifications through  
6 diagnostic checks, and we've verified the calibration  
7 of the instrument at the time of subject testing.

8           And that would be all of the quality control  
9 developed into the -- just the breath test in the  
10 State of Florida.

11           Q. Tell me what other quality control  
12 procedures, I guess, are employed for using the  
13 Intoxilyzer 8000.

14           A. Well, at least once each calendar month,  
15 the -- there are certain people within the State of  
16 Florida that have special training called -- and  
17 they're called agency inspectors. They are trained  
18 using a curriculum developed by the Alcohol Testing  
19 Program, and they are instructed by people trained by  
20 the Alcohol Testing Program and certified by the  
21 Criminal Justice Standards and Training Commission.  
22 And these people conduct this agency inspection. It's  
23 sometimes or commonly referred to as the monthly  
24 inspection.

25           And it is a rigorous process where the person

1 conducts three repetitions or three analyses of a  
2 zero -- it's distilled water so that we show that the  
3 instrument can determine what zero is and accurately  
4 measure zero, zero alcohol, that is. Three  
5 repetitions of a .05 alcohol reference solution, which  
6 is the alcohol in water; three repetitions of a .08  
7 alcohol reference solution; three repetitions of the  
8 .20 alcohol solution; and three repetitions of the .08  
9 dry gas standard.

10 They conduct a mouth alcohol test. They  
11 conduct three repetitions of an acetone interferent  
12 test, where we have acetone and water that is  
13 delivered using a simulator. Diagnostic checks are  
14 before and after all of those steps.

15 They do an alcohol-free subject test where  
16 they actually blow into the instrument with no alcohol  
17 on their breath, we hope, because the result has to be  
18 zero. And that's pretty much -- the specifications  
19 for the alcohol concentrations are .005 or 5 percent,  
20 whichever is larger. And if they don't meet those  
21 specifications, then they can repeat the test.

22 If it doesn't meet on a repeat test, it will  
23 stop the inspection process, and the inspector has to  
24 figure out is it something they're doing procedurally,  
25 like they hooked up the wrong simulator, or is it that

1 their external devices aren't working, like their  
2 simulator's not heating properly or has a leak or is  
3 not connected properly, or is it the instrument. And  
4 they will repeat the inspection. So that's the --  
5 basically, the monthly inspection process.

6 Q. Okay. Now, you went through the subject  
7 breath test about the different sequence with the air  
8 blanks and control tests and everything else.

9 Are all those steps included with each one of  
10 the repetitions of the zero alcohol, .05 and .08?

11 A. Well, yes. In essence, we start the  
12 inspection with a diagnostic just like we started the  
13 breath test, and we finish this inspection process  
14 with a diagnostic. So we're bracketing it with those  
15 same internal checks that are conducted during breath  
16 tests. The sequence of events cannot be altered.  
17 It's programmed into the instrument and follows Form  
18 39, which are the agency inspection procedures for the  
19 Intoxilyzer 8000.

20 And there are air blanks between all of the  
21 repetitions of the zero, the .05, the .08, the .20,  
22 the acetone interference, and after each of those  
23 tests because they're separate and independent tests,  
24 it will ask the operator were the results of the  
25 analyses acceptable, and it will, you know, continue

1 on. If they're not acceptable after a repeat, the  
2 instrument will automatically not allow them to  
3 continue. It will stop the inspection process.

4 And the rule does require when you do not  
5 successfully complete this agency inspection process  
6 that you cannot use that instrument for breath testing  
7 until such time as it passes an agency inspection. It  
8 doesn't mean the instrument needs to go in for repair,  
9 but you can't use that instrument for breath testing  
10 until it passes its agency inspection.

11 Q. Theoretically speaking, if it didn't pass an  
12 agency inspection, could you just keep doing one after  
13 another?

14 A. Well, there's a rule requirement that once  
15 you -- if you fail to successfully complete the agency  
16 inspection that you have to remove the instrument from  
17 service and that just means don't use it for breath  
18 testing, contact your department inspector because  
19 FDLE can help you troubleshoot what might be wrong.

20 Most of the time it's operator error or it's  
21 their external device, like their stimulator isn't  
22 properly sealed or properly heated or something like  
23 that. So we'll walk them through that to help -- to  
24 assist them before they repeat an inspection.

25 And they are required to notify us, and then

1 they can conduct another inspection. In fact, that's  
2 what we tell them, well, fix this, and fix this, and  
3 make sure you have this and repeat your inspection.  
4 Because if it's going to fail -- if it's not going to  
5 pass the steps because the instrument's not working,  
6 you will get the same messages. It's not going to let  
7 you use it if it's still broken. If it's something  
8 that you've done and you correct that issue, then it's  
9 going to pass those specifications. So you always  
10 repeat your inspection.

11 Q. Is the agency inspection the only quality  
12 control procedure that FDLE employs?

13 A. No. Other than that and the breath test and  
14 the monthly inspection, there is the required  
15 department inspection, which is a process in rule on  
16 Form -- it's defined in Form 36, which are the  
17 department inspection procedures for the Intoxilyzer  
18 8000.

19 And it is required at least once each  
20 calendar year -- well, let me back up one second. The  
21 monthly inspection, the agency inspection, not only do  
22 you have to do that once each calendar month, but you  
23 have to do it when you remove the instrument from  
24 evidentiary use, and you have to do it before you  
25 place that instrument back into evidentiary use.

1           So, for example, if you needed to have it  
2 repaired and we got it -- you got it back from  
3 repair -- or, actually, you'd get it back from FDLE,  
4 but then you'd have to do another agency inspection.  
5 So they're required a little bit more --

6           Q.    Why would you get it back from FDLE --

7                   (The court reporter requests the parties  
8 speak one at a time.)

9           THE WITNESS:  Sorry.  Because -- that will be  
10 my next explanation.  As part of the department  
11 inspection after it comes from repair, a  
12 department inspection must also be conducted.

13                   So if it's returned from a repair facility, a  
14 department inspection in accordance with Form 36  
15 is required and then we send it to the agency.  
16 And the agency must also do their agency  
17 inspection in accordance with Form 39 before it  
18 can be put back into evidentiary use.  So that's  
19 another quality control step.

20 BY MS. JOHNSON:

21           Q.    What does the department inspection entail?

22           A.    It is very similar to the agency or monthly  
23 inspection process, except for it has more repetitions  
24 so that we can establish that the instrument's precise  
25 as well as accurate.

1           We analyze 10 repetitions of the zero, 10  
2 repetitions of a .05, 10 repetitions of a .08, and 10  
3 of a .20, and 10 of a .08 dry gas standard.

4           All of those results -- well, the zero must  
5 be all zero, and all of the alcohol concentration  
6 results must be plus or minus .005 or 5 percent,  
7 whichever's greater.

8           We conduct 10 repetitions of an acetone  
9 interferent test and all of the results must be  
10 interference checked. We conduct a mouth alcohol  
11 test, an alcohol-free subject check, a volume -- a  
12 minimum volume check, a barometric pressure check.  
13 The diagnostic checks are at the beginning and the end  
14 of the process.

15           And I might be leaving out a step or two, but  
16 it's a quite intensive process. And so that's  
17 basically what has -- oh, air blanks occur between all  
18 of those just like in the agency inspection, except  
19 for we're doing more repetitions is the main  
20 difference and a couple extra checks that I described.

21           Q.   Okay. Referring to different form numbers as  
22 to the -- does that give a detailed instruction as to  
23 what needs to be done?

24           A.   Yes. The form numbers that I've specified  
25 are the actual procedures for the event that we were

1 talking about. And then the results are reported on  
2 another form. Department inspection results are  
3 recorded on Form 41, which is the department  
4 inspection report for the Intoxilyzer 8000. The  
5 agency inspection report is Form 40, and the breath  
6 test results are reported on Form 38, which is an  
7 affidavit.

8 Q. And all of these forms are incorporated by  
9 reference into 11D-8.017?

10 A. Yes.

11 Q. Who wrote these forms?

12 A. Well, I had a major part in writing these  
13 forms with input from the department inspectors and  
14 from public comment, if there was any.

15 Q. That's a good point to bring you back to.  
16 When you started, you said in 2001, you started as the  
17 program manager of alcohol testing?

18 A. Yes.

19 Q. What instrument was being used in 2001 for  
20 breath testing?

21 A. The CMI Intoxilyzer 5000 series.

22 Q. Was there a decision at some point to go with  
23 a different instrument?

24 A. Yes. The Intoxilyzer 5000 series had been  
25 being used for about 20 to 22 years, and it was

1 becoming obsoleted. And I don't mean that it wasn't  
2 working. I mean that it was becoming difficult to  
3 obtain the parts necessary to repair them to keep them  
4 working. So we needed to do something, and that  
5 determination was made at the end of 2001 to begin  
6 looking at another instrument.

7 Q. What did you -- what was the process by which  
8 you decided to start looking at other instruments?

9 A. Well, we first had to secure a funding source  
10 because they're quite expensive pieces of equipment  
11 that not all agencies can afford. And I say it that  
12 way because, you know, your bigger agencies can tend  
13 to afford more equipment than the smaller agencies.

14 So we needed a funding source, not a complete  
15 replacement necessarily of what was already out there,  
16 but at least to get a good base of evidentiary  
17 instruments for use in the state. So we secured a  
18 funding source and then began looking at the different  
19 technologies or methodologies and instruments that  
20 were available at that time from different  
21 manufacturers.

22 Q. How many different instruments did you look  
23 at?

24 A. We looked at five different instruments, and  
25 one of them did not meet the specifications at all.

1 It did not have any portability. It would have been  
2 no different than what we were using at the time.

3 Q. And when you said you started looking at  
4 instruments, what did you actually do?

5 A. Contacted the manufactures. There's four  
6 main breath test manufacturers that are available  
7 within the United States. And we contacted them to  
8 find out what was their newest technology or newest  
9 instrument they had available and requested that they  
10 send us an instrument for us to informally look at, at  
11 that point in time.

12 Q. Okay. Is that what's been previously  
13 referred to as field testing?

14 A. Yes.

15 Q. And what did you look at with each one of the  
16 instruments as far as the field testing?

17 A. Well, we wanted to look at portability, ease  
18 of use. We looked at operator safety. We looked at  
19 its ability to conduct a control test and its ability  
20 to gather or can we collect data from it? Did it have  
21 a -- like the ability to transfer data to, say, a  
22 remote location or a remote computer. I think those  
23 were the main things we were looking at.

24 Q. And at some point during 2001, was the  
25 decision made to seek approval for the Intoxilyzer

1 8000?

2 A. Well, after we did the field testing,  
3 although we have the legislative authority to approve  
4 an instrument, we decided to get the input from a  
5 committee that was available, basically, the technical  
6 advisory committee with the Institute of Police  
7 Technology and Management.

8 It was a group of people who had vast  
9 experience in breath testing, knowledge of the  
10 instruments, knowledge of the laws. So they were  
11 prosecutors, police officers, DHSMV personnel, agency  
12 inspectors.

13 And then I was the chairman of the breath  
14 test instrument subcommittee so I presented -- we  
15 presented -- basically, we presented the test results  
16 to this committee and asked for their recommendation  
17 or what would they recommend that the State of Florida  
18 go with.

19 And their recommendation was the Intoxilyzer  
20 8000. It did perform the best analytically and met  
21 all of the requirements that we were looking for. And  
22 so we accepted that recommendation and then moved to  
23 begin the formal process of getting the instrument  
24 approved.

25 Q. And what is that formal process?

1           A.   Well, you have to start -- well, you don't  
2           have to start, but it made sense to start with  
3           evaluating it in accordance with Form 34.  But,  
4           mainly, you need to incorporate that make and model of  
5           the instrument into 11D-8 so that everyone, your end  
6           user will know what the instrument that they can use  
7           will be.  So we had to go through a rule promulgation  
8           process as well.

9           Q.   And you started the rule promulgation process  
10          in the spring of 2002, correct?

11          A.   Yes.

12          Q.   What's the first step in the process in doing  
13          evaluations for approval?

14          A.   The instrument must be listed on the U.S.  
15          Department of Transportation Conforming Products List  
16          of evidential breath measurement devices.

17          Q.   And why do you require any instrument that's  
18          potentially going to be -- that you're going to seek  
19          approval of to be on that Conforming Products List?

20          A.   Well, the Conforming Products List is just a  
21          list by manufacturer and model of instruments that  
22          they have done some testing on.  So that is a good --  
23          as part of a total quality assurance plan, you want to  
24          have had -- you want to make sure the instrument's fit  
25          for use, but to have someone else that's looked at it

1 as well in accordance with a certain set of standards  
2 is a good thing as well.

3 And so from a quality assurance perspective,  
4 the federal government has this list of instruments  
5 that meets their testing standards, and they have  
6 shown that the instrument is fit for use. So that's a  
7 good start so I don't waste my time, the taxpayer  
8 dollar on evaluating an instrument that's not fit for  
9 use. So it's a quality assurance step. That's it.

10 Q. Do the rules require the Intoxilyzer 8000 or  
11 whatever instrument you're going to seek approval of  
12 to stay on the Conforming Products List from year to  
13 year?

14 A. No. The form specifically states that those  
15 procedures are used for the approval of a new  
16 instrument in Florida. It's in the first paragraph,  
17 not No. 1, but the paragraph in the beginning.

18 Q. Do you rely on the testing done by the  
19 federal government to approve the Intoxilyzer used in  
20 the State of Florida?

21 A. No. That would be an invalid exercise of my  
22 delegated legislative authority. I can't delegate my  
23 authority to someone else. I'm simply taking that as  
24 a quality control step that someone else has looked at  
25 it. This happens to be the federal government.

1           And it appears on their list, and now I'm  
2 going to do my own testing. I just don't take their  
3 testing on face. I then do my own testing. But  
4 there's no point in expending resources and funds to  
5 do that testing of our own if it didn't meet the fit  
6 for purpose that someone else had determined. So  
7 that's the main reason -- but I do not delegate that  
8 authority to them.

9           Q.    Once you determined in 2002 that the  
10 Intoxilyzer 8000 was on the CPL, what was your next  
11 step in the process of getting the Intoxilyzer 8000  
12 approved for use in the State of Florida?

13          A.    Well, we went ahead and did the evaluations.  
14 We did one in April, which had to be suspended because  
15 it didn't complete the testing process. The  
16 instrument physically could not finish the testing  
17 process. And then we did conduct it again in May of  
18 2002 where the instrument did meet the requirements of  
19 Form 34. And we then were moving to incorporate the  
20 make and model into Chapter 11D-8 after that.

21          Q.    Let me just back up for one second. When I  
22 say that you required the Intoxilyzer 8000 to be on  
23 the Conforming Products List, did you require it to be  
24 on a published list? How did you know that the  
25 Intoxilyzer 8000 was on the Conforming Products List

1 at the time you --

2 A. I asked the -- I asked NHTSA, the National  
3 Highway Traffic Safety Administration, which is the  
4 bureau or program under the U.S. Department of  
5 Transportation that's responsible for this list, if  
6 the Intoxilyzer 8000 was on their list. Because at  
7 that time, the most current list was last published in  
8 2000, and it wasn't on that list.

9 So I called them and asked them, "I  
10 understand that the CMI Intoxilyzer is on your list."  
11 "Is this true?" And they said, yes, that it was put  
12 on their list in May of 2001. And I also had  
13 documentation from them stating that it had met their  
14 specifications and was going to appear on the next  
15 published list. So although not published yet, it was  
16 on their list. If another state or agency were to  
17 call them, they would say the Intoxilyzer 8000 is on  
18 their list. So that's what I went with.

19 Q. Are you aware of how often the list is  
20 published?

21 A. Generally, it's about every two years. It  
22 does happen sometimes every year, and I think even  
23 over the course of time between then and now, it was  
24 like a three-year break. So it's not published on a  
25 regular basis.

1 Q. So every time they add an instrument to the  
2 Conforming Products List, they don't go ahead and  
3 publish it right then, correct?

4 A. No. That is correct. You'll see within the  
5 publications, they'll sometimes approve many, many at  
6 once -- or not approve them, put them on their  
7 published list all at one time.

8 Q. Would you have started the rule promulgation  
9 process in 2002 if you hadn't already been notified  
10 the Intoxilyzer 8000 was on the Conforming Products  
11 List?

12 A. Of course not.

13 Q. Now, when did the rule promulgation in 2002,  
14 when did that become effective?

15 A. November 5th of 2002.

16 Q. And what were the changes in 11D-8 with that  
17 with the 2002 rule promulgation?

18 A. Well, the make and model CMI Intoxilyzer 8000  
19 appeared in 11D-8.003(2). It also states, "using  
20 software approved by the department using Form 34."  
21 We would use Form 34 to approve that. I don't  
22 remember the exact language at this point in time. So  
23 it's the CMI Intoxilyzer 8000 make and model appeared  
24 in there, but it also has a caveat, using software  
25 approved by the department.

1 Q. What does that mean?

2 A. We had to -- at that point in time, we had to  
3 approve the software before it could be used for  
4 evidentiary purposes. And I say at that point in time  
5 because the word "approved" became evaluated in the  
6 2004 revision, which is the current revision.

7 Q. So in November of 2002 when the rule  
8 promulgation -- when the rule became effective, you  
9 couldn't just get an Intoxilyzer 8000 and start using  
10 it, correct?

11 A. No. That is correct.

12 Q. Okay. What needed to happen from that point  
13 on in order to be able to use the Intoxilyzer 8000?

14 A. Well, at that point, we had approved make and  
15 model so that the public and the user would know that  
16 it's going to be the CMI Intoxilyzer 8000. I had not  
17 approved software at that point in time.

18 I didn't have any software that had all of  
19 the rule requirements, the breath test sequence  
20 completely in it, the agency inspection process in it,  
21 the department inspection process. All of that stuff  
22 hadn't occurred yet. The ability to upload or  
23 remotely connect with the instrument was not in the  
24 software to transfer data and stuff like that, which  
25 is one of the things that we wanted.

1           So there was an approved make and model, but  
2           there was nothing that I could register, which is  
3           another requirement. You can't just use any  
4           Intoxilyzer 8000 for breath testing in Florida. We  
5           have to register it and inspect it before you can use  
6           it. The registration approves the actual instrument.  
7           So at this point in time, I didn't have anything I  
8           could even register because I didn't have approved  
9           software.

10          Q.    In November of 2002 when the rule became  
11           effective, did you immediately start to develop  
12           Florida-specific software?

13          A.    We -- we were working -- it was being worked  
14           on at that point in time. It was being worked on  
15           because we had the Florida designation 8100 at the  
16           time of evaluation, but we did not have a complete  
17           software that I could approve. So I did not approve  
18           the software at that point in time.

19          Q.    When did you get a finished or a complete  
20           software that was -- that you could approve?

21          A.    Well, at that point, the rule had changed to  
22           evaluate, so I didn't have to approve it, but I have  
23           to evaluate it. It's just a -- same concept, just  
24           it's not approving it; it's just evaluating it. That  
25           would have been in January of 2006.

1           Q.    So it took about three-and-a-half years to  
2    develop the software?

3           A.    No.  In August of 2004 -- or, excuse me, in  
4    August of 2002, we lost our funding source, so we were  
5    on hold until the end of 2004 when a new funding  
6    source was found.  And we began a phase in process of  
7    purchasing these instruments, and so we began doing  
8    more software development diligently at that time.

9           Q.    Can you explain what you mean you "lost the  
10   funding source"?

11          A.    The legislature took away our funding source  
12   when we were out spending the funds, literally.  They  
13   said we couldn't use the funding source that we had  
14   secured.  I don't -- it was this state portion of  
15   Byrne Grant funds, and they decided that we didn't  
16   have the budget authority for that or something,  
17   something like that.  I don't know.  I don't deal with  
18   all that stuff.  We lost our money.  I understood  
19   that.

20          Q.    And where did you find it?

21          A.    Well, we used kind of the same source.  It  
22   was residual or left over Byrne Grant funds, and Byrne  
23   Grant funds are now called JAG funds.  But, anyway, at  
24   that point in time, they were called Byrne Grant  
25   funds.

1           So agencies had turned the money back in, so  
2           it was left over, which was good, but it was the local  
3           portion of it. So what we did was what we called a --  
4           or that FDLE called a "pass through." We kind of told  
5           them how they're going to spend the money, but it was  
6           their money to spend. So it was a like a pass  
7           through, but we used the local portion and that  
8           worked.

9           But they had to phase it out because there  
10          was only so much residual funding. Anyway, it was a  
11          long process. Not all that time was software  
12          development either. It was organizing and buying  
13          instruments too so --

14          Q.    So you finally came up with a finished  
15          version of software that -- from the 2004 rule that  
16          you evaluated, correct?

17          A.    Yes. To clarify, there are reports where I  
18          evaluated software versions, but they were not  
19          complete yet. For example, I did a Form 34 evaluation  
20          on a Software Version 24. It did not have all the  
21          communication requirements, so it still wasn't done at  
22          that point in time. And also that was when they  
23          changed from the -- the letter from the FPAA came  
24          that's introduced into evidence and they wanted us --

25                MR. GABRIEL: Which letter?

1           THE WITNESS: It was that piece of evidence  
2           that was introduced with the letter from the  
3           Florida Prosecuting Attorneys Association, and it  
4           had the attached response from Commissioner  
5           Tunnel.

6           MR. GABRIEL: Well -- yeah, okay.

7           THE WITNESS: I don't remember which exhibit  
8           it was. There was an objection, and I think maybe  
9           a piece of it came in.

10          THE COURT: Right. The FDLE letter came in,  
11          but the other letter did not come in.

12          MR. GABRIEL: 2005.

13          THE WITNESS: That exhibit. So in 2005, that  
14          letter came in, and the Florida Prosecuting  
15          Attorneys Association wanted us to report the  
16          numerical result for samples that didn't meet  
17          volume and samples that didn't meet slope. Slope,  
18          not level, meaning we didn't get enough deep lung  
19          air. And so that required another software  
20          revision, so that created Version 25, which I did  
21          evaluate too, that incorporated those changes.

22          But then Version 25 only saved 32 results, 32  
23          breath tests in its long-term memory. It's  
24          supposed to save 150. So we had to then -- that  
25          was in December of '05. Then we got Version 26

1           and so that's -- although there are evaluations,  
2           those software versions were never used and they  
3           weren't ready for use until January of 2006 when  
4           we evaluated Version 8100.26, which has commonly  
5           been referred to here as Version 26.

6       BY MS. JOHNSON:

7           Q.     Would it be safe to say that Version 26 was  
8           the first one that -- the first software version that  
9           encompassed all of the rule requirements?

10          A.     Yes.

11          Q.     Drawing your attention to the written notice  
12          requirements of modifications in the 2002 rule, did  
13          the written notice requirement of modifications lead  
14          to an instrument prior to the instrument being -- did  
15          that require that written notification be made by the  
16          manufacturer on anything that was -- on any instrument  
17          that was previously approved?

18          A.     No.   There's a clause in that rule that  
19          specifically stated -- I forget.   I would have to read  
20          it in the rule.   But the availability or approval of  
21          new instruments, options, software and modifications  
22          does not negate the approval or availability of  
23          previously-approved instruments, software  
24          modifications and options, something like that was in  
25          that rule.

1 MR. GABRIEL: Can you refer to what year  
2 that --

3 THE WITNESS: I'm talking about 2002, and it  
4 would be paren -- 11D-8.003(7). And I don't think  
5 I cited it verbatim, but it was something to that  
6 point -- something to that effect. The wording is  
7 to that effect.

8 BY MS. JOHNSON:

9 Q. Was the purpose of the written notice to put  
10 FDLE on notice of modifications that they otherwise  
11 would not have known about?

12 A. Yes. At that point in time, yes.

13 Q. Now, the Rules 11D-8 was subsequently amended  
14 in 2004 removing that written notice requirement?

15 A. Yes.

16 Q. Were you involved in that 2004 rule  
17 promulgation?

18 A. Yes.

19 Q. Did you primarily write those 2004 rules  
20 yourself?

21 A. With the assistance of a legal advisor for  
22 the legal language, yes.

23 Q. What was reason why that written notice  
24 requirement was removed from 11D-8?

25 A. Well, first of all, I can't require the

1 manufacturer to provide me that notice.

2 Q. Why?

3 A. Well, because I don't have the authority to  
4 regulate a third party, and I don't approve individual  
5 parts and components within an instrument.

6 I approve the instrument as an analytical  
7 testing system. It's a global testing device. I  
8 don't approve each of the little pieces and parts in  
9 it. It's either going to work in accordance with my  
10 rules and give me an acceptable result as required or  
11 it's not going to work.

12 And so for those two main reasons, I can't --  
13 that particular section of the rule was taken out.  
14 Now, the caveat of -- and it's stated in that clause,  
15 which became the availability or approval of new  
16 instruments that I just previously stated -- in the  
17 new rule that's stated and it became Rule  
18 11D-8.003(6).

19 So just because there's a modification  
20 doesn't negate the approval of the instrument. So  
21 that was previously in there, and it's still in there  
22 today. And so that further describes the intent of  
23 our rule is not to approve pieces and parts.

24 Q. Drawing your attention back to August of  
25 2004, there has been testimony from Mr. Malhiot that

1 he and Mr. Skipper and all other staff members from  
2 the Alcohol Testing Program attended the CMI users  
3 group. Did you attend that CMI users group?

4 A. I did not attend that users group. The  
5 testimony was incorrect.

6 Q. Okay. But Mr. Malhiot and Mr. Skipper did  
7 attend that users group meeting?

8 A. Yes. And I do remember sending all of the  
9 inspectors at the time there. I was not there,  
10 though, so his recollection and account of that is not  
11 correct.

12 Q. Did Mr. Malhiot and Mr. Skipper stay after  
13 the users group meeting?

14 A. Yes.

15 Q. What was the purpose of them staying in  
16 Owensboro, Kentucky?

17 A. To assist the manufacturer in development of  
18 our software for Florida.

19 Q. And do you know how long they stayed at CMI's  
20 headquarters?

21 A. An additional week. A Monday -- well, they  
22 stayed through the weekend and then Monday through --  
23 and they were at the manufacturer's facility on Monday  
24 and stayed and left on Friday.

25 Q. Were you notified at some point that they

1 were having issues with low simulator results?

2 A. Yes. I do remember receiving, if not daily,  
3 almost daily contact from Mr. Skipper. Each day I got  
4 an update.

5 Q. And did they notify you that CMI had  
6 determined that there were air leaks in the  
7 Intoxilyzer 8000?

8 A. Roger did tell me that that had happened. It  
9 wasn't on the first day of testing. This happened on  
10 Thursday, almost the next to the last day of testing  
11 where they were assisting the manufacturer.

12 Q. Okay. And you were informed at that time  
13 that the hole was being drilled in the exhaust valve?

14 A. I was informed that that was the  
15 resolution -- one of the things they did at that point  
16 in time, yes.

17 Q. What were the other things they did at that  
18 point in time?

19 A. They added SureLock connectors to connect the  
20 tubing for the simulator to the instrument to ensure a  
21 better seal.

22 Q. Can you explain what a SureLock connector is?

23 A. It's kind of like a quick disconnect, but  
24 when you put it together, it actually locks. It locks  
25 in place, and there is an o-ring in the mechanism

1 where a better seal and a tight seal, a secure seal is  
2 made between the instrument and the tubing for the  
3 simulator. And it actually locks in place. In order  
4 to disconnect it, you have to push a button in order  
5 to disconnect it. So it can't come apart on its own.

6 Q. What is the exhaust valve or purge valve on  
7 the Intoxilyzer 8000?

8 A. It is a check valve that is located after the  
9 sample chamber in the -- if you're looking at the  
10 plumbing diagram, it's after the sample chamber, so  
11 it's after any sample is analyzed. And it directs the  
12 vapor out of the instrument in a certain way depending  
13 on what type of sample is being analyzed.

14 Q. And you were -- I'm sorry, you may have  
15 already answered this -- you were told that a hole was  
16 drilled in this exhaust valve, correct?

17 A. Yes, that that's what was done at that point  
18 in time.

19 Q. And you've heard testimony that it was  
20 determined that the hole was drilled to compensate for  
21 air leaks, correct?

22 A. Yes. That was my understanding because of  
23 low simulator results, not low breath test results.

24 Q. Okay. Do you agree that the hole was needed  
25 to compensate for air leaks?

1           A.    Absolutely not.

2           Q.    Why?

3           A.    Well, based on the information -- not the  
4           information -- based on what was done to the  
5           instrument, it's my opinion that the connectors are  
6           actually what fixed the problem, not the hole. That's  
7           based on also the testing of the instrument that I've  
8           done myself.

9                    It was the connectors securing that  
10           connection so that the air, the vapor going into the  
11           instrument wasn't diluted upon entering the  
12           instrument. As Mr. Workman said, it had to be on the  
13           intake section where it was getting additional air in.

14                   So where the connector connected the tubing  
15           from the simulator to go into the instrument, there  
16           was a leak there. It wasn't connected properly. It  
17           wasn't sealed, so it was drawing extra air in,  
18           diluting the sample before it was analyzed, lowering  
19           the results on the instrument -- not that the  
20           instrument's broken.

21                   It's analyzing what was put in it, but what  
22           was put in it, was not what it was purported to be.  
23           And then that sample exits out through the -- well,  
24           the valve -- the exhaust valve would be down and would  
25           be recirculated back to the simulator if we're using a

1 simulator.

2           If you're doing a breath test, which they did  
3 during that point in time at testing, they ran  
4 samples. Not only were they -- they were getting low  
5 simulator sample results. They were getting perfectly  
6 acceptable breath results when they blew their breath  
7 into the simulator that was connected to the breath  
8 tube. That's how you would deliver a known sample in  
9 through the breath tube.

10           So we know putting we're putting a .08 in.  
11 They've got the correct answer there, which tells you  
12 exactly what Mr. Workman had said, that it was being  
13 diluted upon entry where the simulator connected to  
14 the instrument.

15           What Mr. Malhiot didn't testify to is they  
16 actually did breath tests during this time on their  
17 troubleshooting. And the simulator was used to  
18 deliver a .08 into the breath tube, so we know what  
19 we're putting in there, so we know what the answer  
20 should be from the instrument. They were getting the  
21 correct answer out when they ran it in breath test  
22 mode, so it had to be not the instrument, but just the  
23 simulator.

24           MR. GABRIEL: Judge, I'm going to object to  
25 this testimony and move to strike. Ms. Barfield's

1 testified previously she wasn't present and she  
2 had some brief telephone conversations. She seems  
3 to be testifying about everything that was  
4 happening. I don't know what foundation she has  
5 to be able to even give that type of testimony.

6 THE COURT: I'm not going to strike it, but  
7 you're certainly welcome to go into that on cross.

8 MR. GABRIEL: Thank you, sir.

9 BY MS. JOHNSON:

10 Q. Are you aware of other states that use the  
11 Intoxilyzer 8000?

12 A. Yes.

13 Q. Do all other states use an Intoxilyzer 8000  
14 with this hole drilled in the exhaust valve?

15 A. No.

16 Q. So if states -- or if other states are using  
17 the Intoxilyzer without the hole and theirs work, then  
18 why is the hole needed?

19 A. The hole isn't needed.

20 Q. Have you ever asked the manufacturer why  
21 Florida has a hole and other states do not?

22 A. Yes.

23 MR. GABRIEL: Objection, hearsay, Judge. I'd  
24 love to have the manufacturer here.

25 THE COURT: Well, she's only testified that

1 she's asked, so that's not actually hearsay. The  
2 answer would be hearsay.

3 MR. GABRIEL: Okay.

4 MS. JOHNSON: One second, Judge.

5 (Sotte voce discussion.)

6 BY MS. JOHNSON:

7 Q. What's your understanding of why all Florida  
8 instruments have a hole in the exhaust valve?

9 MR. GABRIEL: Objection, speculation. She's  
10 not given a basis of where that's coming from.  
11 That's all I'd like to know.

12 THE COURT: It's either based on hearsay,  
13 which is not acceptable, or speculative, which is  
14 not acceptable. Unless she has some reason that  
15 would be admissible to know the answer to the  
16 question, I'm going to sustain the objection.

17 BY MS. JOHNSON:

18 Q. Have you done evaluations of the Intoxilyzer  
19 8000 with the hole in it?

20 A. Yes.

21 Q. And what are the results of the evaluations  
22 you conducted?

23 MR. GABRIEL: Judge, I'm going to object. I  
24 don't know that that's ever been anything that's  
25 been furnished in discovery. Is that something

1           that was listed?

2                   MS. JOHNSON: Yes. It's already been  
3           admitted as 29 through 34.

4                   MR. GABRIEL: Which are you talking about?  
5           Maybe I misunderstood and I apologize. Oh, okay.  
6           I'll withdraw the objection and will phrase  
7           another one as soon as she gets done with the  
8           answer.

9                   THE WITNESS: What was the question?

10          BY MS. JOHNSON:

11                  Q.    Have you performed evaluations on the  
12          Intoxilyzer 8000 with the hole in the exhaust valve?

13                  A.    Yes.

14                  Q.    How many times have you performed  
15          evaluations?

16                  A.    I've done seven evaluations in accordance  
17          with Chapter 11D-8 Florida Administrative Code, Form  
18          34, Instrument Evaluation Procedures.

19                  Q.    And as a result of those evaluations, did you  
20          find that the Intoxilyzer 8000 with the hole in it was  
21          producing accurate and reliable results?

22                  A.    The instrument was producing accurate and  
23          reliable results as specified on -- the requirements  
24          as specified on Form 34. It met the requirements of  
25          Form 34 is actually what I'm trying to say, the

1 requirements of rule.

2 MS. JOHNSON: One second, Judge.

3 (Sotte voce discussion.)

4 BY MS. JOHNSON:

5 Q. Drawing your attention to the issue with the  
6 flow sensor, can you explain the importance of flow in  
7 a subject breath sample?

8 A. Flow will trigger the tone in the instrument  
9 to tell the operator the instrument will be analyzing  
10 samples presented to it.

11 Q. And what is the R-value?

12 A. That is the electrical response or the  
13 resting state value for the flow sensor or pressure  
14 transducer. It's an electrical response, the resting  
15 value of that device, and it's represented as a  
16 numerical value.

17 Q. Does FDLE evaluate the flow sensor or R-value  
18 as part of their quality control measures?

19 A. Yes.

20 Q. How?

21 A. We check what the R-value is and record it on  
22 our instrument processing sheet.

23 Q. How is that done?

24 A. You go into Menu Level 3, which is the  
25 department inspector menu level, and scroll to

1 diagnostic monitors and then scroll to flow sensor.  
2 It's a letter designation. And then it will tell you  
3 where it is there.

4 Q. And how often is that done?

5 A. Any time the instrument comes to our  
6 laboratory for quality control check or department  
7 inspection.

8 Q. And how long have the department inspectors  
9 been assessing the flow sensor?

10 A. Since 2011, we were recording it on a  
11 spreadsheet formally. Part of that year, I was not  
12 tying it to the actual instrument serial number  
13 because I was trying to collect some data to further  
14 determine its significance and/or importance, if it  
15 even had importance as far as breath test results.

16 We then created an instrument processing  
17 sheet in the beginning of 2012 where it's actually  
18 recorded. Prior to that, I was having inspectors back  
19 in 2007 for about two weeks record it on a spreadsheet  
20 or on the field notes.

21 That was not conducive to what I was wanting.  
22 I was not getting the information I wanted in the  
23 format that I wanted, and it was also determined that  
24 the R-value had nothing to do with of the ability to  
25 provide breath samples into the instrument, so I quit

1 having them do that extra work until we could figure  
2 out a different way to assess the instrument.

3 Q. And how do you assess the instrument with  
4 regards to the flow sensor?

5 A. Well, with the flow sensor, we check the flow  
6 sensor with a flow meter and a compressor. We put a  
7 known flow rate through the instrument and make sure  
8 that it's producing the appropriate flow rate  
9 response. And if it's not, then we calibrate the flow  
10 sensor in accordance with manufacturer specifications.

11 Q. And why wasn't that being done before 2011?

12 A. Well, since before 2007, probably the  
13 beginning of 2006, mid 2006, I had been -- I have been  
14 working with the manufacturer to find the -- to figure  
15 out the best way to check the flow sensor or check the  
16 volume measurements.

17 They advised me in 2007 that I should  
18 purchase volumetric syringes, which are these huge  
19 contraptions that cost about \$300 a piece that I can  
20 deliver a known volume into. That did not work  
21 because the Intoxilyzer 8000 does not measure volume.  
22 It calculates volume based on flow and time of sample  
23 delivery. So depending on how you depressed the  
24 plunger on this huge syringe, you can affect the  
25 volume calculation. That's not the right way to check

1 it.

2 So we continued working on a procedure with  
3 the manufacturer and not getting much information  
4 there for a while, so it took a long time and now have  
5 developed a procedure that has quality control  
6 developed into it. And the manufacturer has actually  
7 come to our laboratory to observe our procedure, and  
8 to my knowledge, is implementing our procedure because  
9 of its quality soundness.

10 Q. Prior to the beginning of 2011, were the  
11 department inspectors all working out of the  
12 Tallahassee lab?

13 A. Yes.

14 Q. Were they actually assigned to work in the  
15 lab every day?

16 A. No. They actually would do their  
17 inspection -- there was no quality checks like what  
18 we've been talking about before. There was no quality  
19 check process or procedures. The inspectors would go  
20 to the agency and do a department inspection, which we  
21 still do now, in the field. So everything was done  
22 out in the field, not in a laboratory or a controlled  
23 environment.

24 Q. Would it have been difficult to do flow  
25 calibration out in the field?

1           A.    Definitely, because you have to carry a  
2           compressor first of all, plus all your simulators,  
3           plus all your standards, plus you'd have to carry a  
4           compressor, plus the flow meter really isn't designed  
5           to be moving around too much, like traveling in cars  
6           and stuff. You really want to keep it on a laboratory  
7           countertop.

8           Q.    So does that explain when you -- when did you  
9           centralize Alcohol Testing Program operations at the  
10          Tallahassee lab?

11          A.    Towards the end of 2010 -- no, 2009 -- yeah,  
12          towards the end of 2009 is when we started that  
13          process, yeah.

14          Q.    So about the time you started performing flow  
15          calibrations and keeping records of that, was it at  
16          that time because now everyone was centered in the  
17          Tallahassee lab?

18          A.    Well, once everyone actually made it to the  
19          Tallahassee lab, it was probably the beginning of  
20          2010. We're still -- we were still trying to get the  
21          information from the manufacturer on the proper way to  
22          check or verify the flow sensor calibration.

23                  We had to develop and validate the procedure  
24          that we were going to use, and so that occurred, and  
25          we were doing it towards the end of 2010. But we

1 mainly really started it at the beginning of 2011,  
2 fresh with the New Year, and have been documenting it  
3 since.

4 Q. What's the importance of flow sensor  
5 calibrations in regard to breath test results?

6 A. None. Other than the ability to get samples  
7 in the instrument, the flow sensor has nothing to do  
8 with calculation of breath alcohol test results. What  
9 I mean by that is if your flow sensor does not work,  
10 you will not get the tone, the instrument will not see  
11 that a sample is going through it, and there will not  
12 be an alcohol test result produced.

13 MS. JOHNSON: One second, Judge.

14 (Sotte voce discussion.)

15 MS. JOHNSON: Judge, I don't have anything  
16 further at this time.

17 MR. GABRIEL: Judge, could I take a break for  
18 a couple of minutes and speak to my expert, and  
19 may I also inquire of the Court of the hours it  
20 wishes to go?

21 THE COURT: Well, unless you're getting ready  
22 to wrap everything up in 15 minutes, we're going  
23 to go ahead and probably conclude it. I assume  
24 not?

25 MR. GABRIEL: No, sir, unfortunately, and I

1 apologize.

2 THE COURT: That's all right. We'll go ahead  
3 and end this now, and you can -- we'll resume in  
4 the morning with your cross. Does that work for  
5 everybody?

6 MR. GABRIEL: Sure.

7 (The hearing is recessed for the day.)

8 (Volume II ends.)

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

REPORTER'S CERTIFICATE

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

STATE OF FLORIDA  
COUNTY OF LEON

I, Tracy A. Lefebvre, Freelance Court Reporter,  
and notary public for the State of Florida at Large,  
do hereby certify that I was authorized to and did  
stenographically report the foregoing proceedings; and  
that the transcript is a true record of the testimony  
given by the witnesses and the proceedings as heard.

I further certify that I am not a relative,  
employee, attorney, or counsel of any of the parties,  
nor am I a relative or employee of any of the parties'  
attorney or counsel connected with the action, nor am  
I financially interested in this action.

---

TRACY A. LEFEBVRE  
Court Reporter