

IN THE COUNTY COURT OF THE
FIFTEENTH JUDICIAL CIRCUIT COURT
IN AND FOR PALM BEACH COUNTY, FLORIDA
CRIMINAL DIVISION

STATE OF FLORIDA)

-vs-)

ROBERT JOHNSON,)

Defendant.)

CASE NUMBER: 96-037235TC A02

ORIGINAL

EXCERPTS OF JURY TRIAL
(TESTIMONY OF RICK SWOPE)

PRESIDING: HONORABLE ROBERT SCHWARTZ

APPEARANCES:

ON BEHALF OF THE STATE OF FLORIDA:

BARRY KRISCHER, ESQUIRE
State Attorney
401 North Dixie Highway
West Palm Beach, Florida 33401
BY: CRAIG LAWSON, ESQUIRE
Assistant State Attorney
MAUREEN MARTINEZ
Certified Legal Intern

ON BEHALF OF THE DEFENDANT:

MAYNARD S. PELOKE, ESQUIRE
433 Plaza Real, Suite 275
Mizner Park
Boca Raton, Florida 33432

Thursday, April 10, 1997
Palm Beach County Courthouse
205 North Dixie Highway
West Palm Beach, Florida
Beginning at 2:30 o'clock p.m.

ENID FORMAN, OFFICIAL COURT TRANSCRIPTIONIST

I N D E X

WITNESS:	DIRECT	CROSS	REDIRECT	RE CROSS
Rick Swope	3	34		

E X H I B I T S

STATE EXHIBIT NUMBER	IDENTIFICATION	EVIDENCE
----------------------	----------------	----------

DEFENDANT EXHIBIT NUMBER

1 BE IT REMEMBERED that the following proceedings
2 were had in the above-entitled cause before the
3 HONORABLE ROBERT SCHWARTZ, one of the Judges of the
4 aforesaid Court, at the Palm Beach County Courthouse,
5 located in the City of West Palm Beach, State of Florida,
6 on the 10th day of April, 1997, beginning at 2:30 o'clock
7 p.m., with appearances as hereinbefore noted, to-wit:

8 (Only the testimony of RICK SWOPE was
9 requested to be transcribed, and the following
10 proceedings were had:)

11 THE COURT: Defense, call your next witness,
12 please.

13 MR. PELOKE: Thank you, Your Honor. The
14 Defense would like to call Rick Swope, please.

15 RICK SWOPE,
16 after being called as a witness by the Defense and after
17 being first duly sworn by the Clerk of the Court, was
18 examined and testified as follows:

19 THE WITNESS: I do.

20 MR. PELOKE: May I proceed, Your Honor?

21 THE COURT: Please.

22 DIRECT EXAMINATION

23 BY MR. PELOKE:

24 Q. Mr. Swope, could you state your full name and
25 address for the record, please?

1 A. My name is Rick Swope, S-w-o-p-e. I reside at
2 2941 Southwest 87th Avenue in Davie. I have an office in
3 Davie, Florida, and one in Atlanta, Georgia.

4 Q. And what is the nature of your employment,
5 Mr. Swope?

6 A. I'm self-employed. I own the company. I do,
7 primarily, accident reconstruction. That's about ninety
8 percent of my business. The other ten percent of my
9 business encompasses teaching, doing lectures, doing
10 experimentations with different types of breath machines,
11 teaching field sobriety exercises to police officers,
12 lawyers, and private individuals who wish to retain my
13 services for that purpose.

14 Q. Specifically as to Breathalyzer machines and
15 breath alcohol concentrations in DUI cases, could you
16 state for me your qualifications to testify as an expert?

17 A. Well, I've been a breath operator since 1974.
18 I was a police officer, for fifteen years. The last six
19 years of my employment, I was with the Broward County
20 Sheriff's Office. I was the supervisor of the Traffic
21 Homicide and DUI Task Force Units for four of those six
22 years. I conducted somewhere in the neighborhood of
23 seven to eight thousand breath tests.

24 I have a bachelor's degree in criminal justice
25 from St. Thomas University. I have a master's degree in

1 technology and engineering from the University of Miami. ←

2 I'm an instructor for the State of Florida,
3 have been an instructor with the Florida Department of
4 Law Enforcement since 1985. I'm also an instructor with
5 the National Highway Traffic Safety Administration,
6 Department of Transportation. Since I left and went into
7 private practice in 1990, I have maintained my
8 certification. Although, obviously, I don't give -- test
9 criminal cases anymore because I'm not a sworn police
10 officer. But I have kept up on the technology of the
11 machines.

12 I am a co-owner of one machine. Up until 1996,
13 I maintained four training machines at Broward Community
14 College that were used by the police department in
15 training breath operators. I also work on two or three
16 private machines, where individuals have them, either law
17 firms or some type of private businesses.

18 I have written articles involving the
19 breath-testing machine, specifically the Model 5000
20 series. There's actually a series of those machines.
21 The State of Florida uses two different model numbers.
22 There are actually three models of Intoxilyzer. We use
23 two here.

24 I've taken apart the machines. I've conducted
25 experiments in labs with doctors, lawyers, defendants,

1 other individuals, where I have been asked to do certain
2 studies. I have done certain studies, on my own. In
3 other words, I've had subjects drink certain amounts of
4 alcohol. Blood was taken, at certain times, from them.
5 We would compare the two results, put those together.
6 We'd also do it with people who had different types of
7 things, such as people with dentures or people who had
8 mouthwashes, mouth sprays, different types of substances
9 in the mouth, anything from gasoline to different types
10 of alcohol, people with medical problems. The list goes
11 on and on. And I have written several articles about
12 that and addressed the issues, good and bad, with the
13 machine. There are good things with the machine and
14 there are bad things with the machine. And I've
15 addressed what I feel is good and what I feel is bad.

16 Q. Mr. Swope, approximately how many times have
17 you testified in an expert witness capacity prior to
18 today?

19 A. Well, not counting the reconstruction, I've
20 probably testified somewhere in the neighborhood of about
21 -- probably over four hundred times for a state agency,
22 probably about -- maybe a hundred and twenty, hundred and
23 thirty times for the Defense.

24 Q. So, you have testified for the state before, in
25 Breathalyzer cases?

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

A. Oh, sure.

Q. That's as an expert witness?

A. Yes.

Q. And, Mr. Swope, have you performed any scientific studies yourself relating to the slope detector of the Intoxilyzer 5000?

A. Yes, quite a few.

Q. And what is a slope detector, specifically?

A. Well, a slope detector is meant to -- there are things, actually, that the machine looks for, its time, pressure, and slope. The slope is meant to address any type of substance that may be in the mouth that -- specifically alcohol, actually, that is in a high concentration. And I guess, the only way to put it mildly is that there are times when an operator gives a breath test where an individual is so impaired that they throw up or they have what's called -- we call it the wet burp, where somebody gives a burp and they almost throw up but they don't actually and they swallow it back down. And that brings up the concentration of alcohol from the stomach and, obviously, the concentration in the mouth, at that time, is very high. So, the detector is meant to pick up a high amount of alcohol. Same thing as if -- if I took a drink now and spit it out and blew in the Intoxilyzer in thirty seconds, obviously, I have no

1 alcohol in my system, in other words, I haven't swallowed
2 it and I'm not consuming alcohol before I came in here,
3 but the Intoxilyzer is going to show a reading. And it
4 should show a reading because it's meant to pick up
5 ethanol. But it's -- it's only meant to pick up a high
6 volume of alcohol. Therefore, if I have alcohol that is
7 in my mouth, for a period of time, or maybe I have a burp
8 but it's not that -- a wet burp, so to speak, or I swish
9 my mouth out or I have something to hold the alcohol in
10 my mouth, such as gum or dentures, or something, where
11 alcohol can get trapped, it may give an erroneous
12 reading.

13 And there are different ways to look at that.
14 One could be a variation of the readings. In other
15 words, one reading could be high; one reading could be
16 low, and then you have the third or fourth reading that
17 kind of falls somewhere within there. So, that's a
18 trigger to the operator, in other words, to know that,
19 look, if the slope detector isn't working, well, maybe I
20 won't be able to pick that up, but if my readings are
21 erratic, that could be another warning to the operator
22 that, hey (sic), maybe something is up and I should look
23 at it.

24 Q. Basically, then, one of the purposes of the
25 slope detector is to detect the presence of mouth

1 alcohol. Is that a fair account?

2 A. A high presence of mouth alcohol. In other
3 words, if it doesn't detect it, it doesn't mean it's not
4 working. It's just meant to detect a very high volume of
5 alcohol. If it's a low volume of alcohol, it'll never
6 detect it. Never.

7 Q. Approximately how many articles have you
8 published yourself regarding the Intoxilyzer 5000?

9 A. Well, articles that have been published
10 nationally are three. I've published three nationally;
11 I've co-authored about four or five, meaning that other
12 authors actually wrote it, and I did studies that they --
13 I let them use my studies, or maybe I wrote anywhere from
14 six paragraphs to four pages and I was incorporated or
15 mentioned in their article. And I've written probably
16 about -- oh, maybe seven or eight training outlines for
17 the state. In other words, I've written training
18 outlines on what officers should follow, for procedures,
19 as to how -- what questions should be asked, how a test
20 should be administered, those kind of things.

21 Q. And this is for the Intoxilyzer 5000?

22 A. That's correct.

23 MR. PELOKE: So, Your Honor, based on this
24 expert's qualifications, I would like to tender
25 Mr. Swope, at this time, as an expert witness.

1 THE COURT: Any objection, State?

2 MS. MARTINEZ: No, Your Honor.

3 THE COURT: All right.

4 BY MR. PELOKE:

5 Q. Mr. Swope, how did you become involved in this
6 case?

7 A. You contacted me -- I don't know, several weeks
8 ago, I believe, and asked me if I would look at this
9 particular case. There were two things that you wanted
10 me to look at. One was the discovery that was provided
11 in the case and the other was, I believe, a videotape.
12 And I told you to send it to my office. I would look at
13 it.

14 I only accept about -- well, right now, it's
15 only about twenty percent of the cases I get, where I
16 actually accept them. In other words, I look at them,
17 and eight percent of the cases go back because there's
18 not a whole lot I can do for you, in certain cases.

19 I looked at this case and I thought it was a
20 case that I could be of help, in explaining the case and
21 what I felt was wrong, and that's how I became involved.

22 Q. Well, the defendant's paying you for your
23 presence here today.

24 A. He's paying me for my time. Absolutely. I
25 bill everybody for my time.

1 Q. And you don't become involved in every case a
2 Defense attorney offers to you, that's correct?

3 A. Oh, no. I -- no. No way. I -- I look at
4 probably four hundred cases a year. I take maybe twenty
5 percent of those, at most, maybe twenty-five.

6 Q. Did you have an opportunity in this case to
7 actually review the discovery and the videotape that was
8 provided to you relating to these Breathalyzer tests?

9 A. Yes, sir, I did.

10 Q. In terms of some of the Probable Cause
11 Affidavit statements, Mr. Swope, if a suspect had a
12 disabled knee, where his A.C.L. is actually absent and he
13 has minuscule tears, could that affect his ability to
14 satisfactorily perform some of the physical field
15 sobriety exercises?

16 A. Well, if there's any type of injury on a
17 person, it could, sure.

18 Q. Do field sobriety exercises affect normal
19 physical functions?

20 A. Right. The normal faculties, that was what we
21 call it, yes.

22 Q. But it does not affect someone's ability, in
23 terms of their normal walking patterns, correct?

24 A. No, it doesn't.

25 Q. And most field sobriety exercises are just

1 predictors of alcohol impairment?

2 A. Most of them, yes.

3 Q. In terms of the Romberg alphabet test -- or,
4 exercise, that's an exercise where a suspect has to put
5 his feet together? Is that correct?

6 A. That's correct.

7 Q. And throw his head back?

8 A. That's correct.

9 Q. And then recite the alphabet or parts of it?

10 A. Yes.

11 Q. And could a knee disability affect someone's
12 ability to stand with their feet together and put their
13 head back, in that manner?

14 A. It could, yes.

15 Q. Could you explain to the jury exactly what the
16 C.M.I. Intoxilyzer 5000 is?

17 A. Yes.

18 The Intoxilyzer -- as I indicated, there are
19 three different models. I believe, in this case, if I'm
20 not mistaken, it was a '64 model, which -- let me just
21 check here.

22 It was a '64 series. That was the first series
23 that C.M.I. came out with. And really, in lay terms,
24 it's an infrared breath-testing device, meaning that it
25 takes a sample of one's breath. One places their breath

1 into the machine. It then converts it, based on a
2 blood/breath partition ration, to a breath alcohol
3 reading.

4 To give you a good example of how it works,
5 very simply is, if you have a balloon and you blew that
6 balloon up and you took a flashlight, and you took the
7 flashlight and the beam goes through the balloon and
8 comes out the other end. And let's say, you're going to
9 measure the amount of light that comes through. So, you
10 take a measurement of that. And now you take the same
11 balloon and you take a puff of smoke, or something, and
12 you blow it into the balloon. There's going to be less
13 amount of light now coming through because the smoke is
14 in there and it's kind of going to absorb some of that
15 light. If you could take a measurement between the two
16 readings, the clean air and the smoke -- smoky air,
17 that's really what the Intoxilyzer does, same basic
18 theory. It has clean air -- or, you hope it has clean
19 air in the chamber, and then it takes ethanol in and it
20 gives you a reading between the two, and that's where it
21 comes up with the numerical value.

22 Q. Can human error affect the reliability of the
23 breath readings of the Intoxilyzer 5000?

24 A. Sure.

25 Q. And how so?

1 A. Well, an operator comes into play probably more
2 so than maybe it's realized. An operator who has
3 experience can detect many things, such as a person who
4 is maybe burping, a slope detector that's not working
5 properly, a clock or a printer that's out of synch,
6 somebody who's not blowing properly, little things like
7 that that you can just see, on the outside.
8 Mechanically, as far as what may or may not be operating
9 properly on the machine, it would take more of an
10 experienced operator to find out if they're having
11 problems, such as erratic readings. Maybe an individual
12 is blowing their brains out, so to speak, and the tone is
13 being erratic. The breath's not getting through. Could
14 be a variety of reasons. Could be the hose; could be the
15 mouthpiece. So, the operator has a play in the machine,
16 if they're trained properly.

17 Q. Well, how can an operator detect malfunctions
18 with the slope detector if the machine doesn't indicate
19 there's a malfunction?

20 A. Well, an example would be -- I don't know if
21 you the breath card but, in this case, the breath card is
22 an example --

23 MR. PELOKE: Hold on.

24 Your Honor, may I approach the witness
25 with the State's exhibit relating to the breath

1 card?

2 THE COURT: You may.

3 MR. PELOKE: Would that help you, Mr. Swope?

4 THE WITNESS: Yes, it would.

5 MR. PELOKE: May I approach, Your Honor?

6 THE COURT: You may.

7 MR. PELOKE: Thank you.

8 This is previously marked State's
9 exhibit, which was entered evidence relating to
10 this case.

11 THE WITNESS: Okay. Could I use this board
12 here? Would that be okay?

13 MR. PELOKE: Sure.

14 Is that okay, Your Honor?

15 THE COURT: Certainly.

16 MR. PELOKE: May the witness step down?

17 THE COURT: Yes.

18 MR. PELOKE: Thank you.

19 THE WITNESS: On this particular part, I'm not
20 going to write out everything on the bottom. But
21 the readings -- first reading you have is an air
22 blank, in this particular case. Then you have a
23 one-two-nine (sic), then you have another air
24 blank, which is all standard. So, up to this
25 point, there's no problem. You know, it's not

1 something unusual. Now, the second reading you
2 have is -- it comes up as basically a warning down
3 here to the operator, which is printed out, anyway,
4 at the bottom of the card after the test. And the
5 operator now would see that he does not have an --
6 over here, he does not have a .02 agreement between
7 the two readings. So, now you have to ask yourself
8 one question. Well, number one, is it possible
9 that, on the slope -- and the slope goes something
10 like this. It'll be a nice even slope, and then it
11 would come down -- and it can drop off after the
12 breath test. No big deal. Or you have a spiking,
13 where the alcohol level goes up and then drops.
14 Now, the operator, because there's no oh-two (sic)
15 agreement, has to ask himself one question. Well,
16 which one is it? And now I have to take a third
17 reading. And my readings should be consecutive.
18 In other words, if this reading has to be believed
19 or if this reading has to be believed, then, the
20 next subsequent reading should be within an oh-two
21 (sic) of the previous reading. Well, again, we
22 don't have that here. We have two readings within
23 an oh-two (sic) but we have the first reading and
24 we have the third reading, so now we don't have
25 anything consecutive. Because, to get a reliable

1 reading, you must have consecutive readings.

2 Now, the rules don't require that, in
3 this state but, as far as scientifically goes, none
4 of these readings now -- and they mean zero. They
5 mean nothing to me, in looking at readings, because
6 you don't have anything consecutive. So, therefore
7 -- and the machine has told the operator that
8 there's no oh-two (sic) agreement, so now, rather
9 than having -- nowhere in there do we have two
10 consecutive readings. Now -- in other words, if
11 this oh-nine-eight (sic) came up down here, an
12 oh-nine-eight (sic) or an oh-eight-seven (sic) or
13 oh-one-oh (sic), now I'd look at it and say, well,
14 now they're closer; we had two consecutive
15 agreements, so now I can feel comfortable that
16 everything is fine. But because they're not
17 consecutive -- we have a high one; we have a lower
18 one; we have a high, now the question is, what's
19 the next one going to be? We don't know. It could
20 be high again; it could be low again. We don't
21 know.

22 DIRECT EXAMINATION (Continued)

23 BY MR. PELOKE:

24 Q. So, in terms of the scientific analysis of
25 those results, where you're primarily concerned with

1 reliability and truthfulness, those results mean nothing
2 to you, in terms of --

3 A. They mean nothing, no.

4 Q. -- this defendant's potential blood -- or,
5 breath alcohol level?

6 A. That's right. They mean nothing.

7 Q. Could that middle result, .098, be attributable
8 to the defendant not blowing enough air in the machine?

9 A. Well, could it be? That's hard to say. And
10 you still have an ethanol reading, but the fact is, is
11 that, apparently, since there's no asterisk here -- and
12 this is what I would look for. If there's no asterisk
13 here, that means that whoever blew into the machine met
14 this requirement. So, the machine was satisfied because
15 there's no asterisk. So, saying could it be because he
16 didn't blow hard enough, my response would be, absolutely
17 not, at that point, because, if he didn't blow hard
18 enough, the machine has a device to tell you that.
19 Because, we're looking for three things, time, looking
20 for pressure, looking for slope. If one of those three
21 things, or all of them, are not satisfied, the machine
22 will tell the operator, take another test. And on this
23 card, I don't see that.

24 Q. Now, if the slope detector is not detecting the
25 presence of mouth alcohol, can a technician do anything

1 about that, at that time? If the machine says the slope
2 detector is working properly and, yet, it's
3 malfunctioning, for some reason, that's possible,
4 correct?

5 A. Sure.

6 Q. Now, what can the technician do, at that time?

7 A. Well, you mean, as far as the subject goes?

8 Q. Yes.

9 A. Well, he can do a lot of things, at least from
10 what I saw. You're talking about this case, I would
11 assume.

12 Q. Correct.

13 A. Well, number one, is, there was a foreign
14 object in the mouth, which had to be removed, in this
15 case. On videotape, I saw the -- I forget what time it
16 was, but -- at 8:03, and the first test is 8:05. So, at
17 8:03, a foreign substance -- well, actually, I consider
18 it to be a foreign substance, was taken out of the
19 defendant's mouth, which was then -- that means that a
20 twenty-minute observation period must be conducted, from
21 that point on.

22 Q. And that is for what purpose?

23 A. Well, for the purpose of -- the same purpose
24 the operator asked him to take the teeth out. I mean, if
25 the teeth aren't a problem, why don't they leave them in?

1 They asked him to take the teeth out because they know
2 there's a problem with dentures. The dentures were
3 removed. Rather than rinse the mouth out and get out
4 whatever a person uses -- Poly Grip, Denture Grip,
5 whatever they may use in their mouth, rinse that out, get
6 rid of that, wait your twenty minutes, and then go give
7 your test, just like an observation period would be.
8 Because that wasn't done, that's another factor on why
9 these readings are inaccurate.

10 Q. But how would you respond to the argument that,
11 irrespective of the waiting period, if these dentures
12 contained mouth alcohol, then the machine would tell us
13 that, through the slope detector?

14 A. No. Only --

15 Q. Why is that?

16 A. Only if it's a high concentration. In other
17 words, if there's a very high concentration in the mouth,
18 enough to make this level go up, immediately, then the
19 operator would know. But if there's not a high
20 concentration, if there's a lower-volume concentration in
21 the mouth, then, the detector's not going to pick it up.
22 But that's why a secondary -- and I call it a secondary
23 device, even though it's the operator. This tells you,
24 right here, something's wrong. The card is telling you
25 this. It's not like it's hiding something.

1 Q. And in this case, the technician did nothing,
2 after realizing there could have been a problem.

3 A. That's correct.

4 Q. Now, in terms of the low content of mouth
5 alcohol not activating the slope detector, does that mean
6 that that mouth alcohol is influencing the overall test
7 results that we're showing here?

8 A. It could be, sure.

9 Q. It could inaccurately elevate those results,
10 correct?

11 A. Well, absolutely.

12 Q. Now, Mr. Swope, if someone consumes alcohol,
13 they don't personally know whether this alcohol is going
14 to concentrate in their dentures or some other foreign
15 object, correct?

16 A. I wouldn't expect anyone to know that, no.

17 Q. In this case, the defendant testified,
18 previously, that he uses a denture adhesive by the name
19 of Sea Bond.

20 A. Okay.

21 Q. Are you familiar with that product?

22 A. Yes. It was one of the things that we used in
23 our class. As a matter of fact, we're doing a class
24 today with Sea Bond in Atlanta. I flew in for this
25 hearing and I'm going back, and we're doing studies today

1 and tomorrow on dentures, actually in Atlanta, at the
2 various conference centers, myself, Dr. Jensen, and two
3 other technicians.

4 Q. Does Sea Bond have an oil base, to your
5 knowledge?

6 A. Most of them do, yes.

7 Q. Does that have any significance, in terms of
8 the mouth alcohol issue that's here?

9 A. Well, obviously, if the substance, itself, is
10 just a -- I call it, like, a grabbing substance. In
11 other words, it retains whatever is around it. If you're
12 drinking Coca Cola, it retains some of the Coca Cola; if
13 you're eating, it retains certain things. It's kind of
14 -- it's -- really, all it is, it's sticky. You know, oil
15 base kind of holds things. That's all it does. Like, it
16 mixes, like, oil and water, so to speak. In other words,
17 it kind of like stays together, for a while. And that's
18 kind of what we found. They're, again -- you know,
19 there's some that aren't oil base and some that are. It
20 just depends on the particular type that you get and how
21 much. Some people use a little; some people use a lot.

22 Q. Now, the defendant testified in this case that
23 he consumed two glasses of rum and Coke while his
24 dentures were on and while he was using Sea Bond. And he
25 only removed the dentures prior to the Breathalyzer being

1 administered. Could the presence of that Sea Bond, in
2 any way, affect the reliability of the results of this
3 defendant?

4 A. Yes.

5 Q. And how so?

6 A. Well, we don't know how much because we don't
7 have any tests to show us that. Could it inflate the
8 reading? Sure. Could it keep mouth alcohol in the
9 mouth? Yes. Do I know how long? No. On some people,
10 it stays in there for a short period of time, meaning,
11 ten, fifteen minutes. We've had tests go an hour and a
12 half or longer, where there's been mouth alcohol. And
13 those were people that we've actually experimented with
14 that had nothing to drink, other than what we gave them
15 in testing.

16 Q. Now, there were deputy sheriffs who previously
17 testified in this case that the fact that Mr. Johnson's
18 mouth was not inspected after he removed his dentures,
19 and that there was no twenty-minute observation period
20 complied with had absolutely nothing to do with these
21 results. Could you respond to that, in terms of the
22 possible presence of mouth alcohol and the Sea Bond in
23 his mouth?

24 A. Well, I would say that's ridiculous, because if
25 there's no problem, why would you have them remove the