

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

IN THE CIRCUIT COURT OF
THE NINETEENTH JUDICIAL
CIRCUIT IN AND FOR
MARTIN COUNTY, FLORIDA

STATE OF FLORIDA

CASE NO. 432011CF000114

vs.

MICHAEL OPSINCS,
Defendant.

_____ /

TESTIMONY OF RICK ANTHONY SWOPE

This cause came before the Court for a Jury Trial held
on July 24, 2013, before the Honorable William Roby,
Circuit Court Judge, at the Martin County Courthouse,
Stuart, Florida.

APPEARANCES:

For the State:
Nita Denton, Esquire
Micheal Linn, Esquire
Office of the State Attorney
Stuart, FL 34994

For the Defendant(s):
Mr. Showe
Mr. Baehman
Office of the Public Defender
Stuart, Fl 34994

1 MR. SHOWE: Yes sir, I'd like to call Mr. Rick
2 Swope.

3 Is it okay if he stands here?

4 THE COURT: I kind of gotta swear him in first
5 though.

6 MR. SHOWE: Oh.

7 THE COURT: If you'll come over here and face the
8 Deputy Clerk, we'll swear you in, --

9 MR. SHOWE: Thank you, Judge.

10 THE COURT: -- and then we'll release you to move
11 about the courtroom.

12 RICK SWOPE, DEFENSE WITNESS #1, SWORN

13 THE COURT: And for the record, sir, tell me your
14 name, please, spelling both your first and last names.

15 RICK SWOPE: Rick Anthony Swope; R-I-C-K S-W-O-P-
16 E.

17 THE COURT: Thank you, sir.

18 I'm releasing you of your (inaudible).

19 MR. SHOWE: All right, Mr. Swope, you can stand
20 wherever you're comfortable.

21 RICK SWOPE: (Inaudible) my papers over there, is
22 that all right?

23 MR. SHOWE: Yes.

24 RICK SWOPE: Or do you want me to stand here for
25 now?

1 DIRECT EXAMINATION

2 BY MR. SHOWE:

3 Q. First off, you said your name, Rick Swope.

4 A. Yes.

5 Q. What's your occupation?

6 A. I do accident reconstruction. I do primarily
7 reconstruction of motor vehicle accidents. I do motor
8 vehicles, trucks. I do mostly heavy vehicles; trucks,
9 busses, those kind of things.10 Q. Okay. And can you tell the jury some of your
11 educational background?12 A. I have a Bachelor's Degree from St. Thomas
13 University in Miami. I have a Master's of Science Degree
14 in Technology and Engineering from the University of Miami.
15 I just finished a while back a PhD program in Engineering
16 Management. I'm working on my paper, but my classes are
17 completed, so I'm working on my dissertation now. And
18 that's pretty much my education background.

19 Q. So in addition to being taught, do you also teach?

20 A. Yes.

21 Q. Okay, what -- what type of courses do you teach?

22 A. Well I'm an instructor for the National Highway
23 Traffic Safety Administration, and also the Department of
24 Transportation. I was an instructor for the State of
25 Florida for about 15 years for the Criminal Justice

1 Institute training programs. I've been on staff at various
2 locations; Institute of Police Technology and Management in
3 Jacksonville, University of Southern Florida, also the --
4 I'm sorry the Southern Police Institute, I couldn't think
5 of the name, in Kentucky. I also taught around the
6 country. I'm certified to teach officers in all 50 states.
7 I taught reconstruction either with programs through
8 Society of (inaudible) Engineers, or specific state
9 programs where I've taught around the country. I've
10 certified about 4,000 officers.

11 Q. And that gets into my next question. You -- you
12 have certifications that you teach as well?

13 A. Yes. There's -- most -- most individuals that
14 teach have certifications to teach either in your state, or
15 in your county, or somewhere where you're -- you have
16 authorization to do that. I'm certified nationally so I
17 can teach in all -- all 50 states and in territories of the
18 U.S. So I've taught pretty much all around. I've done
19 lectures at Emory University, University of Miami,
20 different universities. I actually taught a class at
21 Harvard. It was a traffic and alcohol class. I was asked
22 to speak there a few times during their summer session. So
23 I've been there a few times and (inaudible).

24 Q. Have you written any articles or had any published
25 works?

1 A. Yes. I've written articles in -- I have written
2 my own book, but I've written articles in other books where
3 a chapter is dedicated to me, and I've written a chapter in
4 several different books. I've written over 250 training
5 outlines for police agencies and criminal justice and
6 standards and training commissions. In other words, those
7 outlines are used to teach officers in various fields and
8 various things, how to work accidents, accident scenes,
9 those kind of things. So I've worked on those over 250
10 times and I've taught -- I indicated I don't even know how
11 many hours I've spent in class, but I've taught somewhere
12 around 4,000 officers since 1985.

13 Q. All right.

14 A. Somewhere around there.

15 Q. I guess can you give us a general idea of your
16 work background?

17 A. Well, I started -- first of all I started doing
18 accident work actually in the military. I went in the
19 military, the U.S. Army, in 1974 and I actually was
20 assigned as a military police officer. And I just happened
21 to get the traffic division and I began doing accident work
22 there. I took several hundred hours of training. I was
23 stationed in Kansas, so I was with the Kansas Highway
24 Patrol working accidents, as well as accidents on -- on
25 base, or post, we called it at that time. After that I

1 | went to the Monroe City Police Department, and I worked
2 | traffic there for about 6 years. I took probably a couple
3 | thousand hours of classes dealing with accident
4 | reconstruction. It was a different term then. I think it
5 | was technical investigation. And then I was asked to come
6 | to Florida in 1984 by the Broward Sheriff's Office. They
7 | were starting a traffic division and they were looking
8 | around and they gave me an opportunity and I became the
9 | (inaudible) coordinator of the traffic (inaudible) division
10 | in 1984. And I was there until 1990, and I started with
11 | just me in the unit, and when I left there was about 40-
12 | some people or something like that. And I taught, you
13 | know, all the classes and the field training classes that
14 | the officers had to take at that time. I probably -- I've
15 | been on the scene of over 750 traffic fatalities. I've
16 | worked somewhere in the neighborhood of about 3,000 traffic
17 | fatalities. And I've reviewed over 10,000 accident reports
18 | or crash reports that I either had to proof or view during
19 | that period of time. I also worked on committees to work
20 | on (inaudible) report that the State uses. Of course that
21 | was in the mid 80's. Now they have a different forms.
22 | Initially at that time I was part of the committees that
23 | wanted to decide what to put on the forms, and what would
24 | be logged, and those kind of things during accident
25 | investigations. So, -- so I was a pretty integral part of

1 working on that, and worked, like I said, on a lot of -- a
2 lot of crashes.

3 Q. So how long exactly have you been in the field of
4 reconstruction?

5 A. Since 1974. And I currently have my own company
6 now.

7 Q. Well tell us about your company.

8 A. Well I left police work in 1990. I started my own
9 company, Swope Reconstruction, and I have an office in
10 Atlanta, I have an office in Ft. Lauderdale, and we
11 currently work in about 24 states. I have employees that
12 do contractual work for me. My daughter actually is an
13 engineer. She does a lot of work for me as well. And we
14 do, as I've indicated, mostly traffic crashes. Probably
15 one percent or less of our business is related to criminal
16 cases such as this. The rest of it would be civil cases
17 involving, you know, normal lawsuits, insurance company
18 cases. For automobile manufacturers we do a lot of
19 (inaudible) downloading trucks.

20 Q. That's the computer?

21 A. Yes, right. Every vehicle has a computer of some
22 kind, and everyone of your cars have some type of computer
23 system in it that -- that can either be downloaded by
24 individuals such as me, or the auto companies, or somebody
25 else. So I do a lot of that work, and we do a lot of

1 downloading heavy trucks. All the trucks that are on the
2 road, the semis, buses, those kind of things, they all have
3 similar systems. They're a lot more complicated. But
4 usually when there's a crash involving a heavy vehicle we
5 get called out and we download data, and we basically try
6 to determine what happened based on that. It makes it a
7 little easier obviously when you have the data, but you
8 still have to, you know, use the mathematical basis to back
9 the data.

10 Q. Okay. Well I think the -- the jury probably has a
11 pretty good idea of what you do, but can you explain what
12 accident reconstruction is?

13 A. Sure. Accident reconstruction really is just --
14 there's two things that you wanna attempt to solve for.
15 Time and distance is really the two things you wanna solve
16 for. And of course that gives you information such as
17 speed, final rest positions, normally how the crash
18 occurred. From an engineering standpoint, someone like me,
19 I rarely look at -- I look at it, but I rarely rely on
20 witness testimony as to what a driver said, or what a
21 passenger said. I try to rely on strictly what I see
22 either in photographs, a lot of times most cases, such as
23 this case, police collect a certain amount of evidence, you
24 look at that. Photographs usually tell you pretty much
25 what happened. Roadway evidence, roadway debris, those

1 kind of things. So you attempt to look at the vehicles, if
2 you can. I've looked at them in this case. And you try to
3 just kind of put the picture back together of what happened
4 and how it happened. Of course, the more you do it, the
5 more experience you do -- you -- you look for little red
6 flags and things that maybe somebody may have missed, or,
7 you know, something that the police may have missed
8 initially. You know, the police generally collect evidence
9 so to speak, and they graphically put down kind of what
10 they see, and take photographs. And depending on their
11 amount of training, some officers basically go further to
12 investigate. A lot of times they turn it over to people
13 like me, or it goes civil and then we get involved in the
14 civil case. So there's a lot of different things that
15 happen on a reconstruction, but basically --

16 Q. Well, is there a general procedure that you have
17 in --

18 A. Yes.

19 Q. -- in handling?

20 And can you explain that?

21 A. Well a case such as this, normally what we do is
22 we ask to see the vehicles, if they're available, which we
23 did. We looked at the vehicles. There's certain things we
24 look for. Sometimes cases involve a manufacturing defect
25 and that takes on a whole different approach, meaning that

1 maybe the manufacturer did something wrong, maybe a repair
2 shop did something wrong, maybe -- there's certain things
3 you look for. So that's kind of where you concentrate.
4 Then you concentrate on what the police collected, what
5 they did, what they saw, and you look at the photographs.
6 And generally the photos, when you've been doing it long
7 enough, the photos initially will tell me pretty much in my
8 head what's going on. I know pretty much -- I can look at
9 a car and tell you -- well, roughly, how fast it was going.
10 Certainly not -- you know, I wouldn't give the opinion
11 unless I knew, but I can kind of look at it and say, okay,
12 the car was probably going about this fast, this is what
13 happened, this is why the collision occurred, that kind of
14 stuff. And then you just kind of put it together from, you
15 know, step one to maybe step fifty, depending on what
16 you're looking at. And then you go back and circle and try
17 to figure out, okay, am I right? Did I take the right
18 path? And the great thing about -- the great thing about
19 mathematical calculations is, is that normally you can
20 always recheck yourself. So you can go back and say, well,
21 if I followed this procedure, then this should work out.
22 If it doesn't, then I figure, well, either -- either I
23 messed up -- I messed up in the calculation, maybe the
24 police collected something, maybe I looked at something
25 wrong. And then you just kind of go back until you put the

1 steps together.

2 Q. So even in the gigantic equation you can still
3 check your work?

4 A. Yeah. You always check your work, and you wanna
5 make sure that you're right. And a lot of times your
6 approaches aren't correct. I mean, a lot of times you
7 started something and, you know, this is a -- what I call a
8 special problem accident. And that's an accident that's
9 different from your normal one. And when I say different,
10 it's cause you have a car that's actually separated. So
11 that's different from your normal collision. And it just
12 so happened that this car separated just basically because
13 of two things. Number one, where it was hit, or how it was
14 hit. And number two is -- is that, you know, speed was
15 (inaudible) car. So that's -- that's a different type of
16 calculation than you normally do when you (inaudible) cars
17 hit and they just go off.

18 Q. And we're gonna get into that.

19 A. Yeah.

20 Q. But first, how were you contacted in this case?

21 Did I --

22 A. I was contacted by someone in your office actually
23 in 2011 at some point. I'm not sure the exact date. But I
24 was contacted, and as I said, we take very -- out of every
25 (inaudible) 500 cases we take 1 criminal case. We just

1 don't do criminal hardly at all. But your office asked if
2 I could come up with some speed issues, look at it. And I
3 said, well let me review it real quick. I looked at the
4 initial, you know, speed information and I said, well yeah,
5 let me try and help you out because, you know, I think it's
6 a little different than what you presented. I'll look at,
7 see if I can come up with an exact speed. And that's what
8 I did.

9 Q. Okay. And you are being paid for your services?

10 A. Yes. Well governmental agencies are different.
11 We don't -- you know, we bill differently with governmental
12 agencies, whether it's your office, or US Attorney's
13 Office, there's a set rate and you bill (inaudible).

14 Q. Okay. Well, why don't we get into what you did in
15 this case?

16 A. Okay.

17 Q. Do you need your --

18 A. Yeah, I need my file (inaudible).

19 Q. All right.

20 A. If I can maybe --

21 Q. Absolutely.

22 A. -- (inaudible) and look at it?

23 (Inaudible)?

24 Q. However you feel comfortable. I think the Judge
25 said you're free to move about the cabin.

1 THE COURT: You're free to move around.

2 MR. SHOWE: Free to move around.

3 THE COURT: Yes sir.

4 RICK SWOPE: I guess since I'm standing I'll go
5 back over here.

6 UNIDENTIFIED MALE: Judge, is it appropriate to
7 set this here?

8 THE COURT: Sure, you can go ahead and do that.

9 BY MR. SHOWE:

10 Q. Well, I guess we'll get -- I mean, why don't you --
11 -- first off, can you explain to the jury how this accident
12 happened?

13 A. Yes. Basically, as I indicated to the jury
14 previously, what happened in this particular case was you
15 have the vehicle, an Oldsmobile, struck a Honda, which is
16 pulled from an intersection. And what happened is, is that
17 there was an impact between the two. It was, I guess, what
18 I consider to be roughly a t-bone accident. And the Honda
19 vehicle split in half. It was tore in seams. And
20 basically that was, as I indicated, it's primarily the way
21 the accident happened. I'm sure in your period of time --
22 you know, I've seen thousands and thousands of accidents.
23 Very few have I seen where a car had been split. And
24 generally that's because of just the way the impact
25 happened. If you get hit at a certain point, or a certain

1 | portion on your car, that's what happens. Obviously not a
2 | 10 mile an hour impact, but this impact was in excess of
3 | 50. So just the way that the vehicle was hit, the exact
4 | way that -- the manner that it was hit caused the vehicle
5 | to separate along its seams and along its (inaudible) body
6 | line. And, I'll just give some kind of example of what I
7 | use in notes basically, is really when you're traveling in
8 | a vehicle and you're involved in an impact, the whole
9 | theory, without getting into your high school physics and
10 | stuff, is that the whole theory of momentum basically is
11 | that your energy is transferred, so when you make contact
12 | with somebody they feel your energy, and you feel their
13 | energy. I mean that's kind of the best way to describe it,
14 | so, and because the vehicles obviously give. Now something
15 | -- to give you another example would be if you're playing
16 | pool. If you've ever played pool and you hit the cue ball
17 | and it hits another ball, but the cue ball usually stops
18 | and the other ball moves on because they don't -- they
19 | don't mash into each other. Now if you were using
20 | softballs it'd be a different story because obviously the
21 | balls kind of collapse when they hit each other. Vehicles
22 | are kind of similar to that. Vehicles take in the energy.
23 | The vehicle is meant to absorb energy. And it's meant to
24 | dissipate the energy at the same time. So if you've ever
25 | seen a race on television, you might have seen a race car

1 crash into a wall and it goes into 50,000 pieces and the
2 guy walks out of the car. And that's because the car is
3 designed to break up. And the car is designed to destroy
4 itself basically so that each piece of that car flying off
5 takes some of the energy with it so that the operator or
6 occupants feel less of the energy in the car. So there's
7 two things basically we look for. I look for impulse and
8 external forces in a case. And what I look for in that is
9 is that when I run a calculation I should be able to come
10 back and check the amount of force going into the accident
11 should be the amount of force coming out of the accident.
12 So I wanna kind of check the impulse data and find out
13 exactly if I'm correct on that. Now, in this case, cause I
14 told you, you have a separation of vehicles --

15 Q. And before we get to that, we actually went out to
16 the scene and looked at the vehicles and you inspected
17 them, is that correct?

18 A. Right.

19 Right.

20 Q. And you -- you inspected all the measurements
21 taken?

22 A. Yes.

23 Q. Those were provided to you?

24 A. Yes.

25 Q. Okay. What else did you do in preparation for all

1 | these calculations and everything?

2 | A. Well, I -- I used -- almost without fault, I used
3 | the police information as far as where the impact occurred,
4 | where the vehicles ended up at. I used all of those
5 | measurements that the officer had. I also looked at the
6 | vehicles myself. There was nothing specific, per say, I
7 | did with the vehicles. There was no issue with braking on
8 | either vehicle. There was no issue with mechanical
9 | malfunction that I was aware of. Had that been in the
10 | report, then that's something totally that I -- I would
11 | have done. I would have done a mechanical inspection. But
12 | there wasn't -- there wasn't that. So then what I do is I
13 | pulled -- each car, as you're aware, has a vehicle
14 | identification number on it. I pulled that number and I
15 | ran a VIN check -- short for vehicle identification -- I
16 | ran that. That gives me the manufacturer's weight of the
17 | car. And I use that and, you know, obviously one car had
18 | occupants in it, the other car had just one occupant in it.
19 | So I try to account for that and put some weight in the car
20 | over and above what the manufacturer's weights are because
21 | you have occupants in the car, and you have fuel, oil,
22 | those kind of things. So that's where you get that
23 | information. And generally, unless the cars are weighed
24 | specifically, which they were not, but if they were weighed
25 | specifically it would give us a, you know, pretty close

1 idea. But otherwise, you know, we kind of use our
2 experience to, you know, add or subtract weight depending
3 on what it is. And again, weight is not a major, major
4 factor unless you're off, you know, a thousand or two
5 thousand pounds. But by looking at the car I got an idea
6 of what -- you know, what they (inaudible). And then I
7 come up with the weights, and then I did some momentum
8 calculations. And this actually is my final one. I did
9 probably maybe 10 or 15 other ones using different formulas
10 for separation of pieces. And I'll get into that whenever
11 you want. But separation of pieces was another story.

12 Q. Okay. And you say momentum calculation, this is
13 how you come up with the speed with a lot of different
14 factors?

15 A. Yeah. And -- and basically there's things you
16 use. You use approach angles, departure -- approach angles
17 are always easy because you pretty much know where the cars
18 are. The departure angles are in secret to most of these
19 type of calculations. And, as I told you, there's --
20 there's another mathematical formula which we use for
21 pieces. So in other words, let's say the car splits in
22 two, like it did in this case. You can theoretically do a
23 momentum problem on each -- each one of those. The problem
24 I had in this case was, was that none of the mathematical
25 calculations came out correctly; none of them.

1 Q. How did you know that?

2 A. Because every time I went back to recheck them it
3 came out the numbers, the impulse data, and momentum data
4 did not match. In other words, as I indicated to the jury
5 before, as I'm sure you're aware, the amount of force going
6 into an object is equal coming out. Now obviously after it
7 comes out it dissipates, but the same amount of force that
8 goes into it is -- is going to come out. So in other
9 words, same thing as if you shot a bullet, that bullet will
10 go until it runs out of energy and fall. But as long as
11 that bullets going on a trajectory it's got -- you know,
12 it's dissipating energy each time it goes, but the bullet
13 is still going in a straight line till it runs out and
14 falls.

15 Q. And this is kind of based off the basic premise
16 that energy is neither created nor destroyed, it just kind
17 of --

18 A. That's right.

19 Q. All right.

20 A. That's right.

21 So -- so what you have to look for is -- in other
22 words, what I'm looking for is (inaudible) how did the cars
23 hit? Were they angled? Were they moved a little bit?
24 What was the exact pattern? Now, the -- the great thing
25 about physics is that wherever your starting point is could

1 be wherever it wants to be. If I wanna start a physics
2 problem from here I can, or here, it doesn't matter, as
3 long as I start it at zero. It doesn't matter engineering
4 wise where I start. So, one of the vehicles are always
5 gonna be in a heading of zero. So I can turn it whatever
6 way I want, I can -- so, one vehicle is zero, then the
7 other one is either 90 or 89, or 60, or whatever it may be.
8 So the problem I was having with the separation of the
9 pieces work is that it never gave me a momentum balance
10 where I could come back and say, okay, this is correct
11 because each piece takes a certain amount of energy to go
12 off. It never came out right. It was off 30 percent, 40
13 percent, 50 percent. I teach my students you should be
14 within three percent of -- of the amount of momentum going
15 in and out. So you should be pretty much there. But
16 there's other factors you look at, such as, okay, how far
17 did they go after impact? Well, the main impact in this
18 case would be the (inaudible) vehicles, which is the
19 Oldsmobile, traveling in a zero direction. We know from
20 the police report that that vehicle ended up, I think it
21 was 219 feet from the point of collision to the point of
22 rest. So that gives me another point. I can say, okay, we
23 know the vehicle's here, the police measured it here, so
24 I'm going with that measurement. The pictures seem to
25 confirm that. So now how much energy does it take,

1 assuming there's probably some break (inaudible), to get to
2 that point? Well, the problem I had with the initial
3 separations was it had all of the speeds, you know, could
4 be 75, 85, 90, but the problem was the vehicle should go
5 much farther than the 219 feet. That's -- which is two
6 thirds of a football field. So that didn't come out. My
7 momentum balance didn't come out either. So I decided,
8 okay, let's go back to the old system and figure out what's
9 important as to point of impact, where the two vehicles
10 first meet. Cause we know, obviously, the Honda's intact.
11 We know the Oldsmobile was intact. So the next thing we
12 have to figure out is how did they depart? Well, the
13 initial collision phase is simple -- pretty simple. We
14 have a vehicle that's going, I'd say in a northerly
15 direction, it's going to turn. We have another vehicle
16 which goes in an easterly direction, which is pretty much
17 straight. So now we have some calculations for what's
18 called impulse and vector sum, which is basically just what
19 happened to the vehicles afterwards, after impact? So now
20 when I got through my speed velocity my program basically
21 tells me I'm on track. The problem was I kept getting --
22 until I got to this point I kept getting error, error,
23 error, error, error. I kept getting all that information.
24 So when I ran the numbers, went, okay, let's find out where
25 the cars ended up at, let's go back and check that, let's

1 figure out what the momentum balance is between the two
2 vehicles. My program actually tells me -- you know, that's
3 why I use the program, because it tells me if I've made an
4 error. So I go back and figure out the difference is 11,
5 and the difference here is 15, which means I'm off .99
6 percent; point. So I'm off less than one percent. And
7 here I'm off roughly a percent. So if it's 3 percent or
8 less, and generally you get 2 percent, 2.5 percent, you
9 know, something like that. My calculations with all of the
10 separation and everything else was off 30 percent and 40
11 percent. So I knew somewhere something had to be wrong.
12 But I figured, okay, we'll finish this up. Let's see what
13 else I have. Well, now we have the vehicle going 219 feet.
14 So if we have some breaking, some friction, because
15 obviously when you hit something you're gonna probably
16 break in most cases. There's no indication that this
17 vehicle left the scene or was trying to leave the scene.
18 It got in a collision and ended up 219 feet away. So if I
19 use that, figuring out that from the point of impact to the
20 point of final rest, how much distance would it take to
21 stop -- you know, what the speed would be to stop in that
22 distance, and that comes out with 57. So now I know I'm
23 pretty much on the right track. I do it again and I say,
24 okay, well let's say maybe his break was fast, you got to
25 account some of the energy that's involved in the

1 collision, maybe 20 -- you know, count some of that because
2 it's a separation, so now you're at 54; so both of those
3 speeds are correct. Now here was one of the errors I did
4 before, which using the high drag factor, figuring out,
5 okay, if he's going 79 -- is what I got in another problem
6 -- well, -- or using I think one of the other exhibits I
7 read -- if he's going 79, well 219 feet, he's gotta be --
8 the drag factor of the roadway is off the chart. It's not
9 even possible. It's not even probable.

10 Q. And what is the drag factor?

11 A. The drag factor is the roadway coefficient. And
12 of course, it's a little bit different in this case because
13 I think we have a little bit different testimony, some
14 that's wet, some that's dry, some that it's just starting
15 to rain, some it's sprinkling, so -- but the bottom line is
16 I don't really care about the factor at this point. I only
17 care about the momentum problem with the energy. That's
18 what I'm looking at. So factors are something else you can
19 (inaudible). Now, but if I wanna add speed to the vehicle
20 I'll look at the factors, but I don't need to add speed, I
21 want the correct speed. I'm not interested in any other
22 speed. I'm interested at the speed at impact. That's what
23 I'm interested in. I don't care what was -- you know,
24 myself as an engineer, I don't care what happened
25 afterwards, or what happened before; just on the speed

1 related issue, I wanna know what happened at the moment of
2 impact. That's what I'm looking for. And of course then
3 that's the intersection. And I did wanna show you another
4 thing. Going on the police photos and measurements, this
5 would be -- I drew here a turn. There's one turn lane, as
6 you're aware; it's the left lane. There was a pickup truck
7 in that lane. And then there's the through lane, which
8 actually is obviously meant to go into that development. I
9 don't know if the development's active or not, but that's
10 where it's meant to go. So this would be a normal turn
11 pattern that a vehicle would make if you wanted to stay in
12 that lane, you decided to go over to the left, you went
13 into the curb lane, that would be where (inaudible) would
14 be. The point of impact was a little bit to the right of
15 that line, over in here, which tells me that the Honda was
16 straight at that time. It had not yet started its left
17 turn. And I think the witness who was in this left turn
18 lane had indicated in his testimony that he wasn't quite
19 sure if the car was gonna turn, or, you know, cut him off,
20 or he was gonna cut off, he wasn't quite sure so he wanted
21 to kind of stay back a little bit. And he said he was only
22 going about 5 miles an hour. So, obviously the Honda got
23 out in front of him, and I had that speed at about 8 miles
24 an hour -- 8 to 9 miles an hour.

25 Q. Okay. So you have the Honda actually going a

1 little bit wide at the -- the angle that you have here
2 because there was a vehicle in the actual turn lane that
3 was still traveling forward?

4 A. Well, right, because you can't -- because this
5 lane obviously is designated specifically as a left turn
6 lane. In other words, if there's no other --
7 theoretically, a vehicle in this left turn lane could
8 really turn into any one of those lanes. I mean, you're
9 supposed to turn into the lane closest to the median or
10 curb depending on your lane. But since it's one lane, this
11 would be a design -- what's called a design turn lane. The
12 people at this point designing the roadway would decide,
13 okay, you can turn into any one of these lanes you want. I
14 mean they wouldn't really care as an engineer. Now if this
15 lane specifically was a designated turn lane, both of them,
16 that's where you get the dots that you see on the road when
17 you make a turn so you have to stay in your lane. So then
18 you would be designated into a lane. But theoretically
19 this witness could really have turned anywhere he wanted to
20 in that -- that westbound lane of traffic, if that's what
21 he wished to do. But apparently he was aware that vehicles
22 may come over from that straight lane, so he was staying
23 back a little bit and keeping, I guess, watch, so to
24 speak, was his testimony.

25 Q. Well, and just looking at this, I mean, it's clear

1 | that if a vehicle is going straight in this area, if this
2 | vehicle's turning here it's gonna get t-boned by that
3 | vehicle, right?

4 | A. Well, yeah. I mean you'd have to kind of watch,
5 | you know, and I think that's what the witness was doing.
6 | He was theoretically watching to make sure -- you know, he
7 | wasn't really looking to the left, so to speak. He was
8 | kind of watching the vehicle to his right, which, you know,
9 | I guess you could look at however you want. But, I mean,
10 | theoretically when you -- normally when you pull out of
11 | there you'd be looking to your left, but he was kind of
12 | watching to the right cause he wasn't sure what that Honda
13 | was gonna do. He backed off a little bit, the Honda went
14 | in front, and that's unfortunately when the accident
15 | happened.

16 | Q. Okay. So moving back, you have the -- after doing
17 | all of the equations and the math and checking your work,
18 | what is the speed that you have Michael Opsincs vehicle
19 | going at the time of impact?

20 | A. I can show it here better. Let me just get that
21 | screen back.

22 | At the moment of impact I have him going 55.9.

23 | Q. Okay.

24 | A. And the Cooper vehicle at 8.6. So you could
25 | probably safely say, if you wanted to round -- I would say

1 | you always up, so it would be 56 and 9, really. I mean
2 | that -- and those are what's known as exact speeds. And as
3 | I indicated, the impulse data supports that. No other data
4 | I've seen in this case -- no other data in this case showed
5 | me an impulse or a moment in or out that's even
6 | theoretically close to what it could be. And sometimes
7 | that happens on a separation. Sometimes you can be
8 | accurate, and sometimes not, but the purpose to going back
9 | and checking everything is to say, you know, this is what
10 | you wanna do. Now is a -- you know, is a 55 mile an hour
11 | collision enough to separate the car? Absolutely. You
12 | know, (inaudible) probably 45, 46, if it hits you in a
13 | designated spot. And this, unfortunately, the way the
14 | collision happened, it just hit exactly in that -- in that
15 | part of the car that cause the (inaudible) body, separation
16 | to occur at that point.

17 | Q. And cars aren't designed to be hit from that --
18 | from the side --

19 | A. No, actually side impacts, which are -- you know,
20 | people look at me all the time, a car is only designed to
21 | withstand 2 to 4 mile an hour impact in the door. That's
22 | what they're designed for. That's a federal standard.
23 | Obviously some companies go beyond that, you know.
24 | Obviously -- even so, if you take a, you know, 15 or 20
25 | mile an hour impact, you know, problem is there's only so

1 much you can do to the doors of those cars. Otherwise
2 you'd be trying to open a tank door every time you get in.
3 You just -- you wouldn't be able to open the car door if it
4 was (inaudible). So you have to structure your body seams
5 to where you're hoping that collisions occur somewhere
6 along the body, just like the front of the motor, now your
7 cars meant to drop, and those kind of things. So you try
8 to design the car the best way you can to -- to absorb as
9 much of the impact as you can.

10 Q. All right. Well, your speed is significantly
11 different than that of the State. Did you receive the
12 materials that were supplied by the State in this case --

13 A. Yes.

14 Q. -- as far as the calculations?

15 A. Yes.

16 Q. Okay. Can you explain how yours -- your equation
17 and your calculation is different from the State's?

18 A. Well, I think -- I got something yesterday and I
19 think it took the speed from 60-something, and you probably
20 know the number, to 80-something. And I believe that it
21 was because there was a pre-braking involved which added 15
22 or 18 miles an hour on -- but I think there was a statement
23 about -- where Opsincs said he possibly braked or something
24 before impact. So now his speed is in the 80's. Well,
25 there was no pre-braking. There's no evidence of pre-

1 | braking. There's no photographs of pre-braking. There's
2 | no testimony from any witness of pre-braking. As I -- as I
3 | told the jury at the start, whether the witness says
4 | there's no -- no braking, or whether they say they're
5 | braking, I as an engineer am looking for that evidence.
6 | There is no evidence of braking.

7 | Q. And physical evidence is what we're talking --

8 | A. There's no physical -- right. I mean obviously
9 | the jury's the one that determines, you know, who says
10 | what, and that's not my bailiwick. The Court handles that.
11 | But as far as physical evidence is what I look for. Is
12 | there physical evidence on the photographs, or the roadway,
13 | or the police report, and the answer is no. The officer
14 | didn't mention that. There's no pre-braking in the
15 | photographs. There's no indication anywhere that there was
16 | braking involved. So, you know, in other words that's like
17 | saying, well, okay, if a witness said there's two seconds
18 | of braking before impact, now the speed goes to 110. Well,
19 | there's no mathematical basis for that. So a witness can
20 | say, you know, something, or a driver, but I still have to
21 | go with what I see. Well obviously it's not 110, and
22 | obviously it's not 80-some miles per hour because there is
23 | no pre-braking. So, there is no pre-braking before impact
24 | that I could see anywhere in the physical evidence. So
25 | adding on -- that's where the speed gets added on. And

1 | secondly, I believe there was also something I saw -- I
2 | also believe that -- I saw -- saw something, I don't
3 | remember if it was the police or whoever, I'm not sure, but
4 | there was something about that the Honda was on a 20 degree
5 | angle.

6 | Q. Well let's talk about the 20 degree angle then.

7 | A. Okay.

8 | Q. What's your opinion about that?

9 | A. Well it's not. The photographs show us that there
10 | wasn't a 20 degree angle. The final rest position shows
11 | there was no angle. The measurements that the police have
12 | indicate roughly where the point in collision was in the
13 | roadway. And unfortunately we don't have a great photo
14 | from all the way back. We kind of have the police
15 | centering on the photo. So we have to kind of go by their
16 | measurements of where they seen this occur at, and -- and
17 | try to plot it based on that information. But, in other
18 | words, the car theoretically -- if -- if, in other words,
19 | the car was at a 20 degree angle -- I mean 20 degrees is
20 | pretty -- 20 degrees is like this. So that would mean the
21 | car, if it's at 20 degrees, would have cut the truck off
22 | and been in the trucks lane. Well it didn't cut the truck
23 | off because there's no testimony, I don't think. The
24 | driver didn't indicate that of the pickup. So the car is
25 | not in the other lane. The car is probably trying to make

1 the left turn, so he's gonna go straight and he's gonna
2 make that -- you know, he's gonna try to go out far and
3 make that left turn. So he is gonna make the left.
4 There's no -- there's -- you know, that's my understanding.
5 But there's no evidence to say that the gentleman in the
6 Honda was cutting off the pickup. I don't think he was
7 doing that. There's no evidence of that. So if he's at a
8 20 degree angle at impact that means he's over in this lane
9 and he's over -- he's -- he's turned into that lane. So,
10 if you take that and you take the angle, which I think I
11 had 89 degrees, the car. If take the angle of 20 and add
12 that on, degrees, that takes the speed from like 56 or 57
13 to 66, 68, somewhere in that ballpark.

14 Q. So it adds speed?

15 A. It adds speed, correct.

16 Q. All right.

17 A. So -- so, but the point is, is that it doesn't
18 make sense as to where it occurred. Now the -- if the
19 witness said that, well, you know, I had to stop because he
20 was coming over and cutting me off, then obviously there
21 would be more of an angle, and I could look at that and
22 say, well, maybe you're right. You know, maybe if the car
23 did cut you off it's a little bit closer. But I mean it's
24 a substantial impact. You know, 55 is a substantial
25 impact, so I'm not trying to tell you it's not. It's a

1 substantial impact. So -- but what I'm trying to say is is
2 that I don't believe the car came over and -- the Honda
3 came over and cut off the pickup. I don't think that
4 happened.

5 Q. Okay. Now, we have kind of the cause of the
6 accident, which is the red light (inaudible).

7 A. That's right. From my understanding the light was
8 red, yes.

9 Q. Okay.

10 A. I don't dispute that.

11 Q. Are there other factors though? I mean we have
12 the cause, but we also have factors that played into this
13 accident, is that correct?

14 A. Sure.

15 Q. Okay. Can you explain other factors that may have
16 played into this accident?

17 A. Well, you know, the other factors as I indicated,
18 is the turn. I mean, you know, unfortunately that lane was
19 meant to go straight, not to turn. You know, I can't
20 speculate as to what somebody would have seen or not seen
21 at any point in time. And I wouldn't try to do that. But
22 I think that, you know, the -- the truck obviously was
23 somewhat blocking the Honda cause it's higher. So there
24 should be no dispute in that. But obviously the truck had
25 slowed down, was keeping his attention to the right, so

1 | that -- that's kind of a factor. A factor is is that --
2 | and again, these are engineering factors that are not, you
3 | know, maybe related to what you guys are dealing with --
4 | but obviously this lane was meant to go straight. And
5 | that's what it's meant for. It's meant to go straight;
6 | it's not meant to turn. So the fact that the vehicle
7 | actually got out in front of the pickup, and the pickup had
8 | his attention to the right, it's certainly not an excuse
9 | for anything. I'm just saying that normally you look to
10 | the left before you pull out. The light turns, you look
11 | left, (inaudible) you pull forward. So those are all some
12 | contributions as well. And as I indicated, this -- this
13 | lane is kind of -- the main thing, I mean, you know, had
14 | the car been behind -- again, I can't say if the impact
15 | would have occurred or not. I don't know, and I'm not
16 | gonna speculate. But all I can say is this lane was meant
17 | to be a through lane and that's what it's designed for.

18 | Q. Okay. And the speed limit in this area was 50
19 | miles an hour?

20 | A. Right.

21 | Q. Okay. Now, I don't think we went over how you --
22 | in calculating the speed of Michael Opsincs vehicle, you
23 | did not use the two sections of the Honda.

24 | A. Right.

25 | Q. Can you explain that?

1 A. I -- I think I -- I tried to explain that a little
2 bit. We kind of cut a little bit. But basically when you
3 have a vehicle that separates, you have things going
4 different ways. It'd be like saying, like, if, okay, I
5 have a headlight explode and I have, you know, ten pieces
6 of glass. And now I have to try to figure out a speed
7 because I have ten pieces of glass all through here. Well,
8 it may give me an average speed, it may give me similar
9 speed, or it may not give me anything. I don't know. The
10 problem is when -- you know, most accidents occur in about
11 40 milliseconds. In fact, almost -- and they go from 40 --
12 I say 40 -- 40 to 100 milliseconds is usually -- like if
13 you download a computer in a car, almost all of the cars
14 stop calculating, you know, the accident in 100
15 milliseconds. And the answer -- the answer is why, because
16 the accident's over. An airbag deploys in about 10
17 milliseconds. There's a 1000 milliseconds in a second.
18 That's how quick it is. So your airbag, before you even
19 start moving forward towards the steering wheel in an
20 accident, the airbag is already popping. That's how quick
21 it is. So, the whole scenario, hit and over, in, you know,
22 40, 50, 60 milliseconds.

23 Q. What is 40 milli- -- I mean, can you -- you
24 describe --

25 A. I can't even tell you how quick it is.

1 Q. Blink of the eye?

2 A. Yeah, less than the blink of an eye.

3 Q. Less than the blink, okay.

4 A. I mean that's how quick it is. So that's normally
5 your accident scenario. What happens in a case like this
6 is when the spits the vehicles stay together longer than
7 normal because they didn't hit and spin off. They hit and
8 as the vehicle began to separate itself, you know, you have
9 -- you have the Oldsmobile making contact. And now there's
10 some sideways push a little bit, obviously. So you have a
11 little bit of sideways push, and now you have a vehicle
12 begin to separate and start coming apart, as you saw in the
13 picture in those two sections. So as that vehicle
14 separates it stays together longer. So that's kind of what
15 affects your momentum calculations and why when I showed
16 you that screen before of the -- the, you know, one percent
17 differential, that's what happens because the longer you
18 stay together the more inaccurate your formulas becoming
19 because the formula is based on the amount of energy at
20 impact and the amount of energy going out immediately after
21 impact. If you stay together, or let's say a section of
22 the car hangs on a little bit, or your car drags, or
23 someone drives to find the rest, then the formula is
24 totally compromised because the -- the formula is not meant
25 to -- to handle those kind of situations. So you have to

1 kind of go back and figure other things out. So when you -
2 - when you run the calculation you come up with the 60's --
3 or mid 60's, or 70's, or 80's, you -- you realize that
4 there's no basis for that. So you have to go back to what
5 I call the old traditional method and say, okay, let's
6 start from scratch. Let's start from the old way and
7 figure out what we think happened based on approach and
8 departure angles and see what we get. So that's the way --
9 way I did it in this case. So I went back and I
10 mathematically put it back together and said, okay, this
11 seems to work, now let me check my other calculations with
12 my impulse and my momentum data and find out if that backs
13 it up; and it did. So then I knew we were on the right
14 track.

15 Q. Okay. And all these calculations and everything,
16 you talked about teaching. You teach this, right?

17 A. Yes.

18 Q. And you also -- you certify others --

19 A. Yes, that's correct.

20 Q. -- to do this?

21 What's -- is there a difference between teaching and
22 certifying?

23 A. Yes. Most -- most officers get -- depending on
24 the instructor, get certificates of attendance. There's
25 probably not very few -- there's probably very few that are

1 | actually certified. So the difference is is that
2 | individuals that teach certified classes, those officers
3 | come out with -- with certificates that they actually
4 | certified in that particular field. We certify them all
5 | the way up through accident reconstruction. And of course
6 | it's up to the courts obviously to make an individual --
7 | whether they can testify or not, but we certify them with
8 | the proper, you know, school, and (inaudible), and those
9 | kind of things. So there's a difference. So out of every,
10 | let's say, 500 officers that do reconstruction -- I don't
11 | even know if there's that many right now in Florida -- but
12 | out of the 500 that do it there might be 35, 40 that, you
13 | know, have certificates that have accomplished that class.
14 | Something like that.

15 | Q. You also -- are you -- you're a radar instructor?

16 | A. Well I was, yeah.

17 | Q. You were?

18 | A. Yeah. I taught -- I don't teach it anymore, but I
19 | was, yeah.

20 | Q. So you would teach law enforcement how to operate
21 | a radar?

22 | A. Right, sure.

23 | Q. Okay. So and also in your civil experience you
24 | have an extensive type of history with judging speeds?

25 | A. Yes.

1 Q. And in your radar classes, you also -- you know,
2 you teach officers how to get a good accurate estimation of
3 speed?

4 A. Yes.

5 Q. Because the officers have to visually estimate
6 speed?

7 A. Yes. And -- in other words, yeah, the officers,
8 before they write up a radar ticket, they (inaudible) -- I
9 think most people think they just look at the radar and it
10 tells them. Well actually the radar is supposed to be the
11 last thing they look at. In other words, the radar is
12 confirmatory, which means that the officer is supposed to
13 judge the speed of the car, estimate the speed of the car,
14 identify the car, and then he looks at the radar. And then
15 that would be confirmatory. And -- and most guys get
16 pretty good at it. I mean --

17 Q. Well it's kind of guessing and checking, right? I
18 mean you see a vehicle, --

19 A. Yes.

20 Q. -- then you see what the radar says, and then you
21 can --

22 A. Right.

23 Q. -- get better at it?

24 A. That's right. And most guys get pretty good at
25 it. I mean you see -- see officers running radar, they're

1 usually pretty -- pretty good. I mean the ones who pass
2 the classes are usually pretty good at it. You can -- I
3 can say out of 50 cars they'd probably have 49 pretty close
4 to being right on the button.

5 Q. Well from that, I -- you would know, I guess, you
6 know, what's important in getting an accurate visual
7 estimation of speed?

8 A. Right.

9 Q. All right. Can you -- can you talk about some
10 things that would be important in getting an accurate
11 estimation of speed, such as would, I guess, distance from
12 the object, that affect --

13 A. Well, yeah, distance affects it. Obviously
14 there's a lot of things they can -- when I say average
15 person, an untrained person would probably not know. In
16 other words, sound could be an indicator. You see -- you
17 hear a motorcycle coming, you think it's going 100 miles an
18 hour, but it's going 40 because, you know, it's a bike and
19 those kind of things. It's pretty hard to estimate, for
20 most people, speed and time. And the fact is is that
21 nighttime, you know, late afternoons -- in fact you
22 probably don't see many officers run a radar at night
23 because they have to see the car and at night it's hard to
24 visually estimate the speed of a car at night, so most of
25 them do it in the daytime. You also have the (inaudible)

1 the object itself. I mean sometimes a semi looks like it's
2 going faster than it really is. Sometimes a small car
3 looks like it's going slower than it really is. So, I
4 mean, it's -- it's -- it's a pretty tough challenging field
5 unless you're an expert, or you have that training to know
6 how fast a car is going. So judgment -- I know people
7 drive and, you know, you kind of know what your speed is in
8 your car, but to judge someone else's speed, especially if
9 you're moving, looking in a mirror, something like that,
10 it's pretty difficult.

11 Q. Okay. You said moving officers. There's a reason
12 they sit stationary when they operate radar. Is that
13 partially because they -- you know, sitting still improves
14 your accuracy?

15 A. Well, yeah. I mean most -- most officers do. I
16 mean there is moving radar obviously, and -- and, you know,
17 officers can -- can get trained in that as well to identify
18 objects coming at them or going away, but it's a little bit
19 -- it's difficult to do. I mean it's -- you have to --
20 there's a special set of training, even for moving
21 officers. Some of them have the radar in back where a car
22 would be coming up on them. It's pretty tough to figure
23 out how fast a car is coming up. You know it's coming up
24 on you, you know it's going faster than you are, but to
25 judge the speed is kind of --

1 Q. All right. And -- and if you're going in one
2 direction and the vehicle's going in another direction,
3 that makes it even harder to estimate speed, --

4 A. Yeah.

5 Q. -- is that correct?

6 A. It's difficult, yes.

7 Q. Okay. Now, in your -- I know in a lot of your
8 civil cases you actually will wreck cars on purpose.

9 A. Yeah, right.

10 Q. You go to a race track and basically ram the car
11 into something?

12 A. We do.

13 Q. So you've seen quite a number of accidents
14 actually happen?

15 A. Yes, I've driven somewhere in the neighborhood of
16 50 myself on staged collisions. And I've witnessed maybe
17 150, 60, somewhere in there. But, yes, I've been involved
18 in a lot of staged collisions for either classes or, you
19 know, civil cases that -- that -- some cases actually are
20 big enough that we buy a car and we crash it, you know. So
21 there are cases like that.

22 Q. When you -- when you see a vehicle hit another
23 vehicle, that's a -- it's a pretty amazing thing to see.

24 A. Yeah. Yeah. It's -- most of our -- no, the
25 crashes I do, I generally do not go over 30 miles an hour.

1 | There are some guys that do it more. But to hit a
2 | stationary object at 30 is pretty amazing. It's pretty
3 | fast.

4 | Q. And even that occurs in the blink of an eye?

5 | A. In the blink of an eye, yeah.

6 | Q. All right.

7 | MR. SHOWE: If I may have one moment, Judge?

8 | THE COURT: Okay.

9 | MR. SHOWE: Did I miss anything, Mr. Swope?

10 | RICK SWOPE: I don't think so.

11 | MR. SHOWE: All right.

12 | I have no further questions, Judge.

13 | THE COURT: All right, folks, we're gonna take a
14 | bit of a break, about a five minute break; give you a
15 | chance to use the facilities, and get some coffee, and
16 | we'll be back at 2:15. Please don't discuss the case among
17 | yourselves until you've heard all of the evidence. Thanks.
18 | (Jury out at 2:11 PM)

19 | THE COURT: All right, thanks.

20 | The jurors have departed. We'll be in recess for
21 | about five minutes.

22 | (Recess at 2:11 PM, until 2:16 PM)

23 | THE COURT: Okay, we're back on the record. Case
24 | number 11-114-CFA, State of Florida versus Michael Opsincs.
25 | Mr. Opsincs is present, his attorneys are present, the

1 State's present. And we're ready to bring our jurors back
2 in.

3 (Private discussion between counsel.)

4 THE BAILIFF: Bring them in, Judge, or?

5 THE COURT: Are we ready to go?

6 MR. LINN: I'm sorry, Your Honor. I didn't -- I
7 thought this was still on.

8 THE COURT: Okay. I'll wait until the AV units
9 are up and running.

10 (Discussion not regarding trial.)

11 THE COURT: Okay, we're ready to proceed.

12 (Jury in at 2:18 PM)

13 THE COURT: All right, thanks. You all can have
14 a seat.

15 And, we're ready to proceed?

16 MR. LINN: Mr. Swope, I apologize, these are kind
17 of funny courtrooms. Your witness stands over there.

18 THE COURT: Normally in most courtrooms it would
19 be right there, but actually it's over here.

20 RICK SWOPE: So you want me to move?

21 THE COURT: Yeah, if you would.

22 MR. LINN: I'd appreciate that. I feel like I'm
23 cross examining the clerk right there.

24 RICK SWOPE: I kind of liked it over here
25 actually.

1 THE COURT: Well in 80 percent of our courthouses
2 around the state that's exactly where it is. We had a
3 reconfiguration of the Martin County Courthouse a number of
4 years ago because of some mold issues and so they did that.

5 All right, sir, you're still under oath.

6 Whenever you're ready, sir?

7 CROSS EXAMINATION

8 BY MR. LINN:

9 Q. Mr. Swope, you have accepted the measurements that
10 Florida Highway Patrol made in this case, correct?

11 A. Yes, I think pretty much all of them. I don't
12 recall any I didn't. But, yes.

13 Q. Okay. And you accept those measurements as
14 accurate?

15 A. Right.

16 Q. And you used those measurements in your analysis
17 of this case?

18 A. Yes.

19 Q. You also accept the proposition that the defendant
20 in this case ran the red light?

21 A. Yes.

22 Q. And in the process you analyzed witness statements
23 in this case?

24 A. Yes sir.

25 Q. And everybody in this case, other than one

1 individual involved in the case, said the light was green
2 for Mr. Cooper and red for the defendant?

3 A. Yes I accept that.

4 Q. Okay. You also went out on scene and you
5 inspected the traffic light out at the intersection of
6 Pomeroy and Kanner?

7 A. Yes.

8 Q. You noted that there were no anomalies in the
9 traffic lights.

10 A. Yes sir.

11 Q. Did you time this traffic light's cycles?

12 A. I think I did, but it wasn't something I -- I just
13 looked at the gap, which I think was like 1.5 seconds.

14 Q. Okay.

15 A. Which means that's an all red, but I don't think I
16 did anything beyond that.

17 Q. Okay. But that was at the time you -- you -- when
18 you went in did that inspection that was a couple years
19 after, or a year after the crash at least?

20 A. Yes.

21 Q. Did you go to Martin County and get any of the
22 data about the timing of the traffic lights at the time,
23 September 29, 2010?

24 A. I didn't, but I think my office called to confirm
25 the gap time.

1 Q. Okay.

2 A. As I recall I believe my daughter called to check
3 on the gap time. But I didn't check on any other things
4 like, you know, lengths of time. I didn't think I needed
5 that based on just what the witnesses said.

6 Q. Okay. So, with the gap time, or the all red time,
7 --

8 A. Right.

9 Q. -- as we've used, that was a second and a half for
10 you?

11 A. I believe -- I believe it was, yes sir.

12 Q. Okay. And you also have the Cooper's vehicle
13 traveling at 8 miles an hour at the point of impact,
14 correct?

15 A. Yes.

16 Q. And we did a deposition, right?

17 A. Right.

18 Q. And you handed over documents to me?

19 A. Right.

20 Q. And in fact you were able to calculate the time it
21 would take the Cooper's -- Mr. Cooper to travel the 70 feet
22 into the intersection before the collision, correct?

23 A. Right.

24 Right.

25 Q. And that calculation that you came up with was

1 | about six and a half seconds?

2 | A. That's right.

3 | Q. Okay.

4 | A. Correct.

5 | Q. Now, with the Cooper's car traveling six and a
6 | half seconds, that was under green?

7 | A. Yes.

8 | Q. Okay. Let's assume for the sake of a hypothetical
9 | that the all red was one and a half seconds.

10 | A. Right.

11 | Q. Okay. That -- we would add the one and a half
12 | seconds of all red --

13 | A. Right.

14 | Q. -- to the six and a half to come up with 8?

15 | A. Right.

16 | Q. Eight seconds?

17 | A. Right.

18 | Q. All right. Now, we've also agreed on the fact
19 | that there is a driver reaction time of about a second and
20 | a half.

21 | A. Right.

22 | Q. Okay. So, we've got the all red of a second and a
23 | half, then we add on the reaction time Mr. Cooper had of a
24 | second and a half, that's three seconds, correct?

25 | A. Well I don't know what you mean by reaction time.

1 Q. The reaction time meaning the driver perceives the
2 light change, changes his foot off the brake to the pedal.

3 A. Oh, I thought you were talking about braking. Not
4 - not the delay? You mean from when he sees a response of
5 the light?

6 Q. Right.

7 A. Okay, yeah, it could be a second to a second and a
8 half, right.

9 Q. Okay. A second is a -- let's -- let's give Mr.
10 Opsincs the benefit and say a second.

11 A. Okay.

12 Q. Okay, so one and a half seconds of all red, plus
13 the one second it would take Mr. Cooper to take his foot
14 off the brake and put it on the gas?

15 A. Right.

16 Q. Okay. And then we travel -- that's two and a half
17 seconds.

18 A. Right.

19 Q. And then we travel six and a half seconds out into
20 the intersection?

21 A. That's right.

22 Q. Okay. And that -- so you add the two and a half
23 to the six and a half, and fortunately I'm practiced in
24 adding, that's nine seconds, correct?

25 A. Right.

1 Yep.

2 Q. So the light was red for nine seconds before that
3 man went through the intersection?

4 A. That would be a maximum time, but you're right.

5 Q. Okay.

6 A. I mean based on that calculation and those
7 figures, yes.

8 Q. Okay. Well, we also know from Mr. Opsincs
9 statement that he said the light was green, correct?

10 A. Right.

11 Q. So that means that the last time he -- let's
12 assume that he was telling the truth at the time.

13 A. Right.

14 Q. That the last time he saw the light it was green.

15 A. Right.

16 Q. That means the light would have had to turn from
17 green to yellow.

18 A. Right.

19 Q. And do you have any idea what that cycle was? How
20 long the light was yellow?

21 A. Four seconds.

22 Q. Okay. From green to yellow, for four seconds, and
23 then we add that on to the nine seconds that we've got.

24 A. Right.

25 Q. That's 13 seconds.

1 A. Yes.

2 Q. How far would this man -- under your calculation
3 of 55 miles an hour, how many feet would this man have
4 traveled?

5 A. That you'll have to give me a second on.

6 Q. Yes sir.

7 A. In nine seconds that would be 720 feet.

8 Q. Okay. Can you give it to me in 13 seconds?

9 A. 1048 feet.

10 Q. Okay. And roughly about how far of a mile is
11 that, percentage of a mile?

12 A. Well it's 528 feet every tenth of a mile.

13 Q. Okay.

14 A. So two tenths of a mile.

15 Q. Two tenths of a mile --

16 A. Right. Under the 13 second scenario; right.

17 Q. Okay. Under the way we just did that calculation,
18 two tenths of a mile that man drove without looking at the
19 light?

20 A. Right. If that -- if that's all correct, yes sir.

21 Q. Okay. Well, if you could step down, Mr. Swope?

22 A. I --

23 THE COURT: (Inaudible).

24 BY MR. LINN:

25 Q. If you could step down here?

1 A. Oh, I thought you said sit down.

2 Where do you want me to be?

3 Q. Right there is good.

4 A. Sure.

5 Q. You'd agree this is a document you prepared?

6 A. Yes.

7 Q. Okay.

8 A. I did.

9 Q. And your calculation has six and a half seconds
10 right there?

11 A. That's right.

12 Q. Okay.

13 MR. LINN: Does Defense have any objection to me
14 moving this into evidence?

15 MR. SHOVE: No.

16 THE COURT: As?

17 MR. LINN: (Inaudible).

18 THE COURT: State's next numbered exhibit?

19 MR. LINN: Yes sir, it's the State's next
20 numbered exhibit.

21 THE CLERK: 122.

22 THE COURT: State's 122 is introduced into
23 evidence.

24 (State's Exhibit 122 marked and received in evidence.)

25 BY MR. LINN:

1 Q. Now, you also did a diagram for me of the scene
2 for the deposition. It was the Exhibit 4. I'm gonna show
3 it to you.

4 Does that look familiar to you?

5 A. Yes sir. Correct.

6 Q. All right. Now, --

7 Okay, now, this was an overall intersection picture
8 that you took, correct?

9 A. Well it's a Google picture. I got it from Google.

10 Q. Right. And I'm sorry, I guess you don't take
11 pictures from satellites. So it's a Google picture.

12 THE COURT: It might be the NSA.

13 BY MR. LINN:

14 Q. And I don't recall you saying you taught at the
15 NSA, so.

16 A. No.

17 Q. All right. So, and this is the line you broke
18 through?

19 A. Right.

20 Q. And that was the line on the other exhibit that
21 you had with Mr. Showe?

22 A. Yeah, exactly.

23 Q. And then you also drew this line?

24 A. Yes.

25 Q. And this line represented the Opsincs?

1 A. Correct.

2 Q. And you'd agree with me that this angle right here
3 is not a 90 degree angle?

4 A. Absolutely it's not.

5 Q. Okay.

6 A. No.

7 MR. LINN: Any objection to me moving this into
8 evidence?

9 MR. SHOVE: No objection.

10 THE COURT: Without objection it will submitted
11 into evidence as State's 123.

12 (State's Exhibit 123 marked and received in evidence.)

13 THE CLERK: 123, Judge.

14 THE COURT: 123.

15 MR. LINN: Okay.

16 BY MR. LINN:

17 Q. Now, you were also a law enforcement officer,
18 correct?

19 A. Yes sir.

20 Q. And in -- and in law enforcement office -- as a
21 law enforcement officer you investigated crashes?

22 A. Yes sir.

23 Q. And you also had to determine who the at fault
24 driver was in a car crash?

25 A. Yes sir.

1 Q. Okay. And in all those investigations that you
2 did as a law enforcement officer, have you -- did you ever
3 hold the person that obeyed the green light at fault when
4 they were hit by someone that ran a red light?

5 A. Wow, I don't know.

6 Q. Okay.

7 A. I -- I -- I really don't know how to answer that.
8 Probab- -- you know, I don't know if I ever charged anybody
9 for that. I would say probably not. But again, anything
10 involving a death, as you're aware, I would have taken to
11 the State and they would have made the determination. You
12 know, I mean that's the way it was when I was an officer.
13 Like, your -- your office, you would have told me what
14 charges to charge.

15 Q. Okay. So, let me get this straight, as a law
16 enforcement officer have you ever assessed -- have you ever
17 found -- I'm not talking about a fatality.

18 A. Okay.

19 Q. Okay? I'm talking about when you had to say this
20 is the at fault driver in the car crash, had the person
21 that ran the red light, have you found a person that ran
22 the red light at fault before?

23 A. No. I don't think so.

24 Q. You've never? In all the car crashes that you've
25 had to do and assess fault, the person that ran the red

1 light, you've never assessed them as the at fault driver?

2 A. Oh, I'm sorry, assessed them? No, they would have
3 been at fault.

4 Q. Okay.

5 A. Yes. I'm sorry, I was confused by the way you
6 answered -- asked that.

7 Q. Okay.

8 A. But no, I would have charged the person that run
9 the red light, obviously.

10 Q. Okay. Now in this case, as part of your traffic
11 reconstruction, there's the technology of an electronic
12 data recorder?

13 A. Yes. Correct.

14 Q. And those are in cars -- the gross example is like
15 the black box in an airplane, they're in cars now?

16 A. Yes.

17 Q. Okay. And in this case did you look for the
18 electronic data recorder in the Oldsmobile?

19 A. Well we looked -- looked for it. I mean I looked
20 for it but obviously in checking it was not available to be
21 downloaded.

22 Q. Okay. So we don't have that data?

23 A. That's correct.

24 Q. So we gotta look at the physical evidence in the
25 case?

1 A. That's right.

2 Q. And we also gotta listen to what the witnesses
3 have to say in the case?

4 A. Well, again, I -- I try not to -- that's a -- for
5 me that's a tough question. You say we try to listen to
6 the witnesses. I review the witnesses, but that's up to
7 the jury and you to determine who's telling what. My job
8 is to look at physical evidence. So I try not to say who's
9 saying what.

10 Q. Okay. And sometimes -- let me ask you about that
11 cause you brought up that there is no physical evidence of
12 braking.

13 A. Right.

14 Q. Okay. Let me ask you this. Isn't it true that in
15 most cases when somebody applies the brake and stops do
16 they leave physical evidence behind?

17 A. Sure. On a panic stop like this would be, yes. I
18 mean if you brake to a normal stop, no. But if you -- if
19 you come up into a collision situation, you hit the brakes
20 and you squeal to a stop, or skid to a stop; absolutely
21 you're gonna leave marks.

22 Q. Okay. I didn't say panic stop. I'm saying all
23 the stops that are out there, most of them don't leave any
24 physical evidence of stopping.

25 A. No.

1 Q. Just a regular old rolling up to the stop sign,
2 press the brake, no skid marks, no nothing, no physical
3 evidence behind.

4 A. Sure.

5 Q. And you -- I know you've investigated car crashes
6 dealing with wet roads.

7 A. Sure.

8 Q. And isn't it true that it's -- that it is more
9 difficult to lay down rubber on a wet road than it is a dry
10 road?

11 A. To leave marks, yes, it is.

12 Q. All right, so it is possible in this case that the
13 defendant may have -- it's a hypothetical. It is possible
14 in a scenario like this where a person may have started
15 applying the brakes and not left any physical evidence
16 behind because they may not have panickly slammed on the
17 brakes, right?

18 A. No. I disagree. This is not the accident where
19 you would just kind of touch the brakes. This would be a
20 panic one when a car is in front of you and you're going at
21 55 miles an hour. And also none of the witnesses indicated
22 anything about braking. I know you talked about witnesses
23 earlier. There's nothing to indicate brake lights, there's
24 nothing to indicate -- and I think trained officers, by the
25 way, which these guys were, would have been able to see the

1 marks on the roadway.

2 Q. All right. Well, --

3 Now, you've mentioned that you try and stick with the
4 physical evidence.

5 A. Right.

6 Q. And now you've mentioned that all the other
7 witnesses said they didn't see any brakes. But there was
8 one witness that said he hit the brakes, right?

9 A. Yes.

10 Q. And that was Michael Opsincs in his statement.

11 A. Yes.

12 Q. Okay.

13 A. But he said he had a green light too.

14 Q. That's absolutely correct.

15 A. So.

16 Q. The last thing he said -- "The last thing I saw
17 was a green light".

18 A. Right.

19 Q. Okay. He also said he was going about 70 miles an
20 hour. And then he said 65, and then 60.

21 A. Yes.

22 Q. Okay.

23 A. Yes, you're right.

24 Q. Okay. But you said he was going 55 at the point
25 of impact, correct?

1 A. No. I'm saying that's what the physical evidence
2 shows. That's what I'm saying.

3 Q. Okay. And that's at the point of impact?

4 A. Yes.

5 Q. All right. Two tenths of a mile before that
6 impact he could have been going faster than that?

7 A. Absolutely.

8 Q. You also had the opportunity to review this
9 deposition of Dustin McRoberts?

10 A. Yes sir, I did.

11 Q. And you're aware that he also said that the
12 vehicle was going 70 to 75 miles an hour?

13 A. I'm -- I'm aware of that, yes sir.

14 Q. Okay. And you also saw the witness statement of
15 Steven Myer, correct?

16 A. Yes sir, I did.

17 Q. And you're also aware of the fact that he said
18 that vehicle was going 70 miles an hour?

19 A. Yes sir.

20 Q. Okay. You're also aware of the testimony that
21 Carl Luss gave of the speed of the defendant?

22 A. That speed I don't remember, but I remember he
23 gave a speed.

24 Q. Okay. Are you also aware that he was an engineer?

25 A. No.

1 Q. And he's also trained in the way that estimates
2 speed cause he's also a pilot.

3 A. I know he's a pilot, but I -- I didn't look at
4 that. I forgot what speed he said. I'm sure --

5 Q. But again, -- and Mr. Luss was further down the
6 road. He wasn't at the point of impact. So the defendant
7 could have been going that fast at that point and slowed
8 down?

9 A. Well, I don't -- right. Yeah I don't know what
10 speed he was going before impact, you're correct. I can't
11 tell you what he was going before impact. I can only tell
12 you from (inaudible).

13 Q. Now, you inspected the Honda in this case,
14 correct?

15 A. Yes sir.

16 Q. Okay but you didn't measure the crush in the
17 Honda, correct?

18 A. No. There -- well, the -- no crush to measure.
19 The car was split in half, so there was no crush to
20 measure. It's a redundant statement.

21 Q. Okay. You measured the crush in the -- and you
22 measured the crush in the Oldsmobile, correct?

23 A. Yeah, I looked at it, yes.

24 Q. Okay. And did you use any instruments or
25 measurements in determining what the crush of the

1 Oldsmobile was?

2 A. No. I usually just use a tape at the scene. But
3 then if I wanna do a crush analysis I put I -- I put it
4 into a program which draws the car for me and it gives me
5 an accurate photogram picture in 3-D. But I didn't do a
6 crush profile on the car.

7 Q. Okay. But you -- you measured the impulse in this
8 case, correct?

9 A. Yes.

10 Q. Okay. And doesn't impulse require you to know
11 what the crush is of the vehicle?

12 A. No.

13 No, it's not --

14 Q. What is impulse to you?

15 A. Well impulse is just obviously the change in
16 velocity and the change of speed. An impulse basically is
17 the amount of energy going in and the amount of energy
18 going out.

19 Q. Okay.

20 A. That's what the impulse is.

21 Q. Okay. And how do you determine what the energy
22 going in is? What are the measurements you need for that?

23 A. I don't need measurements for that. What I need
24 is I need to know how the vehicles collided, which I
25 explained to the jury what the angles were. In other

1 words, I (inaudible) was approximately 89 to 90, which is
2 what the Honda was going. And I used zero for -- for the
3 Opsincs vehicle, which is the Olds. And then I used a
4 departure -- what I call immediate departure; where the
5 vehicles went upon immediate departure. Not where they
6 ended up at. So I used that, and I think that was 7, maybe
7 7 or 8. And how they initially departed, I used that. I
8 used the weights of the vehicles. And I used the distances
9 that they traveled based on the police report. And that
10 gave me the momentum in, the momentum out, and it gave me
11 the impulse data. And it also gave me a vector diagram,
12 which I showed you on pages 3 and 4, which matched the
13 other data.

14 Q. Okay. Let me ask you about some of that -- that
15 data. You mentioned that you -- in your momentum
16 calculations you had the vehicle of the Oldsmobile at 3800
17 pounds, is that correct?

18 A. I think so, yes sir.

19 Q. Okay, well, we can look at that.

20 A. And I can get my sheet over here if you want.

21 Q. Well, I think I got your sheet right here.

22 A. Oh, okay.

23 Q. So we'll just -- we'll all look at it at the same
24 time.

25 A. Okay.

1 Q. Okay. All right, formula inputs: the weight of
2 vehicle one is 3800 pounds.

3 A. Right.

4 Q. Correct?

5 A. Correct.

6 Q. Okay, now, you used the data sheet regarding the
7 weight of the Oldsmobile, correct?

8 A. I believe so, yes.

9 Q. And you used that off of your -- your -- your
10 program is Expert Auto Stats, right?

11 A. Right.

12 Q. Okay. And that was also provided to me.

13 And let me roll over here. That was -- and the data
14 that they told you is the car weighed 3470 pounds, correct?

15 A. Right.

16 Q. All right. Now, it had the defendant in the car.

17 A. Right.

18 Q. Okay, so how much does the defendant weigh?

19 A. I don't know, I guess 170, 180 pounds.

20 Q. Okay, but it's safe to say that he doesn't weigh
21 332 pounds?

22 A. Right.

23 Q. Okay. Where is the rest of the ballast that you
24 consider that went into that car other than the defendant?

25 A. Well I considered, there's obviously some fuel on

1 board.

2 Q. Okay.

3 A. And there's probably some fluids on board.

4 Q. Okay.

5 A. When I looked at the car I didn't see anything
6 specific like that he was carrying around weights or
7 anything like that in the car, so I just made it 3800
8 pounds.

9 Q. Okay.

10 A. I figured that was a pretty good ballast for the
11 car.

12 Q. Okay. So you didn't inspect the trunk to see if
13 there was anything in the trunk?

14 A. I think I had pictures from the police about the
15 trunk. I didn't see anything in there specifically that
16 weighed anything more than normal.

17 Q. Okay. Anything unusual in the -- in the -- in the
18 defendant's car?

19 A. Well, I don't know. I tried to look at what was
20 at the scene. The defendant's car was outside in a lot. I
21 didn't know what -- and I think there were pieces, if I
22 recall, some of the Honda pieces were in the Oldsmobile, or
23 on the Oldsmobile, or somewhere. This had been a few years
24 after the accident. So it's hard to measure, you know,
25 what it was on that -- you know, what was in the car at the

1 time I looked at it. So I tried to just (inaudible) of
2 what I thought from the police photographs at the scene of
3 the accident. So I made it 3800 pounds.

4 Q. Okay.

5 A. And again, if I'm off a couple hundred pounds
6 either way it doesn't hurt.

7 Q. Okay. Well isn't it true in these calculations,
8 it may not be much, but the heavier the car is that -- you
9 slow the defendant's vehicle down?

10 A. What do you mean slow it down? It would add to
11 it. In other words, -- in other words, if I added a lot
12 more weight to the car.

13 Q. Correct.

14 A. Okay, and the car traveled the same amount of
15 distance, obviously that's a heavier weight going a certain
16 amount of distance, so that changes the fact. Just like
17 you changing degrees. If you change the degree you add
18 speed or subtract speed --

19 Q. Right.

20 A. -- depending on the -- on the degree. So, it's
21 not -- it's gonna affect it probably every hundred pounds
22 maybe -- maybe two, three tenths of a mile an hour.

23 Q. Okay.

24 A. So, you know, if you're off a thousand pounds,
25 that's different.

1 Q. Okay. So, -- and then you also have here the
2 weight of vehicle two, which is the Cooper's car, at 2700
3 pounds, correct?

4 A. Right.

5 Q. Okay. Now, the Cooper's car had five occupants in
6 it, right?

7 A. Yes.

8 Q. And you took that into consideration when you took
9 a look at the stats sheet with the Honda, which you have it
10 at 295 pounds?

11 A. That's right.

12 Q. Or 2295 pounds?

13 A. Right.

14 Q. So you added 400 pounds for essentially two 3 year
15 old children, an 11 year old child, and the -- and Mr.
16 Cooper?

17 A. Right.

18 Q. Okay. That to you is total 400 pounds?

19 A. I didn't know what it was. I can only estimate.
20 I didn't ask you for the weights. I -- you know, I only
21 could do an estimate, and that's what I did.

22 Q. Okay. Well I mean their weights is part of the
23 physical evidence in the case, correct?

24 A. Well I didn't ask you for those. They weren't in
25 the reports, so I just made an estimate of what I thought

1 the car would be and, you know, I just based it on my
2 experience, and that's what I did.

3 Q. Okay, so the 400 pounds for four -- four people,
4 and also we've got gas I guess?

5 A. Yeah, there's should be some gas in the car, sure.

6 Q. Okay. Also, regarding your calculations in this
7 case, -- let me go down here -- you have the after an
8 impact speed of vehicle one as 35 miles an hour.

9 A. Right.

10 Q. What is the data that you need to be able to make
11 that calculation?

12 A. What I looked at first of all was the distance
13 that vehicle, number one, went. I looked at a coefficient
14 of friction. And I looked at the fact, and I believe there
15 was some rolling resistance to get there. In other words,
16 I don't think he braked that whole way, as I explained
17 before. I think there was some steering input into it. In
18 other words, he may have steered the car a little bit. He
19 may have continued on the brake, but not quite as hard
20 after the post collision, and he ended up 219 feet away.

21 Q. Okay. So the distance that you had was 219 feet?

22 A. That's what the officer had, yeah.

23 Q. Okay. And you're accepting that measurement as
24 accurate?

25 A. Absolutely.

1 Q. All right. What was your drag factor?

2 A. I'm not sure what I used on that right now. I
3 think I used like a .4, or something like that. I'm not
4 sure exactly what I used. Cause I say, it's not on this
5 paper. I just -- I used whatever the -- the friction value
6 was. I think the officer used almost the same friction
7 value. I think he had a friction value that he used, and I
8 think I used those.

9 Q. Okay. Do you know where you can find the friction
10 value that you used in this calculation?

11 A. I don't know if it's in my paperwork or not. I'd
12 have to look.

13 Q. Okay, but you would agree with me, knowing the
14 friction value that you used in this case --

15 A. Right.

16 Q. -- is important in calculating the overall speed
17 in this case?

18 A. No. No. Not with this formula. I don't -- I
19 don't really need the friction values. I need the approach
20 and departure angles.

21 Q. Right.

22 A. These secondary things are just that, they're
23 secondary to your -- to your formula. Your impulse data
24 and your momentum in and out is what's important. Whether
25 I use a friction value of 7, or 4, or 3, or 2, doesn't

1 really matter. I'm only interested at the moment of
2 impact; from the moment of impact on. Then if you look at
3 those calculations it will kind of back -- you backdoor
4 into it to see if you're correct or not. So in other
5 words, it's not that important. It's not that important of
6 a deal. I don't really care what the factors are. It has
7 nothing to do with the overall momentum problem. Now, when
8 I look at the 219 feet later, as I indicated on that sheet
9 you marked, then I look at that and say, okay, what
10 (inaudible) would the speed be from that point to the point
11 of collision? I think that's where we came into the 50's.

12 Q. Okay. So your testimony is that number right
13 there is not that important?

14 A. It's -- it's not that important unless I'm coming
15 up with the wrong momentum calculation, or I can't figure
16 out where the vehicle is. Like there could be a secondary
17 collision, it could be (inaudible) over there. We don't
18 know. There's some rolling resistance on that 219 feet.

19 Q. Okay.

20 A. So I estimated what it would be from the after
21 impact speed to the final rest position.

22 Q. Okay. So it then -- if it -- if the numbers
23 aren't coming out correct, then that number is important,
24 correct?

25 A. If they're not coming out correct, but they are

1 coming out correct.

2 Q. And you need to know what the coefficient of
3 friction is to find that number, correct?

4 A. Again, yes and no.

5 Q. What is it?

6 A. I used the police coefficient of friction which I
7 have -- I don't know if it was 3 or 4, I don't know. I
8 have to look at that sheet. It's not important to me what
9 it is. It might be important to you. It's not important
10 to the overall incident. It's only important that I have
11 the momentum speed and the impulse. And again, I can't
12 tell for sure what it was exactly on the road because we
13 have witnesses, or a witness that says -- I think Mr.
14 Cooper indicated it had not started raining until after the
15 accident, which means a dry road. We have other witnesses
16 say they turned their wiper off and it was sprinkling.
17 Then we have other witnesses say it was raining. So the
18 numbers could be vast because the question is, is the road
19 dry or wet? So again, back to witnesses, going back to
20 that, that's why witnesses for me are not reliable because
21 you have some say it's raining, some say it isn't. So it's
22 dry or it's wet. So this doesn't -- it's not really that
23 important. I can't give you the coefficient exactly, so I
24 used the police information. It's not that important to
25 me. We know where the car is, we know how the car got

1 | there, so it's not that important to me.

2 | Q. Okay. I didn't ask you if you thought it was
3 | important or not. I didn't ask you about witnesses. You
4 | don't know what the coefficient of friction is?

5 | A. I'll have to look at the police information.

6 | Q. I used the initial friction value of .3. I used a
7 | .35. And I used post collision for the Honda of .3. And,
8 | let me see if I used another one. I think I come all the
9 | way up to .6. But I used the dry road as well because
10 | based on the different testimony. So I used a .6, I used a
11 | .3, and I used a .35. And I used distances of 219 feet for
12 | the Olds. And I used two distances for the Honda; one was
13 | 73 feet, and one was 65 feet. And then I signed and
14 | cosigned those.

15 | Q. Okay and we'll get to that. You mentioned that
16 | you factored in rolling resistance.

17 | A. Yes.

18 | Q. Okay, which is always important in doing a traffic
19 | reconstruction because that -- there's no evidence that all
20 | four tires were locked up on the Oldsmobile, right?

21 | A. Right, there's -- well there's no evidence any
22 | were locked up.

23 | Q. Okay.

24 | A. Right.

25 | Q. So, that, because it's -- so you have to reduce

1 the coefficient of friction when you do these type of --

2 A. Right.

3 Q. -- calculations, correct?

4 A. Right. Right.

5 Q. How much did you reduce the coefficient of
6 friction in this case?

7 A. I think I looked at a .1.

8 Q. Okay.

9 A. Which would be a rolling resistance friction.

10 Q. Okay. And so you went from a .3 to a .1 with your
11 adjustment?

12 A. Yes. Right because I assumed -- based on your
13 question I assume one thing, that there was some braking to
14 final rest. Then number two is, -- I assumed two things.
15 Number one, there was partial braking at some point. And
16 then there was a rolling from impact to final rest. So --
17 and of course it's a little different on the friction
18 values, cause again, you got the wet road versus the -- the
19 dry road, which we really don't know. So, that's why --
20 that's why these numbers -- the friction values really have
21 nothing to do with anything. It's like, it's a red
22 herring. It's like looking for, you know, --

23 MR. LINN: Judge, I'm gonna object. It's non-
24 responsive to the question.

25 THE COURT: And Defense?

1 MR. SHOVE: I think he's answering his question,
2 Your Honor.

3 THE COURT: The objections sustained. It is non-
4 responsive.

5 RICK SWOPE: Sorry.

6 MR. LINN: Okay.

7 THE COURT: Move on to the next question, please.

8 MR. LINN: Okay.

9 BY MR. LINN:

10 Q. Let me ask you about the departure angle of
11 vehicle 1, you have as 7 degrees?

12 A. Yes.

13 Q. Okay. How did you calculate that?

14 A. I calculated that by putting the vehicles together
15 just in a -- in a drawing on the computer and figured out
16 which way they departed. So in other words, I put the
17 vehicles together at the point of collision as I indicated
18 to you in another example, and how they -- they hit each
19 other, and what they -- and I drew a line through the
20 center of both vehicles which would be the center mass. So
21 we have one vehicle -- let's see, (inaudible), but we have
22 one vehicle obviously --

23 Okay, thanks.

24 Q. It should be in here, or if that's easier for you.
25 (Inaudible).

1 A. I'm sorry, I don't mean to (inaudible).

2 Thank you, sir. There you go. That's it.

3 What I did is, obviously here is, in other words, the
4 clock position sometimes is a little different. Sometimes
5 you have programs that it's zero, but here the zero's to
6 the right. So in other words, I had the Opsincs vehicle
7 coming in at, I called it zero angle. Remember one car
8 doesn't matter. And the other car I had between 89 and 90.
9 So basically what we have here is once the impact occurs
10 Mr. Opsincs vehicle goes off towards this direction up
11 here. We have the other impulse go off. I think the next
12 page will tell you. And we have the second vehicle which
13 goes off in that direction. Now that's not where it ends
14 up at, it's just where the force is when the vehicles
15 separate. So generally your departure angles on a true t-
16 bone accident are very close together. Now obviously if --
17 if you have a vehicle, let's say, that hits the rear of the
18 car, then your departure angles are much greater because
19 now the cars gonna take off in one direction, and the other
20 car's gonna go in the other. But this is what I call
21 basically a true t-bone, so the initial separation between
22 the vehicles should be very small; normally 2, maybe 3
23 degrees. So we have roughly about a 2 degree separation.
24 So that's -- that's how I did it, and when I checked my
25 numbers on the impulse data it came back in two vectors

1 | which I knew were correct.

2 | Q. Okay. Well when you come up with these angles, do
3 | you come up with these angles because you look at the crash
4 | diagram and you figure out where these vehicles wound up
5 | at?

6 | A. I looked at both. I looked at the police
7 | information.

8 | Q. Okay.

9 | A. I looked at their measurements. I looked at the
10 | diagrams. I looked how they put the cars together. I
11 | looked at their photographs at the scene. Of course I
12 | looked at the vehicles, as you're aware. And I put that
13 | together, and I believe that was the initial separation
14 | angles between the two.

15 | Q. Okay.

16 | A. I mean, could I be off a degree or so? Yeah I
17 | could be. But I think I'm pretty close. I think 2 degrees
18 | almost tells me exactly what I need to know.

19 | Q. Okay. So, and again, I'm trying to figure out
20 | where this 7 degrees came from. You would have taken, like
21 | a -- you would have taken this intersection, correct?

22 | A. That's right.

23 | Q. And you would have said -- you would have known
24 | that the first half of the vehicle of -- of the Cooper's
25 | vehicle was here, correct?

1 A. At final rest, yes.

2 Q. At final rest.

3 A. But remember, and I don't -- maybe I -- I didn't
4 mean to confuse you. I don't need a dia- -- I don't need
5 anything except two vehicles drawn together. And the
6 roadway doesn't matter. I can put the vehicles here for
7 all -- all that the computer cares. I can put them on the
8 grass, or on top of a building. I'm only trying to figure
9 out, drawing the two vehicles together, what's the
10 separation angle at this t-bone accident? When did they
11 start coming apart? And remember, they stay together a
12 little longer than normal because the vehicle is breaking
13 apart, and the other one is continuing on. If they don't -
14 - it's not like a baseball where you hit a baseball and the
15 baseball takes off immediately. The vehicles stay together
16 for a longer period of time. That's why the angles are
17 close. I don't need the diagram. I can put it on the
18 diagram. You're right, I can do that later to look at it,
19 but I don't need any diagram or aerial to do it. I can
20 just put the vehicles together and figure out the departure
21 angles.

22 Q. Okay. And you would agree with me that when you
23 do this type of analysis that when you calculate what the
24 departure angles are it's very critical coming up with
25 accurate speed, correct?

1 A. Well sure. Absolutely it is.

2 Q. Okay. And that's something that -- and you're
3 aware of the person named John Daley, who's an accident
4 reconstructionist?

5 He wrote the article "Fundamentals of Traffic
6 Reconstruction".

7 A. That's somebody we don't use. I don't know. I
8 guess he did. I guess he did for (inaudible).

9 Q. Okay.

10 A. But he's certainly not an engineering book that --
11 that I would use.

12 Q. Okay. Well let me take a look at the first page
13 of the notes that you made in this field.

14 A. Right.

15 Q. Okay?

16 A. Yeah.

17 Q. You wrote, "John Daley", right there.

18 A. Daley is mentioned in (inaudible) book, but
19 (inaudible) book is the one that I use. I have the book
20 with me actually.

21 Q. All right.

22 A. Right, Daley is mentioned in (inaudible) book,
23 correct.

24 Q. Okay, but it's still important to figure out what
25 the angles are cause if the angles are off by a little bit

1 that can affect the speed, correct?

2 A. Absolutely.

3 Q. Okay.

4 A. No doubt.

5 Q. Now you also make the calculation that this was a
6 t-bone accident by the 90 degrees right here, --

7 A. Yes.

8 Q. -- correct?

9 A. Right.

10 Q. Okay. And you use that because you hang your hat
11 on what Brandon Wells said about this, that the -- that
12 Todd Cooper's car was going straight, correct?

13 A. No. I'm not hanging my hat on that. I'm just
14 telling you what he said.

15 Q. Okay.

16 A. As to where I found the police measurement
17 information was where I told you. It was not in the
18 (inaudible) of Mr. Wells. So that's what I used. I mean
19 he did say that, you're right. But that's what I used.

20 Q. Okay. And how did you determine where the -- the
21 point of impact was from the police report on that Google
22 Earth map that you made?

23 A. Well I didn't use the Google Earth map for that.
24 I used the police photographs and what I could see at the
25 scene, and did the best I could as to where I believe

1 | looking at the police diagram, looking at the police
2 | measurement information, and looking at the photographs as
3 | to where that was in the roadway.

4 | Q. Okay. So basically where that was is gonna be
5 | your interpretation of what the police data was?

6 | A. Yeah. I mean that's -- I think they were correct
7 | on that, yes. I -- I have no reason to dispute them.

8 | Q. Okay. What's the -- what's the purpose of tread
9 | in a tire?

10 | A. Well tread in a tire is for water separation in
11 | the rain. It basically keeps the tire on the road, so to
12 | speak. It adds presence of the tire to keep you from
13 | hydroplaning, really. So the deeper the tread, the more
14 | fast you can go, and the more water you can separate off
15 | the road surface and keep your tire down.

16 | Q. Okay. And you're aware in this case there are
17 | people that testified that the road was wet?

18 | A. Yes sir.

19 | Q. Let me ask you this, how much are you getting paid
20 | by the Defense?

21 | A. I bill on a State case like this, \$150 per hour.

22 | Q. Okay. How many hours have you worked so far?

23 | A. I'm guessing maybe 30 hours over the past -- since
24 | 2011, maybe 35.

25 | Q. Okay. Well let's go with 30 hours. Do you know

1 | what 30 times 150 is?

2 | A. Not off the top of my head. I usually (inaudible)
3 | calculator. Do you want me to figure it out for you?

4 | Q. Sure.

5 | A. I know I probably should off the top of my head,
6 | but I don't wanna give you the wrong number.

7 | 4500.

8 | Q. Okay. And that's not including the \$600.00 you
9 | made off the deposition for -- when we had to depose you,
10 | correct?

11 | A. Well, right, but I don't like that term "made off
12 | of you". I mean you took my deposition, that's what I
13 | charge, so.

14 | Q. You charged six hundred bucks and that was for
15 | approximately an hour and a half worth of a deposition?

16 | A. I charged -- right. I charge a two hour minimum
17 | for depositions, that's correct.

18 | Q. That we did over the phone?

19 | A. That's correct.

20 | Q. Now you did mention that if the angle -- if this
21 | isn't 90 degrees, if the car was turning into -- that would
22 | affect the calculation and make the speed -- this speed
23 | higher?

24 | A. Yes sir.

25 | MR. LINN: I don't have any further questions,

1 Your Honor.

2 RICK SWOPE: Thanks, Your Honor. I appreciate
3 it.

4 THE COURT: Hold on, there may be some redirect.
5 Redirect, Mr. Showe?

6 MR. SHOWE: Yes sir.

7 REDIRECT EXAMINATION

8 BY MR. SHOWE:

9 Q. Okay, so --

10 A. You want me up here?

11 Q. You can have a seat. (Inaudible).

12 All right, Mr. Swope, did -- so it's my understanding
13 that -- that if the vehicles were heavier they were going
14 faster in your calculations?

15 A. Yeah they can be. Again, it depends -- depends on
16 the angles and separation. Yeah, I mean, it can vary
17 either way. I mean, it's hard to make a blanket statement
18 like that because there are things that affect it you know.

19 Q. Right.

20 A. So, I mean, if the vehicle's heavier and the
21 travels that distance or farther, yes, it can affect it.

22 Q. Okay. And the State, they talked to you about the
23 -- the 400 pounds that you calculated for -- for 5 people.

24 A. Right.

25 Q. Was that based on roughly, I guess, a 200 pound

1 male and four kids?

2 A. Yeah, I -- I think something like that I figured
3 out, right.

4 Q. Okay.

5 A. I mean it was just -- like I said, it was an
6 estimate. I didn't know. I didn't ask for what each
7 individual person weighed. I probably could have done
8 that, but I didn't feel that was something I really wanted
9 to do. I figured I would do an estimate on it, and, again,
10 the weight difference of, you know, 2, 300 pounds really is
11 infinitesimal.

12 Q. It's infinitesimal --

13 A. Right.

14 Q. -- as to the final speed?

15 A. Right.

16 Q. So that's kind of a red herring?

17 A. It is a red herring, yes.

18 Q. Okay. You also said the friction coefficient is a
19 red herring. What did you mean by that?

20 A. Well, there's such a difference in this case as
21 far as I indicated to the State. You know, we have
22 differences; was it wet, was it raining, was it sprinkling,
23 was it dry? I mean, look, I don't know. I don't know what
24 the conditions were. Everyone says something different.
25 So I don't know what it is. I can only make estimations on

1 friction values. And, you know, friction values can affect
2 the ultimate speed quite a bit. So I didn't wanna do that.
3 I wanted to be conservative for both parties as far as what
4 was the acceleration of Cooper, and what was the
5 deceleration of Mr. Opsincs. So I looked at those issues.
6 But what I wanted to know was what the impact speed was.
7 And I wanted to know, you know, in a range, did they come
8 out correctly. In other words, if I was off with my post
9 collision speeds, which I used, you know, rolling
10 resistance, and I used other different methods that I
11 explained before. If I was off on that it would come out
12 in my momentum calculations and my impulse data. So I
13 would have known that I was off because that would have
14 told me that the calculations I put in for the -- for the
15 speeds and the friction values post collision were off. So
16 I would get an error. So by being conservative I thought I
17 was correct. And I have two -- let's see, three different
18 types of formulas, which I indicated, which show that those
19 numbers are correct. Nobody else has that. There is no
20 other ones, you know. Those are the numbers that come out
21 to be correct. So, yes, I don't know exactly what it is,
22 but I have to somehow balance the fact that the roads are
23 either wet or they're dry. So you have to use that in
24 there. So if I was too high in the friction value, it
25 would add too much speed. And if I was too low, it would -

1 - it would cause to much of a speed -- a speed reduction.
2 And I -- I felt, as I told your office, I don't -- I don't
3 really -- I don't guesstimate speeds. I'm telling you what
4 the speed is. And that's what the speed is.

5 Q. 55?

6 A. That's what it is.

7 Q. Okay. Now, you talked a lot about how you don't
8 put so much stock in witnesses. Does that kind of tie into
9 what we were talking about, about estimating speed perhaps?
10 And what I mean by that is, you know, one witness such as -
11 - let's see, the -- the individual traveling away from the
12 accident who did the u-turn, Mr. McRoberts, he's traveling
13 45 miles an hour. You know, he's traveling away from it.

14 A. Right.

15 Q. So as we said, his estimation would likely be
16 flawed.

17 A. Well, --

18 Q. Right? Is that kind of --

19 A. Well, yeah, it would --

20 MR. LINN: Your Honor, I'm gonna object to the
21 question.

22 THE COURT: As?

23 MR. LINN: Can we approach?

24 THE COURT: Sure.

25 (Begin Sidebar at 3:03 PM)

1 MR. LINN: First of all, it's leading. And
2 second of all, --
3 (Audio cuts out completely until after sidebar is over.)
4 (End Sidebar at 3:03 PM)

5 THE COURT: The objection's sustained.

6 BY MR. SHOWE:

7 Q. Let me rephrase that question. Can you explain to
8 me the reasons why a witness, their estimation of speed
9 could be flawed?

10 A. Well, I mean, obviously I don't know what kind of
11 training the person has. And, you know, going away from a
12 scene, or looking in your mirror trying to estimate
13 someone's speed, I mean, it's difficult. Even -- I mean,
14 there's a reason that you have trained people that do that.
15 Most of them obviously are officers or other individuals,
16 but it's difficult to estimate exactly what the speed is,
17 especially if you're going slow and somebody's -- you could
18 be going, you know, 15, 20 and somebody passes you at 50
19 and it seems like they're going much faster. I mean, it's
20 hard to estimate the speeds, you know, as it is. Even for
21 a certified person it's difficult. So that's why I don't
22 particularly rely on that information. I rely on other
23 data, you know.

24 Q. Right. Also, you know, if a witness just saw that
25 collision that would be a suspect -- if they just saw the -

1 - the accident and not approaching to the accident, what
2 would your opinion be of their -- you know, of their
3 estimation of speed? Would that be accurate?

4 MR. LINN: I'm gonna object to leading, Your
5 Honor.

6 THE COURT: Sustained.

7 BY MR. SHOWE:

8 Q. What would your opinion be of someone just seeing
9 the accident, not seeing approaching the accident?
10 (Inaudible).

11 MR. LINN: Objection, Your Honor; calls for
12 speculation.

13 THE COURT: Response?

14 MR. SHOWE: Yes, Judge, can we approach?

15 THE COURT: Sure.

16 (Begin Sidebar at 3:05 PM)

17 MR. SHOWE: He's an expert. He's trained --
18 (Audio cuts out completely until after sidebar is over.)
19 (End Sidebar at 3:05 PM)

20 BY MR. SHOWE:

21 Q. Mr. Swope, the State talked about what we're
22 paying you. You get paid a lot more in civil cases, don't
23 you?

24 A. Yes.

25 Q. Okay. What's your per hour on a civil case?

1 A. \$300.00.

2 Q. Okay.

3 MR. SHOWE: I have no further questions.

4 RICK SWOPE: Thank you.

5 THE COURT: Okay.

6 MR. LINN: Just one question, Your Honor.

7 RECCROSS EXAMINATION

8 BY MR. LINN:

9 Q. Mr. Swope, despite our disagreement over the
10 numbers that you recall, going 55 miles an hour on those
11 road conditions is still going too fast, correct?

12 A. Yes.

13 Q. And you made that statement in your deposition,
14 correct?

15 A. Yes.

16 MR. LINN: Nothing further.

17 RICK SWOPE: Thank you, sir.

18 REDIRECT EXAMINATION

19 BY MR. SHOWE:

20 Q. By too fast, do you mean over the speed limit?

21 A. Yes.

22 MR. SHOWE: No further questions.

23 THE COURT: Okay, thank you, sir. You can step
24 down. Thanks for your help.

25 * * * * *

